

1 .

2 BEFORE THE KANSAS STATE BOARD OF HEALING ARTS

3 .

4 IN THE MATTER OF ) Docket No. 10-HA00129

5 Ann K Neuhaus M.D. ) OAH No. 10-HA0014

6 .

7 Kansas License No. 04-21596

8 .

9 .

10 .

11 .

12 VOLUME I

13 TRANSCRIPT OF PROCEEDINGS

14 .

15 taken on the 12th day of September, 2011,

16 beginning at 9:01 a.m., at the Shawnee County

17 District Court, 200 Southeast 7th Street, Room

18 326, in the City of Topeka, County of Shawnee,

19 and State of Kansas, before, Edward J. Gaschler,

20 Presiding Officer.

21 .

22 .

23 .

24 .

25 .

**Appino & Biggs**

Reporting Service, Inc.

Technology Specialists in Complex Litigation

(Main Office)  
Topeka, KS  
785.273.3063

Toll Free: 888.273.3063  
www.appinobiggs.com

(Metro Kansas City)  
Overland Park, KS  
913.383.1131

1 APPEARANCES

2 .

3 .

4 ON BEHALF OF THE PETITIONER:

5 .

6 Mr. Reese H. Hays

7 Ms. Jessica Bryson

8 Kansas State Board of Healing Arts

9 800 Southwest Jackson, Suite A

10 Topeka, Kansas 66612

11 785-296-7413

12 rhays@ksbha.ks.gov

13 .

14 .

15 ON BEHALF OF THE RESPONDENT:

16 .

17 Mr. Robert V. Eye

18 Ms. Kelly Kauffman

19 Mr. Kori Trussell

20 Kauffman & Eye

21 123 Southeast 6th Street, Suite 200

22 Topeka, Kansas 66603

23 785-234-4040

24 bob@kauffmaneye.com

25 .

**Appino & Biggs**

Reporting Service, Inc.

Technology Specialists in Complex Litigation

(Main Office)  
Topeka, KS  
785.273.3063

Toll Free: 888.273.3063  
www.appinobiggs.com

(Metro Kansas City)  
Overland Park, KS  
913.383.1131

1 INDEX

2 .

3 .

4 Certificate ----- 246

5 .

6 .

7 WITNESS

8 ON BEHALF OF PETITIONER: PAGE

9 CLIFFORD HACKER

10 Direct-Examination by Ms. Bryson 46

11 Voir Dire Examination by Mr. Eye 65

12 Direct-Examination (cont) by Ms. Bryson 70

13 Voir Dire Examination by Mr. Eye 82

14 Cross-Examination by Mr. Eye 86

15 Redirect-Examination by Ms. Bryson 103

16 Recross-Examination by Mr. Eye 106

17 .

18 LIZA GOLD, M.D.

19 Direct-Examination by Mr. Hays 124

20 .

21 .

22 .

23 .

24 .

25 .

**Appino & Biggs**

Reporting Service, Inc.

Technology Specialists in Complex Litigation

(Main Office)  
Topeka, KS  
785.273.3063

Toll Free: 888.273.3063  
www.appinobiggs.com

(Metro Kansas City)  
Overland Park, KS  
913.383.1131

1                   PRESIDING OFFICER: All right. We're now  
2           on the record in the matter of Ann K Neuhaus M.D.,  
3           Kansas dock -- Kansas Board of Healing Arts Docket  
4           No. 10-HA000129, Office of Administrative Hearing  
5           No. 10HA0014. The hearing is being held in  
6           Topeka, Shawnee County, Kansas, on September 12th,  
7           2011. The presiding officer is Ed Gaschler from  
8           the Office of Administrative Hearings. Would  
9           parties make their appearance for record, please.

10                   MR. HAYS: Yes, sir. Reese Hays and  
11           Jessica Bryson for the Board of Healing Arts.

12                   MR. EYE: Good morning. For the  
13           respondent, respondent appears in person and  
14           through her counsel, Kelly Kauffman and Robert  
15           Eye. And, also appearing with us is Kori  
16           Trussell.

17                   PRESIDING OFFICER: Okay. Thank you.  
18           All right. As a preliminary matter, counsel, you  
19           -- well, when you -- when you -- when you will be  
20           calling your witnesses, you will know whether or  
21           not those witnesses will be testifying concerning  
22           confidential matters, patient -- patient  
23           privilege, peer review and so forth. Please alert  
24           me to that at that stage where -- if it requests  
25           we close the hearing, we may -- we'll close the

1 hearing.

2 MR. HAYS: Yes, sir.

3 PRESIDING OFFICER: Otherwise, the  
4 hearing's open to the public.

5 MR. HAYS: Yes, sir.

6 PRESIDING OFFICER: All right. We have a  
7 pending motion, Mr. Eye?

8 MR. EYE: We do, Your Honor. And -- and  
9 we have a -- a housekeeping matter as well we'd  
10 like to take up at this time if that's acceptable.

11 PRESIDING OFFICER: Housekeeping first,  
12 please.

13 MR. EYE: Okay. Your Honor, Magistrate  
14 Sebelius has set a hearing at noon tomorrow in a  
15 federal case where we are involved, it's a  
16 detention hearing -- the lawyers aren't being  
17 detained or proposed to be detained, but our  
18 client is. We would beg the -- your indulgence to  
19 take a recess tomorrow at about 11:30. We  
20 anticipate that the hearing may go about I -- I  
21 would say anywhere from a half hour to an hour, in  
22 that range. So it may be that we would not be  
23 available to get back in the courtroom here until  
24 the 1:30 time range, if that would be an  
25 acceptable alternative to the Court and to you,

1 Your Honor. And I've spoken to Mr. Hays about  
2 this and unfortunately, we just -- this is a case  
3 that came up this last week and we're kind of  
4 having to be in two places at the same time.

5 PRESIDING OFFICER: Mr. Hays.

6 MR. HAYS: Sir, we -- we don't have an  
7 objection. I know it's going to be a time crunch,  
8 but it's up to your discretion, sir.

9 PRESIDING OFFICER: Well, Judge Sebelius  
10 takes -- takes precedence over me. So we will go  
11 -- go with -- we will take -- whenever you need to  
12 break, you let me know and we'll go there -- from  
13 there.

14 MR. EYE: Thank you. I think that's our  
15 only housekeeping matter before we take up the  
16 pending motion, Your Honor.

17 PRESIDING OFFICER: Okay. Go ahead.

18 MR. EYE: Your Honor, as you know, we  
19 have filed a -- a motion to strike the  
20 petitioner's expert witness and I will briefly  
21 review the primary points that we believe bear on  
22 that. The -- the motion, as you know, sets out  
23 extensive factual assertions drawn primarily from  
24 Doctor Gold's deposition concerning her  
25 qualifications to testify as an expert in this

1 matter. We believe that while she has  
2 qualifications to testify about some aspects of  
3 psychiatric care and evaluations, in this more  
4 narrowly drawn circumstance, she lacks those  
5 qualifications. The -- if I have -- I'm sure that  
6 you've had an opportunity to look through our  
7 papers in this, but the dearth of any exposure by  
8 Doctor Gold to anything that has to do with  
9 abortions is striking. Her testimony in her  
10 deposition was that she had not had any exposure  
11 to abortions or abortion-related care and  
12 treatment as a medical student or as a  
13 practitioner. In fact, she has apparently kept  
14 her distance from matters related to abortion,  
15 since she couldn't even tell us during her  
16 deposition which hospitals, if any, she had ever  
17 affiliated with that actually offered  
18 abortion-related services. She couldn't tell us  
19 whether in Washington D.C. and the greater  
20 Washington D.C. area whether abortion services  
21 were even available. Consequently, we believe  
22 that her ability to -- to testify about the more  
23 narrowly drawn standard of care related to this  
24 case is inadequate. The more narrowly drawn  
25 standard of care in this case, Your Honor, derives

1 from the statutory requirement of 65-6703. That  
2 is the statute that specifies the prerequisites in  
3 order for a woman to receive an abortion. The  
4 second provision of that deals with dealing with  
5 -- or deals with whether a psychological or mental  
6 health impairment would have an irreversible and  
7 substantial effect on the woman's life. This is  
8 an area of evaluations that Doctor Gold has never  
9 done. She's never dealt with an abortion  
10 referral. She's never dealt with patients as  
11 young as 10 and 11 who find themselves pregnant.  
12 She's not referred anybody for an abortion. In  
13 fact, it's her position, really doctrinaire  
14 position that psychiatrists don't make referrals  
15 for abortions. And to the extent that that has  
16 been a consistent aspect of her practice as,  
17 apparently, it has based upon her deposition  
18 testimony, she lacks the actual real world  
19 experience that will assist you in this case as  
20 the trier of fact in rendering a decision.  
21 Consequently, because she does not have the per --  
22 prerequisite qualifications, she is not qualified  
23 to be an expert in this case. Perhaps more  
24 troubling is the fundamental misunderstanding that  
25 -- and conflict, I would say, it's more than --



1 it's more than a misunderstanding, it's a flat-out  
2 conflict that exists between Doctor Gold and the  
3 prevailing law. This is a case about evaluations  
4 done for late-term abortions, statutorily defined  
5 late-term abortions. Late-term abortions are  
6 something that women have the right to receive  
7 under prevailing United States Supreme Court law  
8 and under 65-6703. Notwithstanding, that clear  
9 legal right, Doctor Gold finds no circumstances in  
10 which the mental health of the patient would  
11 justify referring that patient for an abortion.  
12 That is the premise of her observations and  
13 opinions. Therefore, when an expert enters into a  
14 case such as this with a fundamental  
15 misunderstanding of what the rights of the patient  
16 may be, that is to obtain a late-term abortion  
17 under certain limited circumstances, it would  
18 follow that her opinions would be misguided,  
19 faulty and without any analytical value in terms  
20 of assisting, Your Honor, in rendering a decision  
21 in this case. Certainly, the -- this conflict in  
22 terms of her understanding of the role of the law  
23 in terms of determining when a woman can get a  
24 late-term abortion has undermined her ability to  
25 make an opinion that should be admitted in this

1 case. Moreover, Doctor Gold never made any  
2 attempt to determine what the standard of care is  
3 in Kansas. There was never the least bit of  
4 inquiry, study or attempt to determine how K.S.A.  
5 65-6703 is applied in our state. And, in fact,  
6 Doctor Gold seemed to -- seemed to have the  
7 approach that it didn't matter how 65-6703 would  
8 be applied. Because in her view, a national  
9 general standard would prevail here. It's our  
10 view that the national general standard only goes  
11 so far. In fact, it's only a point of departure  
12 to the more specific narrowly drawn standard of  
13 care that applies to evaluations under 65-6703.  
14 Accordingly, she should be excluded. I -- I -- I  
15 -- I am remiss if I do not address the  
16 petitioner's view that somehow, K.S.A. 60-3412  
17 applies in this case. It does not. 60-3412 is  
18 intended to apply to medical malpractice cases  
19 only. The statute is very clear in that and the  
20 interpretation of that statute is very clear.  
21 Extending it to apply to Board of Healing Arts  
22 cases would be contrary to the specific language  
23 used in the statute that says it is to apply to  
24 medical malpractice cases only. Extending it to  
25 this case would only undermine the legislature's

1 intention to limit it to medical malpractice  
2 cases. You have our papers and I don't want to  
3 belabor this, but I do believe it's important that  
4 we -- we point out that, for example, back to  
5 Doctor Gold's qualifications and I -- I apologize  
6 for jumping back to this, but it is an important  
7 point. We cite Smith against Printup, the 262  
8 Kan. 587 case. That's an important case here.  
9 And it's -- and it is perhaps, one could argue,  
10 about splitting hairs. But certainly, in these  
11 kinds of proceedings, splitting hairs is much  
12 about what is -- what the proceeding revolves  
13 around. In Smith against Printup, an expert was  
14 offered to testify about trucking and bus  
15 operations. His opinion was on -- was based on  
16 his experience and understanding of large trucking  
17 and bussing businesses. The party that he was  
18 evaluating, the business that he was evaluating  
19 was a small trucking and bus business. The court  
20 said while he may have been qualified to testify  
21 about large concerns, he was not qualified to  
22 testify -- testify about smaller business concerns  
23 and the practices that they use. There was a  
24 recognition that the practices of a large business  
25 would be different than a small business. The

1 expert was qualified to test about -- testify  
2 about the large business, but not the small. And  
3 his testimony was excluded. Similarly, in this  
4 case, Doctor Gold can testify about some general  
5 rules, but in terms of the specifics of this case  
6 dealing with how 65-6703 is applied, she's not  
7 qualified to testify. In our judgement, this is  
8 not a case for generalities. This is a case about  
9 specifics. Generalities will not get us to a  
10 disposition. It is supported by authority and by  
11 the record and by reasonable interpretations of  
12 those authorities in the record. Accordingly,  
13 Your Honor, we ask that our motion to strike the  
14 petitioner's expert be sustained and I'll answer  
15 any questions that you may have.

16 PRESIDING OFFICER: Okay. Thank you.  
17 Mr. Hays.

18 MR. HAYS: Yes, sir. Sir, this case is  
19 within an administrative hearing purview. And  
20 within that purview, the ultimate trier of fact  
21 will be the Board of Healing Arts, who has a  
22 specialized knowledge of the medical professional  
23 field. And case law is pretty clear that they can  
24 rely upon that medical knowledge. And that's  
25 important because the cases that the respondent

1 quotes, the trier of fact is different. The trier  
2 of fact does not have that specialized knowledge,  
3 it juries in the civil arena outside of the  
4 administrative law arena and criminal juries and  
5 criminal judges and civil judges. That's a  
6 specific difference. And the public policy behind  
7 the experts portion of it is the misleading -- the  
8 trier of fact. Well, that public policy isn't met  
9 -- met here in the administrative process because  
10 the trier of fact is actually medical  
11 professionals. And let's look at the Kan -- what  
12 the Kansas court has held within Kansas State  
13 Board of Healing Arts cases. Which looking at  
14 Hart v. Board of the Healing Arts, the Kansas  
15 court found that there was not a requirement for  
16 the board's expert to state what the standard of  
17 care was that a physician was being held for.  
18 Therefore, the board can rely upon its own  
19 expertise to determine whether or not Doctor Hart  
20 met the standard of care. If that same evidence  
21 was lacking in a civil trial or a criminal trial,  
22 would they have come to the same decision?  
23 Probably not, because that trier of fact lacks the  
24 specialized knowledge. But let's move on to what  
25 the respondent's trying to do here. They're

1     trying to limit this to a specific mental health  
2     evaluation for an abortion. But when you look at  
3     the respondent's case files, you will see that  
4     there's no indication of any referral occurring in  
5     those case files. The only thing you're going to  
6     see is evidence of diagnoses from, allegedly, a  
7     mental health evaluation occurring. Furthermore,  
8     the limitation of this to a specific  
9     individualized -- underneath that statute of the  
10    purpose of the referral was not what she was  
11    doing, apparently, because if you look at her  
12    inquisition -- or her testimony within the -- the  
13    previous criminal trial that she testified in, it  
14    becomes clear that she was going and doing  
15    diagnoses and basing her mental evaluation for  
16    those diagnoses within that arena and it was not  
17    limited to just looking at whether it met the  
18    statute or not. Now, respondent has also stated  
19    that our expert has not looked into what Kansas'  
20    standard of care is. Well, she has -- it's been  
21    made known to her within her reports. But  
22    additionally, I would proffer that Doctor Gold  
23    would testify or will testify that in looking in  
24    Doctor Tiller's records, that she has found  
25    evidence of him doing an mental health evaluation

1 that met the standard of care for a mental health  
2 evaluation in Kansas because of her basis of  
3 opinion. Furthermore, they do not address whether  
4 -- or the reason how Doctor Neuhaus's use of  
5 internationally recognized mental health materials  
6 to form her basis of her diagnoses -- or Doctor  
7 Neuhaus's formation of her diagnoses. She  
8 utilized the DSM-IV, which is internationally  
9 recognized as a mental health guide, which she  
10 testifies about also as being a list of the actual  
11 diagnoses that are available. And, two, the  
12 computer programs she used are, one, written by  
13 the same individuals who wrote the DC -- DSM-IV.  
14 And, two, it's based upon the DSM-IV.  
15 Furthermore, the respondents provide no evidence  
16 that the -- that the respondent has a special  
17 knowledge, skill, experience or training that she  
18 used to base -- to base upon her knowledge of how  
19 to give an abortion and not upon the special  
20 knowledge, skill and evidence or training in a  
21 field of mental health. It's based upon mental  
22 health and how to give a proper mental health  
23 evaluation and come to a diagnoses, which  
24 apparently possibly was used to come to this  
25 referral that was required underneath the statute.

1 Furthermore, the -- the accusation -- or the  
2 issues that the respondent brings up goes to  
3 weight, to whether Doctor Gold's opinion holds  
4 water. And that's where this issue comes down to,  
5 rather than meeting a burden that the respondent  
6 must meet in order to have this expert stricken.  
7 And, sir, the board is of the position that the  
8 respondent has not met their burden to have this  
9 expert stricken. Thank you, sir.

10 MR. EYE: May I?

11 PRESIDING OFFICER: Briefly.

12 MR. EYE: Counsel for the petitioner  
13 cites Hart against Kansas State Board of Healing  
14 Arts based on my notes about that, that was  
15 another malpractice action, a medical malpractice  
16 action that -- that again, to the extent that  
17 they're trying to loop 3412 back into this, that  
18 -- that should not apply. More importantly  
19 however, a good deal of the re -- the petitioner's  
20 argument dealt with the conduct of Doctor Neuhaus  
21 in this case. Our motion focuses on the  
22 qualification of their expert, Doctor Gold. Which  
23 is independent of anything that Doctor Neuhaus may  
24 have done or not done in this case. The focus is  
25 about Doctor Gold's qualifications, about her



1 ability to render an admissible expert opinion.

2 This is not about misleading the finder of fact.

3 We're trying to illuminate and -- and inform here

4 with evidence and information that is reliable,

5 that comes from a source that has a basis from

6 which to render an effective opinion. The

7 petitioner's counsel argues that there is no

8 requirement for their witness to state the

9 standard of care. Well, whether there is a -- a

10 requirement or not, I guess, is something we can

11 -- we can deal with. Because in Kansas, in order

12 to advance a question about medical negligence, it

13 requires an expert witness to advance a question

14 -- to advance evidence on standard of care.

15 Moreover, even if that is not the case, the fact

16 is, their witness did advance a standard of care

17 opinion. Whether it was gratuitous or required

18 notwithstanding, she did render that opinion. And

19 we are arguing that it is undermined because of

20 the lack of qualifications and understanding about

21 how the standard of care applies to 65-6703. This

22 is a standard of care case and they've got to have

23 a witness to advance their standard of care

24 theory. If they don't, they can't go forward.

25 I'm not sure exactly where the-- the petitioner's

1 counsel is going with the argument that there was  
2 -- that the diagnosis -- diagnoses were not based  
3 upon K.S.A. 6703 -- K.S.A. 65-6703. That's all  
4 that they were based on.

5 THE REPORTER: I'm sorry. Re -- restate  
6 that.

7 MR. EYE: I'm sorry. The -- the  
8 petitioner's counsel has argued that the diagnoses  
9 involved in this case were not derived from the  
10 requirements imposed by 65-6703. I'm not sure  
11 exactly where the petitioner is deriving that  
12 information, but, in fact, that is what this case  
13 revolves around, the legal requirement that is  
14 imposed on physicians to do a late-term abortion  
15 is defined by K.S.A. 65-6703. And there's a  
16 requirement that there be a finding that there is  
17 an -- a substantial and irreversible impairment to  
18 a woman's health in order to go forward with the  
19 late-term abortion. Accordingly, the argument  
20 that somehow, the more generalized standard of  
21 care would trump here, I think, is wrong. And, in  
22 fact, the more specific standard of care should  
23 define the scope of the discussion in this case.  
24 The petitioner's counsel also argues that somehow  
25 this proceeding, this adjudication can somehow

1 just be looked at in a more casual way because the  
2 board will ultimately make it's own decision here.  
3 But as I understand this proceeding, Your Honor,  
4 there will be findings of fact and conclusions of  
5 law that are derived from this proceeding. To the  
6 extent that there are findings of fact under the  
7 Kansas Administrative Procedure Act, there's a  
8 requirement that those be supported by substantial  
9 and competent evidence. The substantial and  
10 competent evidence that bears on witness admiss --  
11 or expert witness admissibility here is lacking.  
12 Their expert doesn't have enough basis to render  
13 an opinion that makes any difference in this case.  
14 It's not about allowing this opinion to come in  
15 and then giving it the weight that Your Honor  
16 might -- might allow. It is about admissibility.  
17 And adopting the petitioner's -- respondent's  
18 argument would mean that all expert witness  
19 testimony always comes in and then the finder of  
20 fact gets to assign the weight to it or not that  
21 they see fit. That's not the law in our state.  
22 There are minimum prerequisites. And to the  
23 extent that their witness has a faulty resume in  
24 terms of having a basis to render an opinion based  
25 upon education and experience, and a fundamental

1     misunderstanding of how 65-6703 applies in this  
2     case, it's not about admitting their opinion and  
3     then giving it some weight or not, it's about  
4     whether that opinion is admissible. And it's our  
5     position that it is not and this we argue is a  
6     basis to sustain our motion to strike. Thank you,  
7     sir.

8                     PRESIDING OFFICER: Counsel has spent an  
9     enjoyable Saturday afternoon reviewing your  
10    filings in this matter concerning the motions to  
11    strike Doctor Gold. And, Mr. Eye, you have some  
12    good arguments I suppose, but as a practical  
13    matter, Doctor Gold is board certified -- is board  
14    certified in psychiatric medicine. She will be, if  
15    I'm understanding where we're going in this case  
16    today, be giving an opinion as to whether Doctor  
17    Neuhaus met the applicable standard of care when  
18    Doctor Neuhaus made psychiatric or psychological  
19    findings that a continuation of the pregnancy  
20    would cause substantial and irreversible  
21    impairment of the major bodily function of a  
22    pregnant woman. The respondent seems to be  
23    arguing that because this was, quote, an abortion  
24    case, that there's some special knowledge, special  
25    -- special education, some kind of special

1 experience -- experience I haven't -- I haven't  
2 heard any evidence to that affect. That may be  
3 the fact, but I haven't heard any evidence to the  
4 fact that in order to be -- in order to make the  
5 determination Doctor Neuhaus made, you have to  
6 have some specialized findings. Haven't had any  
7 evidence of that yet, so at this point in time,  
8 I'm going to find that Doctor Gold is a expert  
9 under -- and will be allowed to testify. She's  
10 going to testify as an expert in the field of  
11 psychiatric or psychological medicine and she's  
12 qualified to give the opinion. That will be the  
13 ruling.

14 MR. EYE: We have another motion to  
15 advance, Your Honor. May I?

16 PRESIDING OFFICER: Sure.

17 MR. EYE: Thank you. Your Honor, the  
18 uncontroverted testimony in this case is that of  
19 the 11 patients that are at issue, 10 are minors,  
20 ranging in age from 10 to 17. There is one adult  
21 at 18. K.S.A. 65- 6703(a)(2) specifies that this  
22 process applies to whether the continuation of the  
23 pregnancy will cause a substantial and  
24 irreversible impairment of a major bodily function  
25 of the pregnant woman. Doctor Gold has testified

1 that women are considered to be 18 years old. So  
2 applying this statute strictly means that the  
3 woman refers to an adult. We have one adult in  
4 this group, that's Patient No. 10, the others are  
5 minors. This statute 65-6703(a) does not apply to  
6 minors, it applies to pregnant women. And for  
7 that reason, we would ask that the -- that you  
8 rule that the testimony in this case be limited to  
9 Patient 10 and that the others be determined to  
10 not fall within the -- the purview of K.S.A.  
11 65-6703(a)(2). Thank you.

12 PRESIDING OFFICER: Mr. Hays.

13 MR. HAYS: Yes, sir. I guess this comes  
14 down to what is the intent of that statute and the  
15 drafters of the legislative intent of what they --  
16 what a woman means. Our position is that a woman  
17 means childbearing individual, someone who's  
18 capable of a child -- to bear a child. Since it  
19 just got presented to us at this point in time, I  
20 -- I'm at a handicap to know what the legislative  
21 intent is at this point in time. However, I think  
22 it's clear through the statute that's what they --  
23 they were intending. Therefore, we can still move  
24 forward in this case.

25 PRESIDING OFFICER: Well, counsel, both

1 of you are missing the point. We are not here to  
2 determine whether Doctor Neuhaus violated a  
3 criminal law. We're not here for that. We're  
4 here to determine whether she adhered to the  
5 standard of care. And the standard of care, it --  
6 whether it's a woman or a man, it doesn't -- we're  
7 not here for this statute. Objection -- motion is  
8 denied. Let's proceed. Mr. Hays, is the board  
9 ready to proceed?

10 MR. HAYS: Yes, sir. I believe we need  
11 to maybe take a -- a brief rest -- or -- or a  
12 recess to go over the exhibits because there's a  
13 stipulation of fact that we need to attend to so  
14 we can offer all of the exhibits at one time so  
15 you'll have those in -- for you.

16 MR. EYE: If a recess is what is being  
17 requested --

18 MR. HAYS: Or -- or unless you want to do  
19 it right now.

20 MR. EYE: Well, I mean, I -- I don't know  
21 exactly what -- what exhibits you want to have  
22 admitted en masse here. These are all your  
23 exhibits you wanted admitted at once?

24 MR. HAYS: A majority of the -- of the  
25 exhibits. The exhibits that -- if you're -- that

1 you'll be able to stipulate to.

2 MR. EYE: We've had this discussion and  
3 we can make some stipulations, we cannot stipulate  
4 all together as to what you've proposed in terms  
5 of the completeness of Doctor Tiller's records,  
6 for example. But I don't see any purpose to be  
7 serving or advancing the admission of exhibits  
8 before there's a -- a -- a witness to support it,  
9 except for the ones that we are willing to  
10 stipulate to.

11 PRESIDING OFFICER: Okay.

12 MR. EYE: So if you're -- if you're  
13 wanting to have the discussion we've had earlier  
14 about admission of -- or stipulation to some of  
15 these records that we can't stipulate to, then,  
16 you know, I don't know that there's going to be  
17 really anything served by having to recess now.  
18 So I don't see any reason to -- to have a recess,  
19 but --

20 MR. HAYS: Your Honor, there's an issue  
21 of -- the reason why we -- we had -- we discussed  
22 about the subpoena at our last prehearing  
23 conference, the outstanding subpoena. And the  
24 reason that we believe it was un -- that it was  
25 taken care of is because respondent's counsel had



1 indicated that there was a stipulation to be made  
2 on that -- on those exhibits. And in addition --

3 MR. EYE: I'm sorry, go ahead.

4 MR. HAYS: And I believe that portion of  
5 it still is outstanding because I don't think I --  
6 we have not -- or he hasn't given me an answer  
7 whether he's going to stipulate on it -- to it or  
8 not.

9 MR. EYE: Your Honor, the stipulation we  
10 talked about was admission of the records that we  
11 had received. We stipulate to the admission of  
12 those records. The stipulation that's been  
13 offered includes a provision that we would  
14 stipulate that they are complete records. We  
15 can't stipulate to the completeness of these  
16 records, because we don't know whether they're  
17 complete. We can certainly stipulate to the -- to  
18 the records that we've been provided as being  
19 admissible, as being relevant and all of that.  
20 But stipulating to something that we don't know is  
21 not something that we're going to do.

22 MR. HAYS: I've -- I've actually moved on  
23 past that to what we've requested within the --  
24 the outstanding subpoena, the computer program for  
25 the DTREE and the GAF program and that portion of

1 the stipulation.

2 MR. EYE: We don't object to -- to those  
3 materials being admitted, Your Honor. I thought  
4 we were dealing with the medical records.

5 MR. HAYS: And for the amount of the  
6 medical records, we would like to offer those up  
7 and to the point that what we received from Doctor  
8 Neuhaus pursuant to the subpoena was everything  
9 that she had at that time.

10 MR. EYE: As I have said, we are willing  
11 to stipulate that the records that Doctor --  
12 Doctor Neuhaus provided were what she had.  
13 They're asking us to -- to stipulate to the  
14 completeness of another clinic's records and --

15 PRESIDING OFFICER: Meaning Doctor  
16 Tiller's record -- meaning Doctor Tiller's  
17 records?

18 MR. EYE: Yes.

19 PRESIDING OFFICER: How can he -- how  
20 could they possibly stipulate to that?

21 MR. HAYS: I'm just speaking about Doctor  
22 Neuhaus' record right now and now that we can --  
23 we can do Doctor Tiller's records later. What I  
24 was attempting to do was get everything we had a  
25 stipulation for and everything that we requested

1 from you to take an official notice of, done and  
2 completed before we start into the witnesses.

3 MR. EYE: I wasn't aware that there was a  
4 request for administrative notice on anything that  
5 related to the evidence that I'm aware of. But  
6 again, we would stipulate to the admission of  
7 Doctor Neuhaus' records, the -- the DTREE  
8 information, the GAF information. That sort of  
9 foundation and evidence, we're okay with.

10 PRESIDING OFFICER: Does that resolve  
11 your issue?

12 MR. HAYS: Yes, sir.

13 PRESIDING OFFICER: Okay.

14 MR. HAYS: Would you like opening  
15 argument, sir?

16 PRESIDING OFFICER: It's up to you.

17 MR. HAYS: Sir, how well people perform  
18 at their job will be placed upon a continuum -- or  
19 can be placed upon a continuum. On one side, you  
20 have the hard worker that does everything  
21 possible, that's -- takes copious notes, that  
22 ensures that their T's are dotted and their I's  
23 -- or their T's are crossed and their I's are  
24 dotted. On the other side of the continuum, you  
25 have the individual who attempts to get by by

1 doing the bare minimum and fails to meet the  
2 standard in which they're going to be held to.  
3 And, sir, the evidence will show that's where  
4 Doctor Neuhaus falls in this case. She took on  
5 the task of a mental health specialist. The  
6 evidence will show Doctor Neuhaus, in her  
7 consultation services, took on the task of a  
8 specialist. That makes her subject to the  
9 standard of care of a specialist. And the reason  
10 why she had performed these consultation services  
11 or was asked to perform these consultation  
12 services by Doctor Tiller is because Doctor Tiller  
13 needed a documented referral from another  
14 physician who has determined that the abortion is  
15 necessary to preserve the life of a pregnant woman  
16 or a continuation of a pregnancy will cause a  
17 substantial or irreversible impairment of a major  
18 bodily function of the pregnant woman. But, sir,  
19 it's about meeting the standard of care of the  
20 mental health evaluation, the mental status  
21 examination, and the evaluation of the patient's  
22 functional impact of those symptoms. That is the  
23 standard of care that Doctor Neuhaus will be held  
24 to in performing that. And as you stated  
25 correctly, this case is not about the -- the

1 criminal statute, but rather, the standard of care  
2 that was due to those patients for their safety.  
3 And let's talk a little bit about that and see  
4 what we'll be seeing in this next week, sir.  
5 You'll be presented Doctor Neuhaus' records and  
6 Doctor Tiller's records. These are two -- from two  
7 separate physicians. Doctor Neuhaus' records will  
8 have to stand on their own because they were not  
9 kept together, that evidence will show. They will  
10 be shown that she kept her records in a totally  
11 different location. But furthermore, let's talk a  
12 little bit more about what you'll see within these  
13 patients records. They range from five pages to 20  
14 pages. But keep in mind the evidence will show  
15 that the 20 pages -- or the 20-page patient record  
16 contains numerous duplicate copies within that  
17 patient's record. So on an average, you'll see  
18 between five and 10 documents or pages of  
19 documents within these records. So let's talk  
20 about the information within the records that  
21 you're going to see generally. First, in almost  
22 every case, you'll see a patient intake form.  
23 From the face of this page, you will not be able  
24 to tell whose record it is. But the evidence that  
25 will be presented will explain to you that this

1 was a Doctor Tiller record, that it was his  
2 patient intake form and not Doctor Neuhaus'. You  
3 will also see a record of disclosures that was  
4 created by Doctor Neuhaus and then you will also  
5 see a authorization to disclose protected health  
6 information. But the next document that you'll  
7 see and will be presented to you is something  
8 that's called an MI statement or MI indicators,  
9 depending which version of the document that  
10 you'll see. The evidence will show that this  
11 document contains, for the most part, because  
12 they're not all exactly the same, some information  
13 about the patient's pregnancy, how they view it  
14 and things like that. Excuse me. But you'll also  
15 see a notation of SIGECAPSS. The board's expert  
16 -- expert will explain what SIGECAPSS is. And she  
17 will explain that SIGECAPSS is a pneumatic device  
18 to aide the personnel that's using that form in  
19 remembering the initial questions to ask the  
20 patient for depression. She'll also explain to  
21 you that it does not rule out any other diagnoses  
22 or any other mental health conditions, it's  
23 specifically for depression. Now, also from this  
24 document, it will be very difficult to tell whose  
25 document it is. Because it doesn't indicate on a

1 majority of them who took the document -- or who  
2 took the information from the patient, if it came  
3 from the patient, where it came from, when it came  
4 from. It -- but the evidence will show that it,  
5 once again, is a Doctor Tiller record that occurs  
6 in her file. Now, you will find and the evidence  
7 will show two records that are reports that were  
8 generated by Doctor Neuhaus from an overall  
9 arching PsychManager Lite Program. You will --  
10 it'll be explained to you that the a PsychManager  
11 Lite Program basically has two modules, a GAF  
12 module and a DTREE module. So let's talk first  
13 about the GAF module and what -- what you're going  
14 to hear about that. The GAF mod -- module is  
15 based upon the global assessment of functioning in  
16 an Axis V located in the DSM, which you will hear  
17 testimony about. That the information contained  
18 in those reports are conclusionary statements that  
19 are basically quotes from the DSM. Now, you will  
20 -- the board's expert will explain to you what the  
21 global assessment of functioning is. And she will  
22 explain to you that the GAF is broken down into a  
23 100-point scale that has two components. The  
24 first rates the patient's symptoms and severity  
25 and the second portion, the patient's level of

1 functioning. Evidence will show that this GAF  
2 rating cannot be used to determine a basis of a  
3 diagnosis of a psychiatric condition, but rather,  
4 it rates the individual's functioning portion of  
5 their life, and is separate from diagnosing what  
6 mental condition they may or may not have.  
7 Furthermore, a review of that will -- that record  
8 will not indicate any patient-specific  
9 information, but rather, generalized information  
10 of and/or, it could be this or this. It -- it  
11 really doesn't speak specifically to what the  
12 actual patient's functioning was. Well, let's  
13 move on and talk about the -- the DTREE module.  
14 The board expert will explain that the DTREE  
15 module is based upon a decision tree. So, let's  
16 talk a little bit about what the evidence will be  
17 about a decision tree. The board's expert will  
18 explain to you that decision trees are diagnostic  
19 algorithms that was quite popular in the 1980s.  
20 However, since it's first induction, it has fallen  
21 out of favor as a diagnostic tool because its  
22 unreliability and -- and validity. The board's  
23 expert will explain to you why and how its use is  
24 not within the standard of care of performing a  
25 mental health evaluation and determining the



1 individual's functioning and coming to a  
2 diagnoses. But let's talk about the diagnoses  
3 that you'll see that's present in these records.  
4 You'll see one of three diagnoses contained in  
5 Patient 1 through 11, however -- well, actually,  
6 you'll see one of three diagnoses contained in the  
7 records of Patient 1 through 10, Patient 11, there  
8 is no diagnosis. But, let's talk about the three  
9 diagnoses. You'll either see anxiety disorder  
10 NOS, which you'll hear means not otherwise  
11 specified. You will see a -- a patient possibly  
12 diagnosed with major depressive disorder or acute  
13 stress disorder. The board's expert will explain  
14 to you what is needed to be met in coming to those  
15 diagnoses and what is needed to be met in  
16 determining the diagnostic criteria that forms the  
17 basis of a mental health evaluation. Whether or  
18 not Doctor Neuhaus came to the correct diagnosis  
19 is not determinate upon whether the standard of  
20 care is met. It's how she met the standard of  
21 care in the evaluation of that patient. And that  
22 will be explained to you by the board's expert and  
23 how she did the mental status evaluation and how  
24 she did the behavioral and functional impact of  
25 the patient's sick -- symptoms or diagnoses. But,

1 let's talk about what you're not going to see in  
2 these records. When she goes to the documentation  
3 standard of care and also the requirements and  
4 standards underneath the K.A.R. that's required  
5 for minimum record keeping and what's supposed to  
6 be included within a physician's record, you're  
7 not going to see a date and time of when Doctor  
8 Neuhaus had an appointment with any of these  
9 patients. You're not going to see a discussion of  
10 -- or any documentation of any specific behavioral  
11 impact of the reported diagnoses. There's not  
12 going to be a discussion of any treatment plan.  
13 There's not going to be any evidence that any of  
14 these patients within her record were referred to  
15 anybody, there is not a referral document located.  
16 The evidence that you will -- that you will see is  
17 that these diagnoses and documentation that she  
18 was using as documentation of her mental health  
19 evaluation were only arbitrary labels placed upon  
20 these patients. The board's expert will provide  
21 in detail testimony for each patient describing  
22 how, in her expert opinion, Doctor Neuhaus did not  
23 meet the standard of care that was due to the  
24 patients during Doctor Neuhaus' evaluation of the  
25 mental health of these patients, and that is

1 documented within her records.

2 Sir, Doctor Neuhaus is being held to a  
3 standard, a standard of care that requires her to  
4 perform at a level of protection for her parent --  
5 patients. And the evidence will show that the  
6 standard of care requires a physician to practice  
7 the healing arts with that level of skill -- care,  
8 skill and treatment which is recognized by a  
9 reasonable prudent practitioner as being  
10 acceptable under similar conditions and  
11 circumstances. Furthermore, because she held  
12 herself out to be a specialist, she is held to the  
13 standard of care of a specialist. A specialist  
14 must practice in a manner consistent with a  
15 special degree of skill and knowledge ordinarily  
16 possessed by other specialists in the same field  
17 of expertise at the time of diagnosis and  
18 treatment. Furthermore, you will have evidence  
19 that these mental health evaluations are standard  
20 mental health evaluations that there's a standard  
21 of care due to the way they are performed through  
22 -- throughout -- throughout the entire nation.  
23 Therefore, any locality requirement that may be  
24 limited to Kansas performs them different, you  
25 will not see -- or you will hear an explanation

1     how the tools and resources that Doctor Neuhaus  
2     utilized to perform her mental health evaluations  
3     were tools that are internationally recognized by  
4     the mental health community. Thank you, sir.

5                   MR. EYE: Your Honor, this case is about  
6     the process that was used to evaluate women to  
7     determine whether they were -- or I should -- not  
8     women, patients to determine whether they were  
9     eligible to meet the standards under 65-60 -- 6703  
10    to get a late-term abortion. That is, would  
11    carrying the pregnancy to term cause a substantial  
12    and irreversible impact to the patient's health?  
13    And that includes mental health under prevailing  
14    Supreme Court authority and prevailing law.  
15    Because this case will detail the process used to  
16    evaluate for late-term abortions, it's important  
17    to understand that this was a collaborative  
18    approach that was undertaken by both Women's  
19    Health Care Services, Doctor Tiller's clinic, and  
20    Doctor Neuhaus. The evidence will be that staff  
21    at Women's Health Care Services -- I'll call it  
22    WHCS -- and Doctor Neuhaus knew they were under  
23    constant scrutiny. In effect, they were living in  
24    a fishbowl. Their procedures, the healthcare that  
25    they were offering women was controversial. They

1 knew they had to be careful, they knew they had to  
2 meet the legal requirements, they knew that there  
3 was a possibility that the anti-choice faction  
4 would -- would plant bogus patients in an effort  
5 to get WHCS or Doctor Neuhaus to violate the legal  
6 requirements. So that Doctor Neuhaus and the  
7 staff at WHCS were constantly careful to make sure  
8 the legal requirements were met and that includes  
9 those that deal with standard of care. In fact,  
10 WHCS went as far as to bring in outside counsel to  
11 provide guidance to Doctor Neuhaus on exactly how  
12 to meet these requirements. Moreover, Doctor  
13 Tiller offered an extensive memo that Doctor  
14 Neuhaus will testify about that specified the  
15 actual practice techniques that were required so  
16 that standard of care would be met. There was an  
17 ongoing and -- effort to refine and improve this  
18 evaluation process. There were intraclinic  
19 discussions about how the determinations were made  
20 to justify a late-term abortion. And remember,  
21 Your Honor, the late-term abortion statute 65-6703  
22 doesn't come with a guidance manual. It is very  
23 general in terms of what it expects. It expects  
24 physicians to make findings. It doesn't say how.  
25 It doesn't say what techniques of analysis should

1 be used, it doesn't even suggest a particular  
2 specialty that would be used to derive these  
3 findings. At the end of this proceeding, Your  
4 Honor, we believe that one of the things that will  
5 be dispelled is that somehow WHCS was a -- an  
6 abortion on demand facility. And, in fact, that's  
7 not what it was. The staff at WHCS was not a  
8 rubber stamp for abortion on demand. The evidence  
9 will show that Doctor Tiller was not a rubber  
10 stamp for abortion on demand. And the evidence  
11 will show that Doctor Neuhaus was not a rubber  
12 stamp for abortion on demand and, in fact, she  
13 turned down patients who presented who had  
14 expectations that they would get abortions and she  
15 determined that their mental health status did not  
16 qualify for a late-term abortion. Doctor Neuhaus  
17 took the time necessary on a patient-by-patient  
18 basis to determine whether that patient met the  
19 statutory requirements for a late-term abortion.  
20 Some patients took longer than others. I believe  
21 the testimony will be that Doctor Neuhaus  
22 frequently took hours to complete some of these  
23 evaluations. Some of them took appreciably less  
24 time. But we're talking about the quality of the  
25 evaluation here, not necessarily the duration of

1 time that it required. The statute does not say  
2 and these evaluations must last a specific  
3 duration of time. The statute only provides the  
4 conclusion that must be reached. This is not a  
5 cookie-cutter process. It's not a  
6 one-size-fits-all process as Doctor Gold would  
7 suggest. Doctor Neuhaus took account of empirical  
8 proof derived from the SIGECAPSS plus material --  
9 or empirical evidence derived from the GAF and the  
10 DTREE. But as important as that -- and Doctor  
11 Gold will agree with this, I believe, based upon  
12 her deposition testimony -- Doctor Neuhaus had  
13 face-to-face contact with these -- with these  
14 patients, spoke with them during interviews. And  
15 as Doctor Gold points out in her deposition, those  
16 interviews provide, I believe she said, a wealth  
17 of information that's not necessarily reflected in  
18 a empirically-based technique of analysis, for  
19 example, the DTREE or the GAF. This analytical  
20 process that Doctor Gold (sic) engaged was  
21 reviewed by a -- her expert, Doctor Allen Greiner,  
22 a full professor at the University of Kansas  
23 Medical Center. In each and every chart, he found  
24 that the standard of care to reach a diagnosis had  
25 been met in all 11 charts, and he reviewed all 11.

1 As we mentioned in our arguments concerning the  
2 motion to strike, Your Honor, in our view, there  
3 is a general standard of care, but that standard  
4 of care is really sufficiently broad and nebulous,  
5 it doesn't really have much value here. It's the  
6 specific -- the specific standard of care that  
7 applies to the evaluations for late-term abortions  
8 that makes the difference. Was there enough  
9 information derived from the quantitative or  
10 empirically-based instruments that Doctor Neuhaus  
11 used in combination with face-to-face interviews  
12 that justify an -- or -- a -- a referral for a  
13 late-term abortion under the statute? That's the  
14 question. And again, Doctor Greiner, who you will  
15 hear his testimony, actually is a person who  
16 reviews charts for the Kansas Medical Foundation  
17 as part of his out -- as part of his practice.  
18 He's called upon by outside bodies to review  
19 charts to determine whether or not they are  
20 adequate and meet standard of care. Doctor Gold  
21 has a view of the standard of care that's very  
22 general because that's really all she's qualified  
23 to do. You can't really get into the specifics of  
24 these kinds of evaluations because she doesn't  
25 have any experience with them. Her opinions are



1 frequently based on speculation. For example, she  
2 speculates that based on her review, these  
3 evaluations didn't take enough time. She never  
4 tried to find out how long these duration -- the  
5 -- the duration of these interviews actually did  
6 last. She didn't inquire staff at WHCS to  
7 determine what their observations were concerning  
8 the duration of these interviews. Meaning her  
9 opinions are based on inference piled on inference  
10 piled on mischaracterization. For example, it's  
11 inferred that since abortion isn't an  
12 intervention, according to Doctor Gold, for a  
13 mental health problem, no late-term abortion can  
14 ever be justified to protect the mental health of  
15 the girl, the teen, or the adult. It's a  
16 fundamental misunderstanding. And it represents a  
17 fundamental bias in terms of how this statute's to  
18 be applied. Under Doctor Gold's analysis, that  
19 statute shouldn't even be on the books. And we  
20 believe that the evidence will -- it will  
21 establish that that is the basis upon which she  
22 rendered her opinions in this matter. There's a  
23 fundamental lack of knowledge that Doctor Gold has  
24 about practice in Kansas. Doctor Greiner will  
25 testify that the use of the GAF, which by the way,

1 Doctor Gold uses in her practice as well on  
2 occasion, that the DTREE, that the MI, the  
3 SIGECAPSS combined with face-to-face interviews  
4 more than meets the standard of care. More than  
5 meets the standard of care. And, in fact, it's  
6 interesting because Doctor Gold, in her testimony,  
7 her deposition, actually suggests that a diagnosis  
8 could be rendered for depression, for example,  
9 using only the SIGECAPSS. And you would meet the  
10 standard of care using that. That's her testimony  
11 in her deposition. There are other fundamentally  
12 unsound views that Doctor Gold brings to this case  
13 that will affect, I believe, your evaluation of  
14 her testimony. Doctor Greiner also reviewed the  
15 adequacy of the documentation in this case. In  
16 all 11 instances, he testified in his deposition  
17 that it met the standard of care for practitioners  
18 in Kansas. And again, Doctor Greiner has  
19 extensive experience in reviewing charts for  
20 standard of care purposes of Kansas practitioners.  
21 There's also, I think, a misunderstanding here  
22 about how the standard of care functions in the  
23 real world. It's suggested that the continuum  
24 that was discussed in the opening statement of  
25 petitioner's counsel, that the continuum somehow

1 controls here. This is not a mechanistic --  
2 necessarily a linear process. This is a -- the  
3 practice of both the science and the art of  
4 medicine. It is not a cookie-cutter process. Your  
5 Honor, we believe that when the evidence is -- the  
6 evidentiary phase of this case is over, that you  
7 will find based upon the evidence that we present,  
8 that Doctor Neuhaus has met the standard of care  
9 in all 11 of these cases. That the standard of  
10 care was met in both in terms of how the diagnosis  
11 was determined and how it was documented. And as  
12 that occurs, we believe that there will be a  
13 finding of fact that will justify that the  
14 standard of care was met in both the diagnostic  
15 process and the -- the documentation process.  
16 Thank you.

17 MR. EYE: Your Honor, I'd like to move to  
18 sequester all fact witnesses that may be in the  
19 courtroom at this time.

20 PRESIDING OFFICER: Okay. Mr. Hays, you  
21 -- your witnesses.

22 MR. HAYS: Yes, sir. We have one, but  
23 he's going to be called.

24 PRESIDING OFFICER: Excuse me?

25 MR. HAYS: He's going to be called as the

1 first witness.

2 PRESIDING OFFICER: Okay.

3 MR. HAYS: Okay. So --

4 PRESIDING OFFICER: Any -- any other  
5 witnesses present?

6 MR. HAYS: I don't see any other  
7 witnesses here.

8 PRESIDING OFFICER: Mr. Eye, you don't  
9 have any witnesses in here, do you?

10 MR. EYE: No, sir, we don't.

11 PRESIDING OFFICER: Okay. So --

12 MR. EYE: Other than our client.

13 PRESIDING OFFICER: Yes, naturally.

14 Okay. All right. So, your first witness, Mr.  
15 Hays.

16 MR. HAYS: Ms. Bryson is going to be  
17 calling the first witness.

18 MS. BRYSON: I would like to call  
19 Clifford Hacker, please.

20 PRESIDING OFFICER: I can't hear you.

21 MS. BRYSON: I'd like to call Clifford  
22 Hacker, please.

23 PRESIDING OFFICER: Okay.

24 MS. BRYSON: And also, because we'll be  
25 going into patient records, it would be

1 appropriate to close the session at this point in  
2 time.

3 MR. EYE: Your Honor, my understanding  
4 was that the records that we were going to be  
5 covering in this hearing were redacted. And that  
6 the -- the set with the identifying information  
7 would have been provided -- or would be provided  
8 under seal. So, I don't know that there's a  
9 necessity to close the hearing if we're going to  
10 be dealing with records that have already been  
11 redacted.

12 MS. BRYSON: I -- I was going to say in  
13 order to identify the patient name with patient  
14 numbers, that's why we would need to go into the  
15 sealed records in order to lay the foundation.

16 MR. EYE: We will stipulate that the  
17 names that are assigned to Patients 1 through 11  
18 correspond with the -- to the -- to the files as  
19 they've been produced to us in this matter. And I  
20 don't think there's going to be any confusion  
21 about what patient goes with which chart, but I --  
22 I will leave it to your discretion to determine  
23 whether that's a designation that we need to  
24 establish on the record.

25 PRESIDING OFFICER: With the stipulation

1 that -- that he just made, is there any need for  
2 closed session.

3 MS. BRYSON: No, just so long as we do  
4 not use any patient names or initials.

5 THE WITNESS: Okay.

6 PRESIDING OFFICER: Okay.

7 CLIFFORD HACKER,  
8 called as a witness on behalf of the Petitioner,  
9 was sworn and summarizations as follows:

10 DIRECT EXAMINATION

11 BY MS. BRYSON:

12 Q. Would you please state your name for the  
13 record?

14 A. Clifford F. Hacker.

15 Q. And what is your occupation?

16 A. I'm Special Investigator II for the  
17 Kansas State Board of Healing Arts.

18 Q. And how long have you been employed as an  
19 investigator for the Kansas State Board of Healing  
20 Arts?

21 A. 10 years.

22 Q. And what did you do before?

23 A. I was Lyon County Sheriff for 16 years.

24 Q. And as a special investigator, would you  
25 please summarize what your responsibilities are?

1           A.    We are assigned to gather materials on  
2           cases, put the materials together and submit them  
3           for expert review.

4           **Q.    And how does an investigation come about?**

5           A.    A number of ways.  The complaint is  
6           submitted to the board and it is reviewed to  
7           determine that that's an issue that they want  
8           investigated and then it is assigned to the  
9           investigator by the disciplinary counsel.

10          **Q.    Once a case is assigned to you, what do**  
11          **you do?**

12          A.    We review the material that was submitted  
13          as the complaint so that we have an idea of what  
14          was -- what the complaint is and then we obtain  
15          records and if necessary, interviews and materials  
16          and compile a -- a file that is submitted for the  
17          appropriate corresponding specialty to review.

18          **Q.    And your job does include requesting**  
19          **documentation to further the investigation?**

20          A.    Yes.

21          **Q.    How is that documentation requested?**

22          A.    It can be requested by contacting someone  
23          and asking them to submit it or contacting --  
24          filling out the proper forms requesting that a  
25          subpoena get issued that is then sent out and the

1 records are -- are received under subpoena.

2 Q. And are they -- are the subpoenas sent by  
3 a certified mail?

4 A. Normally, yes.

5 Q. And there was an investigation that led  
6 to this case, correct?

7 A. Yes, there was.

8 Q. Okay. Would you please look at Exhibit  
9 82, it's in the largest binder.

10 MR. EYE: Did you say 82, Counsel?

11 MS. BRYSON: Yes. It's in the largest  
12 binder. It's in the largest binder.

13 MR. EYE: Got it.

14 BY MS. BRYSON:

15 Q. Do you recognize that document?

16 A. Yes, that's a subpoena.

17 Q. Is that a subpoena that you issued?

18 A. No, it's one I requested. It's issued by  
19 the executive director of the Kansas State Board  
20 of Healing Arts.

21 Q. Okay. What is the case number and the  
22 subpoena number associated with that subpoena?

23 A. Case number is 07-00158. Subpoena No.  
24 11763.

25 Q. And what did you request in that



1 subpoena?

2 A. I requested copies of any and all records  
3 in Doctor Neuhaus' possession and -- and control  
4 or subject to her possession and control  
5 regardless of source pertaining to the attached  
6 list of 23 patients.

7 Q. And on page 3 of this exhibit, is that a  
8 redacted copy of the 23 names?

9 A. It appears to be, yes. There's 11  
10 patients I -- and then the rest is redacted.

11 Q. Okay. What date was that subpoena  
12 issued?

13 A. It'd have been on the 3rd day of April  
14 2009.

15 Q. And who was it sent to?

16 A. Ann K Neuhaus M.D..

17 Q. And was her address provided in the  
18 subpoena?

19 A. Yes, it was.

20 Q. How was it sent?

21 A. It was sent by certified mail.

22 Q. And was Doctor Neuhaus required to  
23 respond to the subpoena?

24 A. Yes.

25 Q. By what date?

1 A. April 22nd, 2009.

2 Q. And did you receive a response to this  
3 subpoena?

4 A. I -- yes.

5 Q. Was that on the last page of the exhibit?

6 A. The last page of the exhibit is the -- a  
7 copy of the priority mailing envelope that I  
8 received that was sent to the requested address  
9 from the -- Doctor Neuhaus' address.

10 Q. And the address in return, is that --  
11 that's the same address as where the subpoena was  
12 sent, correct?

13 A. Yes, it is.

14 Q. What date was the response received?

15 A. It was received April the 22nd, 2009.

16 Q. I don't know if this helps, but Exhibits  
17 1 through 11 are Doctor Neuhaus' unredacted  
18 copies. Just 1, 2, 3, 4, 5, 6, 7, 8, 9, 10 and  
19 11. But I'm going to use the unredacted exhibit  
20 -- exhibit numbers if that's okay.

21 MR. EYE: I guess I'm not completely --

22 MR. HAYS: Sir, there's only one copy of  
23 1 through 11. And Exhibits 1 through 11 and 12  
24 through 22, those are the unredacted copies that  
25 we request be put under seal. There's only one

1 copy of those in this room, everything else that  
2 everyone else has is the redacted copies. And  
3 those start at 23 and continue down. So  
4 basically, if we can just establish that 1 and 12  
5 are the same records and we're just using redacted  
6 copies and any of those in exhibits, also.

7 MS. BRYSON: Otherwise -- otherwise, I'd  
8 ask to go into closed session so I could link  
9 Exhibit 1 with Exhibit 23 as being Patient 1, and  
10 Exhibit 2 with Exhibit 24 as being the redacted  
11 and unredacted versions together.

12 MR. EYE: May I inquire, Your Honor?

13 PRESIDING OFFICER: Do I have 1 through  
14 11 up here?

15 MR. HAYS: No, sir.

16 PRESIDING OFFICER: Okay.

17 MR. HAYS: And we -- and we can provide  
18 that to you.

19 PRESIDING OFFICER: No, no.

20 MR. HAYS: We just withhold -- withheld  
21 it at this point in time so we know where it is.

22 MR. EYE: Your Honor, I think it would be  
23 -- in order to really protect these records, I  
24 think that at this time the unredacted version  
25 should be provided to you and that way, we know

1 where they are and -- and that they're not  
2 floating around the courtroom in an unprotected  
3 state. So I would move that that would be done.

4 PRESIDING OFFICER: Okay.

5 MR. EYE: And then I will --

6 MR. HAYS: Do you want to take a look at  
7 it?

8 MR. EYE: -- I will accept the  
9 representation of counsel that, for example,  
10 Exhibit 1 corresponds to Exhibit 12?

11 MS. BRYSON: 20 -- 23.

12 MR. EYE: I'm sorry. I beg your pardon.  
13 It -- it corresponds with Exhibit 23. I will  
14 accept that representation from counsel. And with  
15 that, I -- I think we have essentially solved the  
16 -- the problem here, at least from my view.

17 PRESIDING OFFICER: Okay. So --

18 MR. HAYS: As long as we're all on the  
19 same page with these.

20 PRESIDING OFFICER: Yes. Okay.

21 MR. EYE: And I'm -- again, I'm accepting  
22 that -- that counsel is handing you the notebook  
23 with the unredacted records that relate to the 11  
24 patients in this case.

25 PRESIDING OFFICER: Okay. And they --

1 they -- they are Exhibits 1 through 22 unredacted?

2 MR. HAYS: Yes, sir.

3 PRESIDING OFFICER: They are accepted  
4 under seal.

5 BY MS. BRYSON:

6 Q. Would you please look at Exhibit 23.

7 Actually, it's in the small book.

8 In the small book.

9 A. (Witness complies.)

10 Q. Do you rec -- do you recognize exhibit --  
11 Exhibit 23?

12 A. Yes.

13 Q. Would you please describe the cover page?

14 A. The cover page is a page that I fill out  
15 when I receive records that names the person I  
16 received it from and case number, what the records  
17 are, how many pages are in it, who it was received  
18 from, what date. It contains my initials and the  
19 date that I processed the records.

20 Q. And who is the respondent?

21 A. Ann K Neuhaus M.D.

22 Q. And the case number?

23 A. 07-00158.

24 Q. And is that the case that the subpoena  
25 was issued in?

1 A. Yes, it is.

2 Q. And who did you receive these records  
3 from?

4 A. I received them from Doctor Neuhaus'  
5 address.

6 Q. And who are the medical records of?

7 A. Patient No. 1.

8 Q. And how many records did you receive?

9 A. Six pages of medical records.

10 Q. When you create the cover page, is this  
11 the process you follow whenever you receive a  
12 response to a subpoena?

13 A. When I receive any records, yes.

14 Q. And these were -- these six pages are all  
15 the records that you received for Patient 1?

16 A. Yes.

17 Q. Do you do anything with the records once  
18 you receive them?

19 A. Once I receive the records, I create the  
20 cover page, I manually Bates stamp to number the  
21 pages, and then I submit them to the board office  
22 for the board's file.

23 Q. Okay. And other than -- other than the  
24 cover page and Bates stamping the records, did you  
25 do anything else to them?

1 A. No, I did not.

2 Q. Okay. Would you please go to Exhibit 24.

3 A. (Witness complies.)

4 Q. And do you recognize Exhibit 24?

5 A. Yes, it's a cover page.

6 Q. And would you please describe this  
7 exhibit?

8 A. It's a records cover page that I create  
9 once I receive the records. It has the respondent  
10 and the case number, the medical records, the  
11 pages, received from, received date and my  
12 initials and the date I processed it.

13 Q. And what was the case number?

14 A. 07-00158.

15 Q. And what did you receive?

16 A. Seven pages of medical records.

17 Q. For?

18 A. Patient No. 2.

19 Q. And who did you receive them from?

20 A. I received them from Doctor Neuhaus'  
21 address.

22 Q. And when did you receive them?

23 A. April the 22nd, 2009.

24 Q. And what did you do with these records  
25 after you received them?

1           A.    I created a cover page. I Bates stamped  
2           numbered the pages and then submitted them to the  
3           board office for the board file.

4           Q.    And these were all the records you  
5           received for Patient 2 from Doctor Neuhaus?

6           A.    Yes, it is.

7           Q.    Would you please look at Exhibit 25. Do  
8           you recognize Exhibit 25?

9           A.    Yes, this is a records cover page created  
10          by me.

11          Q.    And would you please describe it?

12          A.    It has the respondent and the case  
13          number. It has medical records, the number of  
14          pages. It shows Patient No. 3 received from  
15          Doctor Neuhaus' address on April the 22nd, 2009.

16          Q.    And how many pages were received?

17          A.    10 pages.

18          Q.    And Patient 3 was on the subpoena that  
19          you issued in Exhibit 22 -- or that was sent in  
20          Exhibit 22?

21          A.    On the cover page, yes.

22          Q.    And were those 10 pages all the records  
23          that you received for Patient 3 from Doctor  
24          Neuhaus?

25          A.    Yes.



1 Q. Other than the cover page and the Bates  
2 stamping, did you do anything else to the records?

3 A. No, I did not.

4 Q. Would you please go to Exhibit 26. Do  
5 you recognize this exhibit?

6 A. Yes. This is a records cover page  
7 created by me.

8 Q. And would you please describe it?

9 A. It contains the respondent, the case  
10 number. It indicates medical records received  
11 from Doctor Neuhaus' address, received on April  
12 22nd, 2009. I initialed it and dated it.

13 Q. And how many -- or what was the case  
14 number you received this for?

15 A. 07-00158.

16 Q. And that was in response to the subpoena  
17 you issued -- or that you sent in Exhibit 22?

18 A. Correct.

19 Q. How many pages of medical records did you  
20 receive?

21 A. 10.

22 Q. And the medical records are for?

23 A. Patient No. 4.

24 Q. Other than Bates stamping and the cover  
25 page, did you do anything to these 10 pages?

1 A. No.

2 Q. And the 10 pages were -- were they all  
3 the records you received for Patient 4?

4 A. Yes, they were.

5 Q. Would you please go to Exhibit 27. Do  
6 you recognize Exhibit 27?

7 A. Yes. This is the records cover page  
8 created by me.

9 Q. Would you please describe it?

10 A. It has the respondent, has the case  
11 number, has the number of medical records, number  
12 of pages, received from Doctor Neuhaus' address,  
13 date received April 22nd, 2009, and my initials  
14 and the date I processed it.

15 Q. Is the case number on the -- in Exhibit  
16 27 the same as the subpoena that was sent in  
17 Exhibit 82?

18 A. Yes, it is.

19 THE REPORTER: The part that was sent?

20 MS. BRYSON: In Exhibit 82.

21 THE REPORTER: Thank you.

22 BY MS. BRYSON:

23 Q. And how many medical records did you  
24 receive?

25 A. Eight pages.

1 Q. For?

2 A. Patient No. 5.

3 Q. And was Patient No. 5 one of the patients  
4 listed in Exhibit 82?

5 A. Yes, it was.

6 Q. Did you Bates stamp these records, also?

7 A. Yes, I did.

8 Q. Did you do anything else to the records?

9 A. Not other than submitting them to the  
10 board office for the file.

11 Q. And the eight pages were the complete  
12 records that you received are all the records that  
13 you received from --

14 A. Yes, they were.

15 Q. -- Doctor Neuhaus? Would you please go  
16 to Exhibit 28. Do you recognize that exhibit?

17 A. This is a record -- cover page created by  
18 me.

19 Q. Would you please describe it?

20 A. It contains the respondent, contains the  
21 case number, medical records of patient number,  
22 received from. I have Ann K Neuhaus M.D. on the  
23 record, but it's received from that address. There  
24 was no other indication. It shows the date  
25 received, my initials and the date I processed it.

1 Q. And who is the patient in this exhibit?

2 A. Patient No. 6.

3 Q. And was this patient listed in the  
4 subpoena that was sent in Exhibit 82?

5 A. Yes, it was.

6 Q. How many pages of medical records did you  
7 receive?

8 A. 20 pages.

9 Q. And were those all the medical records  
10 you received from Doctor Neuhaus for Patient 6?

11 A. Yes, they were.

12 Q. And did you Bates stamp these, also?

13 A. Yes, I did.

14 Q. Did you do anything else to these  
15 records?

16 A. Submit them for the file.

17 Q. Would you please go to Exhibit 29. Do  
18 you recognize Exhibit 29?

19 A. Yes. It's the record cover page created  
20 by me.

21 Q. Would you please describe it?

22 A. Names the respondent, the case number,  
23 medical records of patient number, received from,  
24 date received, my -- my initials and the date.

25 Q. And who is the respondent?

1 A. Ann K Neuhaus M.D.

2 Q. And the case number?

3 A. 07-00158.

4 Q. And who were the medical records for?

5 A. Patient No. 7.

6 Q. And is Patient No. 7 listed on the

7 subpoena that was sent in Exhibit 82?

8 A. Yes.

9 Q. And did you Bates stamp these medical  
10 records?

11 A. I see no Bates stamping on this.

12 Q. But are these all the medical records you  
13 received from Doctor Neuhaus for Patient No. 7?

14 A. I believe so, yes.

15 Q. Would you please look at Exhibit 30. Do  
16 you recognize this exhibit?

17 A. Yes. It's a records cover page created  
18 by me.

19 Q. And would you please describe this  
20 exhibit?

21 A. It has the respondent Ann K. Neuhaus  
22 M.D., Case No. 07-00158, medical records five  
23 pages, Patient No. 8, received from Ann K Neuhaus  
24 M.D., date received April 22nd, 2009, my initials  
25 CFH, and date 04-22-09.

1 Q. And is Patient No. 8 listed on the  
2 subpoena that was set in Exhibit 82?

3 A. Yes.

4 Q. And were these pages Bates stamped?

5 A. Yes, they were.

6 Q. And were these five pages all the records  
7 you received from Doctor Neuhaus for Patient No.  
8 8?

9 A. Yes.

10 Q. Would you please go to Exhibit 31. Do  
11 you recognize this exhibit?

12 A. Yes. This is the records cover page  
13 created by me.

14 Q. Would you please describe it?

15 A. It shows respondent Ann K. Neuhaus, M.D.,  
16 Case No. 07-00158. It shows medical records 10  
17 pages, Patient No. 9, received from Ann K Neuhaus  
18 M.D., date received April 22nd, 2009, my initial  
19 CFH, dated 04-22-09.

20 Q. And was Patient No. 9 one of the patients  
21 listed in the subpoena for -- in Exhibit 82?

22 A. Yes, it is.

23 Q. And did you Bates stamp these pages?

24 A. Yes, I did.

25 Q. And were these 10 pages all the records

1     that you received from Doctor Neuhaus --

2             A.     Yes.

3             Q.     -- in response to the subpoena?

4             A.     Yes, they were.

5             Q.     Would you please go to Exhibit 32. Do  
6     you recognize this exhibit?

7             A.     Yes. This is a records cover page  
8     created by me.

9             Q.     Would you please describe it?

10            A.     It shows respondent Ann K. Neuhaus M.D.,  
11     Case No. 07-00158, medical records 10 pages,  
12     Patient No. 10, received from Ann K. Neuhaus,  
13     M.D., dated received April 22nd, 2009, my initials  
14     CFH, date 04-22-09.

15            Q.     And is Patient No. 10 a patient that was  
16     listed in the subpoena that was sent in Exhibit  
17     82?

18            A.     Yes.

19            Q.     And are these records Bates stamped?

20            A.     Yes, they are.

21            Q.     And are these 10 pages all records that  
22     you received from Doctor Neuhaus in response to  
23     the subpoena?

24            A.     Yes.

25            Q.     Would you please go to Exhibit 33. Do

1     **you recognize Exhibit 33?**

2             A.     Yes.    It's a records cover page created  
3     by me.

4             **Q.     And would you please describe it?**

5             A.     It shows respondent Ann K Neuhaus, M.D.,  
6     Case No. 07-00158, medical records five pages,  
7     Patient No. 11, received from Ann K Neuhaus M.D.,  
8     date received April 22nd, 2009, my initials CFH,  
9     and the date processed 04-22-09.

10            **Q.     And is Patient 11 a patient that was**  
11     **listed in the subpoena that was sent in Exhibit**  
12     **82?**

13            A.     Yes.

14            **Q.     And were these medical records Bates**  
15     **stamped?**

16            A.     Yes.

17            **Q.     And were these all the medical records**  
18     **you received from Doctor Neuhaus in response to**  
19     **the subpoena?**

20            A.     Yes.

21            MS. BRYSON:  At this time, I'd like to  
22     move to admit Exhibits 1 through 12, 22 through 33  
23     and Exhibit 82.

24            MR. EYE:  May I voir dire the witness  
25     briefly.



1 VOIR DIRE EXAMINATION

2 BY MR. EYE:

3 Q. Mr. Hacker, would you please take a look  
4 at Exhibit 29. Are you there?

5 A. Yes.

6 Q. How many pages does it indicate that were  
7 produced by Doctor Neuhaus in terms of this  
8 particular Patient No. 7?

9 A. There are no -- there is no number  
10 indicating.

11 Q. Do you have a record elsewhere that might  
12 indicate the number of pages that were received by  
13 you?

14 A. Without looking at the original file, I  
15 can't say.

16 Q. And where does the original file reside?

17 A. At the Board of Healing off -- Arts  
18 office at -- here in Topeka.

19 Q. And is there a chain of custody that's --  
20 that's generated to follow the -- that particular  
21 set of documents or that particular set of  
22 records?

23 A. Once I receive the records and process  
24 them, I send them to the Topeka office to the  
25 administrative assistant that files those and they

1 -- they go into the -- the main file for the  
2 boards.

3 Q. But as you sit here today, you can't  
4 testify that Exhibit 29 is complete, correct?

5 A. That's correct.

6 MR. EYE: Your Honor, with -- I -- I  
7 would object to the admission of 29. I don't  
8 believe we have an objection for the balance.

9 PRESIDING OFFICER: Objection to 29 is  
10 what?

11 MR. EYE: It's just because there is no  
12 testimony that this is a complete record from the  
13 respondent Doctor Neuhaus.

14 PRESIDING OFFICER: Any response?

15 MS. BRYSON: Just -- may have I just a  
16 moment? Your Honor, we would respectfully assert  
17 that these substantially meet the requirements for  
18 admission.

19 MR. EYE: I -- I want to make sure I -- I  
20 have a fix on exactly what's being offered here.  
21 The exhibits that are being offered, as I  
22 understand, are the patient records in the  
23 unredacted form that have been provided to Your  
24 Honor and the redacted version that we just went  
25 through with Mr. Hacker, is that correct?

1 MS. BRYSON: Correct.

2 MR. EYE: And your offer is limited to  
3 just those records at this time, correct?

4 MS. BRYSON: Just those records.

5 MR. EYE: All right. Well, with the --  
6 with the one objection we made concerning Exhibit  
7 29, we would not object to the admissions of the  
8 balance of these records, Your Honor.

9 PRESIDING OFFICER: Well, I thought we  
10 had admitted under seal 1 through 22. We have,  
11 correct?

12 MR. EYE: That is my understanding.

13 PRESIDING OFFICER: Then -- then your  
14 objection to 29 -- your -- you're objecting to 29  
15 -- the re -- the redacted version of one of these  
16 that's already been admitted?

17 MR. EYE: My understanding is that --  
18 that the exhibit that we're objecting to is No.  
19 29. I think the exhibits that you have are 1  
20 through 22.

21 PRESIDING OFFICER: But don't --

22 MR. EYE: I -- I may be be confused here  
23 in terms of how we're -- how we're designating  
24 these exhibits

25 MS. BRYSON: Exhibits 1 through 22 are

1 exact replicas of 23 -- 23 through 33, I think.

2 MR. EYE: Your Honor --

3 MS. BRYSON: 23 through.

4 MR. EYE: 22 -- I'm sorry.

5 MS. BRYSON: No. 1 through 22 are exact

6 -- or 23 through 33 are exact duplicates of 1

7 through 22 except for 23 through 33 are redacted.

8 MR. EYE: I -- I'm not sure --

9 MS. BRYSON: And we already stipulated

10 beforehand that all the records that Doctor

11 Neuhaus submitted --

12 PRESIDING OFFICER: Ms. Bryson, let's ask

13 the question this way. Exhibit 29 --

14 MS. BRYSON: Yes.

15 PRESIDING OFFICER: -- is duplicated

16 somewhere in 1 through 22?

17 MS. BRYSON: It would be No. 7.

18 MR. EYE: Your Honor, when that record --

19 when that binder was given to you, it was on the

20 presumption that these were complete records. And

21 now we don't have the testimony to support that.

22 And to the extent that that was a stipulation made

23 on the basis of a mistake, then that stipulation

24 ought to be now modified because we don't have

25 testimony to establish that this was a complete

1 record. It may be a complete record, but it's the  
2 burden of proof that the board has to establish  
3 the completeness of these records.

4 MS. BRYSON: Then we would reserve the  
5 right to further produce documentation.

6 PRESIDING OFFICER: All right. Objection  
7 is sustained at this point as to 29.

8 DIRECT-EXAMINATION (continued)

9 BY MS. BRYSON:

10 Q. Okay. Would you please turn to Exhibit  
11 81.

12 A. (Witness complies.)

13 Q. Do you recognize Exhibit 81?

14 A. Yes. This is a subpoena.

15 Q. Could you please describe it?

16 A. It's a subpoena in -- in Case No. 07-  
17 00322, Subpoena No. 11284 issued to George R.  
18 Tiller, M.D., Women's Health Care Services, 5101  
19 East Kellogg, Wichita, Kansas 67218. It's for  
20 nonredacted copies of any and all records  
21 regardless of source which are in your possession,  
22 your control or subject to your possession and  
23 control pertaining to the 15 patients identified  
24 in the complaint information filed by --

25 THE REPORTER: I'm sorry. Speak up,

1 please. Pertaining to patient?

2 A. Pertaining to the 15 patients identified  
3 in the complaint information filed by Kansas  
4 Attorney General Phil Kline in Sedgwick County  
5 District Court Case No. 06-CR-2961.

6 BY MS. BRYSON:

7 Q. And why was a subpoena requested?

8 A. Because I was given the -- the  
9 information to investigate that case.

10 Q. What date was the subpoena issued?

11 A. It was issued on the 2nd day of October,  
12 2008.

13 Q. And how was it sent?

14 A. It was sent by a certified mail on the  
15 3rd of October 2008.

16 Q. And was Doctor Tiller required to respond  
17 to the subpoena?

18 A. Yes, he was.

19 Q. By what date?

20 A. By October 17th, 2008.

21 Q. Did you receive a response to this  
22 subpoena?

23 A. Based on my memory, yes, I did.

24 Q. Would you please look at Exhibit 34.

25 A. (Witness complies.)

1 Q. Do you recognize Exhibit 34?

2 A. Yes. This is a records cover page  
3 created by me.

4 Q. Would you please describe it?

5 A. It says, the respondent, Tiller, George  
6 R., M.D., Case No. 07-00322, medical records 85  
7 patients -- or 85 pages. Patient No. 1 received  
8 from Randall J. Forbes, PA, attorney, received on  
9 December 15th, 2008. It has my initials CFH and  
10 the date I processed it would be 12-15 of '08.

11 Q. Do you know who Randall J. Forbes, PA  
12 attorney is?

13 A. He was an attorney for Doctor Tiller.

14 Q. And was Patient 1 one of the patients  
15 that was listed in Exhibit 82?

16 A. Yes.

17 Q. And were these 85 pages all the pages  
18 that you received from Doctor Tiller's attorney in  
19 response to the subpoena issued in Exhibit 81?

20 A. Yes.

21 Q. And what did you do with these documents  
22 once you received them?

23 A. I filled out the records cover page, I  
24 Bates stamped them and I submitted them to the  
25 Board of Healing Arts to be filed in the official

1 file.

2 Q. Did you do anything other than Bates  
3 stamping and creating a cover page?

4 A. No, I did not.

5 Q. Would you please look at Exhibit 35. Do  
6 you recognize Exhibit 35?

7 A. Yes. This is a records cover page  
8 created by me.

9 Q. Would you please describe Exhibit 35?

10 A. It shows, respondent Tiller, George R.,  
11 M.D., Case No. 07-00322. It shows medical records  
12 78 pages Patient No. 2 received from Randall J.  
13 Forbes attorney received on December 15th, 2008.  
14 It has my initials CFH, date processed 12-15 of  
15 '08.

16 Q. And were the 78 pages all received from  
17 Doctor Tiller's attorney in response to this -- in  
18 response -- in response to the subpoena issued in  
19 Exhibit 81?

20 A. Yes, it is.

21 Q. And is Patient 2 one of the patients that  
22 are listed in Exhibit 82 -- in the subpoena that  
23 was in Exhibit 82?

24 A. Yes.

25 Q. And did you do anything to these records



1     **once you received them?**

2             A.     Created the records cover page, Bates  
3     stamped them and submitted them to the board  
4     office for the official filing.

5             **Q.     And you didn't do anything else to those**  
6     **records?**

7             A.     No, I did not.

8             **Q.     Would you please look at Exhibit 36. Do**  
9     **you recognize Exhibit 36?**

10            A.     Yes. It's a records cover page created  
11    by me.

12            **Q.     And would you please describe Exhibit 36?**

13            A.     It says Respondent Tiller, George R.,  
14    M.D., Case No. 07-00322. It shows medical records  
15    57 pages Patient No. 3 received from Randall J.  
16    Forbes attorney, date received December 15th,  
17    2008. It has my initials CFH and the date I  
18    processed them, which would be 12-15 of '08.

19            **Q.     And did you do anything to these records**  
20    **once you received them?**

21            A.     Created the cover page, Bates stamped  
22    them and submitted them to the Board of Healing  
23    Arts for official filing.

24            **Q.     And are these 57 pages all the pages you**  
25    **received in response to the subpoena issued in**

1 Exhibit 81?

2 A. Yes, they are.

3 Q. And is Patient No. 3 one of the patients  
4 listed in Exhibit 82?

5 A. Yes.

6 Q. Would you please go to Exhibit 37. Do  
7 you recognize Exhibit 37?

8 A. It's a records page covered by me --  
9 created by me.

10 Q. Would you please describe Exhibit 37?

11 A. Shows respondent Tiller, George R., M.D.,  
12 Case No. 07-00322. It shows medical records 71  
13 pages, Patient No. 4, received from Randall J.  
14 Forbes attorney, date received December 15th,  
15 2008, my initials CFH, date processed was 12-15 of  
16 '08.

17 Q. And once you received these records, what  
18 did you do with them?

19 A. I completed the cover page, Bates stamped  
20 the records and submitted them to the Board of  
21 Healing Arts.

22 Q. And are these 71 pages all the records  
23 you received from Doctor Tiller's attorney in  
24 response to the subpoena issued in Exhibit 81?

25 A. Yes, they are.

1 Q. And is Patient 4 one of the patients  
2 listed in Exhibit 82?

3 A. Yes.

4 Q. Would you please turn to Exhibit 38. Do  
5 you recognize Exhibit 38?

6 A. Yes. It's a records cover page created  
7 by me.

8 Q. Would you please describe Exhibit 38?

9 A. It shows respondent Tiller, George R.,  
10 M.D., Case No. 07-00322. It shows medical records  
11 57 pages, Patient No. 5, received from Randall J.  
12 Forbes attorney, date received December 15th,  
13 2008, my initials CFH, date processed was  
14 12-15-08.

15 Q. And what did you do with these records  
16 once you received them?

17 A. I created the cover page, I Bates stamped  
18 the records and submitted them to the Board of  
19 Healing Arts for official filing.

20 Q. And did you do anything else to them?

21 A. No, I did not.

22 Q. Are these 57 pages all the records you  
23 received from Doctor Tiller's attorney in response  
24 to the subpoena issued in Exhibit 81?

25 A. Yes.

1 Q. And is Patient No. 5 one of the patients  
2 named in Exhibit 82?

3 A. Yes.

4 Q. Would you please turn to Exhibit 39. Do  
5 you recognize Exhibit 39?

6 A. It's the records cover page created by  
7 me.

8 Q. Would you please describe Exhibit 39?

9 A. It shows Respondent Tiller, George R.,  
10 M.D., Case No. 07-00322, medical records 53 pages,  
11 Patient No. 6, received from Randall J. Forbes  
12 attorney, date received December 15th, 2008, my  
13 initials CFH and the date 12-15 of '02 (sic).

14 Q. And what did you do with these records  
15 once you received them?

16 A. Created the cover page, Bates stamped  
17 them and submitted them to the Board of Healing  
18 Arts for filing.

19 Q. Did you do anything else with those  
20 records?

21 A. I did not.

22 Q. And are those 53 pages all the records  
23 you received from Doctor Tiller's attorney in  
24 response to the subpoena issued in Exhibit 81?

25 A. Yes, they are.

1 Q. And is Patient No. 6 one of the patients  
2 in Exhibit 82?

3 A. Yes.

4 Q. Would you please turn to Exhibit 40. Do  
5 you recognize Exhibit 40?

6 A. It's a records cover page created by me.

7 Q. Would you please describe Exhibit 40?

8 A. It shows Respondent Tiller, George R.,  
9 M.D., Case No. 07-00322, medical records 68 pages,  
10 Patient No. 7, received from Randall J. Forbes  
11 attorney, date received December 15th, 2008, my  
12 initials CFH, date processed 12-15-08.

13 Q. And did you -- what did you do with those  
14 records once you received them?

15 A. Created the cover page and I Bates  
16 stamped the records and submitted them to the  
17 Board of Healing Arts office.

18 Q. Did you do anything else to those  
19 records?

20 A. I did not.

21 Q. Are those 68 pages all the records you  
22 received from Doctor Tiller's attorney in response  
23 to the subpoena sent in Exhibit 81?

24 A. Yes.

25 Q. Is Patient No. 7 one of the patients

1 listed in Exhibit 82?

2 A. Yes.

3 Q. Would you please turn to Exhibit 41. Do  
4 you recognize Exhibit 41?

5 A. Yes. It's the records cover page created  
6 by me.

7 Q. Would you please describe Exhibit 41?

8 A. It shows Respondent Tiller, George R.,  
9 M.D., Case No. 07-00322. It shows medical records  
10 48 pages, Patient No. 8, received from Randall J.  
11 Forbes attorney, date received December 15th,  
12 2008, my initials CFH and the date I processed  
13 them 12-15-08.

14 Q. What did you do with those records once  
15 you received them?

16 A. Created the cover page, Bates stamped  
17 them and submitted them to the Board of Healing  
18 Arts office for filing.

19 Q. Did you do anything else to those  
20 records?

21 A. I did not.

22 Q. Are those 48 pages all the records you  
23 received from Doctor Tiller's attorney in response  
24 to the subpoena sent --

25 A. Yes.

1 Q. -- in Exhibit 81?

2 A. Sent.

3 Q. And is Patient 8 one of the patients  
4 named in Exhibit 82?

5 A. Yes.

6 Q. Would you please turn to Exhibit 42. Do  
7 you recognize Exhibit 42?

8 A. Yes. It's a records cover page created  
9 by me.

10 Q. Would you please describe Exhibit 42?

11 A. It shows Respondent Tiller, George R.,  
12 M.D., Case No. 07-00322. It shows medical records  
13 52 pages, Patient No. 9, Randall J. Forbes  
14 attorney, date received December 15th, 2008, my  
15 initials CFH, date processed 12-15-08.

16 Q. And what did you do with those records  
17 once you received them?

18 A. Created the cover page, Bates stamped  
19 them and submitted them to the Board of Healing  
20 off -- Arts office for filing.

21 Q. Did you do anything else to those  
22 records?

23 A. No.

24 Q. And are those 52 pages all the pages you  
25 received from Doctor Tiller's attorney in response

1 to Exhibit 81?

2 A. Yes.

3 Q. And is Patient 9 one of the patients  
4 listed in Exhibit 82?

5 A. Yes.

6 Q. Would you please turn to Exhibit 43. Do  
7 you recognize Exhibit 43?

8 A. It's a records cover page created by me.

9 Q. Would you please describe Exhibit 43?

10 A. It shows Respondent Tiller, George R.,  
11 M.D., Case No. 07-00322. It show medical records  
12 49 pages, Patient No. 10, received from Randall J.  
13 Forbes attorney, date received December 15th,  
14 2008, my initials CFH, date processed 12-15-08.

15 Q. What did you do with those records once  
16 you received them?

17 A. Created the cover page, Bates stamped the  
18 records and submitted them to the Board of Healing  
19 Arts office.

20 Q. And did you do anything else to those  
21 records?

22 A. I did not.

23 Q. And are those 49 pages all the medical  
24 records that you received from Doctor Tiller's  
25 attorney in response to the subpoena in Exhibit



1 81?

2 A. Yes, they are.

3 Q. And is Patient 10 one of the patients  
4 named in Exhibit 82?

5 A. Yes.

6 Q. Would you please turn to Exhibit 44. Do  
7 you recognize Exhibit 44?

8 A. It's the records cover page created by  
9 me.

10 Q. Would you please describe Exhibit 44?

11 A. It shows Respondent Tiller, George R.,  
12 M.D., Case No. 07-00322, medical records 46  
13 patients -- pages -- pardon me -- Patient No. 11,  
14 received from Randall J. Forbes attorney, date  
15 received December 15th, 2008. It has my initials  
16 CFH and the date processed 12-15-08.

17 Q. And what did you do once you received  
18 those records?

19 A. I created the records cover page, Bates  
20 stamped the records and submitted them to the  
21 Board off -- of Healing Arts office for filing.

22 Q. Did you do anything else to those  
23 records?

24 A. I did not.

25 Q. And are those 46 pages all the records

1     you received for Patient 11 from Doctor Tiller's  
2     attorney in response to the subpoena in Exhibit  
3     81?

4             A.     Yes.

5             Q.     And is Patient 11 one of the patients  
6     named in Exhibit 82?

7             A.     Yes.

8                     MS. BRYSON: At this time, I'd like to  
9     move to admit Exhibits 34 through 44 and Exhibit  
10    81.

11                    MR. EYE: May I voir dire briefly, Your  
12   Honor?

13                    PRESIDING OFFICER: Yes.

14                    VOIR DIRE EXAMINATION

15                    BY MR. EYE:

16                    Q.     Mr. Hacker, let's just go to Exhibit 35,  
17   please. Do you have that in front of you?

18                    A.     Yes, I do.

19                    Q.     Would you please within the body of  
20   Exhibit 35 point out the page that indicates that  
21   this actually came from Randall Forbes attorney  
22   other than the page that you created?

23                    A.     That would not be in this particular  
24   file. However, we have one page that's submitted  
25   with -- with all the files showing where they came

1 from.

2 Q. And what is the -- what is that page?

3 A. I would -- I would have to look at the  
4 records to find it.

5 Q. Do you know -- do you have it here?

6 A. It should be in the records. It should  
7 be a -- a receipt mailing of where -- who came --  
8 where it came from, or in some cases, it would be  
9 a cover letter.

10 Q. Do you know which it is in this case?

11 A. Not without looking at the records.

12 Q. I think your counsel has a -- has a -- a  
13 -- it appears to be a -- a FedEx receipt. I  
14 presume that that's some record that --

15 MR. EYE: Thank you. May I approach,  
16 Your Honor?

17 BY MR. EYE:

18 Q. I'm going to hand you what your counsel  
19 just gave me and ask if you recognize that  
20 document?

21 A. Yes. It's a FedEx US air bill showing  
22 the sender's name as Randy Forbes and the  
23 recipient's -- is my name.

24 Q. Now, when you received those documents  
25 that I presume were in the package that had that

1 receipt on it --

2 A. Right.

3 Q. -- correct --

4 A. Correct.

5 Q. -- did you ever speak with Mr. Forbes  
6 about these records?

7 A. Not to my knowledge.

8 Q. And so you don't have anything under oath  
9 indicating that these are complete records from  
10 Doctor Tiller's office, correct?

11 A. I have no proof, no.

12 Q. And my understanding is that these are  
13 the only records that you've ever looked at from  
14 Doctor Tiller's office, that is that were produced  
15 from -- pursuant to that subpoena and, apparently,  
16 in a package that carried that receipt that you  
17 have in your hand, is that correct?

18 A. On this particular case, yes.

19 Q. So you've never compared these records  
20 with the originals, correct?

21 A. Correct.

22 Q. So you can't testify whether this is a  
23 complete file or not from Doctor Tiller's office,  
24 correct?

25 A. Correct.

1 MR. EYE: Your Honor, we would object to  
2 the admission of these documents because there's  
3 no indication that one, these are the documents  
4 that -- or that the complete chart rather for each  
5 patient. There's never been a comparison with the  
6 originals. These were not produced in a records  
7 deposition under oath and therefore, there's  
8 really no way to determine whether these are the  
9 actual records that came from George Tiller's  
10 charts or not. So we would object on that basis.

11 MS. BRYSON: And we would respond that he  
12 -- that opposing counsel has misstated Mr.  
13 Hacker's testimony. Mr. Hacker has testified that  
14 these are the records he received from the  
15 attorney. He didn't say these are the complete  
16 records. In addition, these records were produced  
17 to counsel in -- they -- they were produced to  
18 counsel with all the other records that we -- the  
19 inquisition testimony from the trial. So he has  
20 had a chance to review them and he had a chance to  
21 depose Mr. Hacker, if he so desired.

22 MR. EYE: And we would have established  
23 that he did never -- he never compared these to  
24 the originals and he didn't get them under oath in  
25 a records deposition just like he's testified here

1 today. The fact that they were produced for our  
2 review doesn't remove the problem with  
3 establishing either their authenticity or that  
4 they've been handled properly through the chain of  
5 custody.

6 PRESIDING OFFICER: Objection noted for  
7 the record. 34 through 44 are admitted.

8 MS. BRYSON: I have -- I have no further  
9 questions.

10 MR. HAYS: Can I move on with my case?

11 PRESIDING OFFICER: I think he --

12 MR. EYE: I -- I believe I'm entitled to  
13 cross-examine this witness, Counsel.

14 MR. HAYS: Oh, I'm sorry.

15 MR. EYE: Thank you.

16 MR. HAYS: I apologize.

17 CROSS-EXAMINATION

18 BY MR. EYE:

19 Q. Mr. Hacker, you're familiar with the  
20 complaint in this matter, I presume?

21 A. I would have to review it, but, yes.

22 Q. Who made the complaint? Let me -- let me  
23 help you. Cheryl Sullenger, correct?

24 A. I would have to review it.

25 Q. Do you have that record in front of you?

1 A. I don't believe so.

2 Q. And your -- you haven't reviewed this  
3 record to determine who the complainant was in  
4 this matter?

5 A. I haven't, no. I do at the time it was  
6 received, however, that was --

7 Q. Well, does it sounds familiar to you that  
8 -- that Cheryl Sullenger was the complainant in  
9 this case?

10 A. That would be entirely possible, yes.

11 Q. And why would it be entirely possible?

12 A. It's because --

13 Q. Is it because she'd made a lot of other  
14 complaints regarding Doctor Neuhaus and Doctor  
15 Tiller?

16 A. We did receive complaints, yes.

17 Q. Now, how did you know which charts to  
18 request?

19 A. On the -- the --

20 Q. Through the subpoenas?

21 A. It was the ones that were -- were  
22 addressed by then Attorney General Phillip Kline.

23 Q. And were the charts that were requested,  
24 were they specified in Ms. Sullenger's complaint  
25 to you? To you, meaning to the board?

1           A.    Once again, I'd have to look at the  
2   complaint to know for sure.

3           Q.    Have you ever spoken to Ms. Sullenger  
4   about this complaint?

5           A.    I believe -- I -- I -- I don't know, I  
6   would have to look at the record.

7           Q.    Do you make records of individuals to  
8   whom you speak about these complaints?

9           A.    Yes.

10          Q.    Where is your investigation record?

11          A.    It should be in the original file.

12          Q.    Is it in any of the exhibits that are in  
13   front of you at the witness stand?

14          A.    I don't believe so.

15          Q.    And you can't testify today as to whether  
16   you have ever spoken with the complainant, is that  
17   my understanding?

18          A.    I've spoken with the complainant.

19          Q.    About this case?

20          A.    I can't say for sure about this case.

21          Q.    And you don't know what documents the  
22   complainant submitted with her complaint, is that  
23   correct?

24          A.    That's correct. Not without reviewing  
25   the file.



1 Q. Would you characterize the response to  
2 the subpoena that you served on Doctor Neuhaus as  
3 prompt?

4 A. I would believe so. It was received  
5 within the -- the designated time.

6 Q. Did Doctor Neuhaus register any objection  
7 to producing those records?

8 A. Not that I recall.

9 Q. Now, as I understand your testimony, it's  
10 your -- part of your job responsibility is to  
11 assemble the record for expert review, is that  
12 correct?

13 A. For peer review within the board, yes.

14 Q. And what peers reviewed this that you  
15 compiled?

16 A. I would have to see which committee it  
17 went to and which -- what -- who -- who was on  
18 that committee. I -- offhand, I can't tell you.

19 Q. Did you have any interaction with that  
20 peer review, other than providing records?

21 A. Probably I attended the initial peer  
22 review to answer any questions that I could, but I  
23 -- I don't recall specifically on this case.

24 Q. Was it represented to the peers that  
25 reviewed this that the records you presented were

1 complete charts of each one of the patients  
2 involved?

3 MS. BRYSON: Objection, Your Honor. This  
4 is outside the -- outside the direct of scope. He  
5 testified that once he submit -- once he received  
6 the records, he sent it to the board for further  
7 processing and that was it.

8 MR. EYE: He testified that they were  
9 submitted for peer review and I just want to make  
10 sure that we know what was submitted and what his  
11 involvement with it.

12 PRESIDING OFFICER: Objection --  
13 objection overruled. Go ahead.

14 A. There was not a discussion with the  
15 review committee on the number of records  
16 reviewed. They -- it was -- they were reviewing,  
17 I -- I suppose, what was submitted to them, which  
18 should have been the whole file.

19 BY MR. EYE:

20 Q. That's the question. Was it represented  
21 to them that these were complete charts?

22 A. I -- not by me, but then it wasn't -- it  
23 was not addressed by me or in the -- in the review  
24 portion that I was attending.

25 Q. Do you know whether the peer review

1 proceeded on the assumption that these were  
2 complete charts?

3 A. I -- I have no -- no way of knowing.

4 Q. And you don't know whether these are the  
5 -- the charts from Doctor Tiller, you don't know  
6 whether they're complete or not, do you?

7 A. I -- I can't say they are or not.

8 Q. Exhibit 81, Mr. Hacker. I believe that  
9 -- let me just -- sorry. Do you have 81 in front  
10 of you?

11 A. Yes.

12 Q. According to my notes from your direct  
13 examination, you mentioned that when it came to  
14 Exhibit 81, that it was your recollection that  
15 these had been -- that the -- that you were  
16 recalling from memory that -- that this was a  
17 response or -- to the subpoena, is that correct?  
18 What was it that you were -- that you said you  
19 testified from memory about Exhibit No. 81? Do  
20 you recall being asked about Exhibit 81?

21 A. The only thing I would have recalled was  
22 that it was a -- a case submitted to me. And  
23 based on the information that was submitted, this  
24 subpoena was requested.

25 Q. And it's my understanding that -- that

1 you have also not ever undertaken a review of any  
2 of the original records from Doctor Neuhaus, is  
3 that correct?

4 A. Not the originals, no.

5 Q. You requested 23 charts all together, is  
6 that correct?

7 A. From --

8 Q. 23 patient charts?

9 A. Not on ex -- not on Exhibit 81. I think  
10 that was on Doctor Neuhaus' subpoena.

11 Q. You asked for the records of 23 patients  
12 from Doctor Neuhaus, correct?

13 A. Correct.

14 Q. Did you ask for those same patients from  
15 Doctor Tiller?

16 A. Not under this subpoena.

17 Q. Okay. Did you ever ask for the same  
18 records from Doctor Tiller -- the same patient  
19 records for the same patients from Doctor Tiller  
20 that you asked for doc -- from Doctor Neuhaus?

21 A. Without being able to review the file, I  
22 can't -- I don't recall for sure.

23 Q. And it's your testimony that -- that  
24 whatever patient charts you requested came from  
25 information that you obtained related to the

1 criminal prosecution of Doctor Tiller in Sedgwick  
2 County District Court?

3 A. The -- on Doctor Tiller's subpoena?

4 Q. No.

5 A. It's just --

6 Q. When I asked you how you determined which  
7 charts to request, you said something about it  
8 related to the prosecution that was being pursued  
9 at that time by then Attorney General Kline, is  
10 that correct?

11 A. To the best of my knowledge, yes, based  
12 on the subpoena.

13 Q. So you didn't do any other independent  
14 investigation to determine whether other charts  
15 should be requested, correct?

16 A. Not in this case, no.

17 Q. How about in -- how about in any other  
18 cases involving the -- either Women's Health Care  
19 Services or Doctor Neuhaus?

20 A. Have I requested other records from  
21 either one of those?

22 Q. Related to this case?

23 A. I don't recall.

24 Q. Do you know whether the records that were  
25 produced under the subpoena that you issued to

1     **Women's Health Care Services and to Doctor Neuhaus**  
2     **contained records that were also produced in the**  
3     **course of the criminal trial in -- in Sedgwick**  
4     **County that was where Doctor Tiller was a**  
5     **defendant?**

6             MS. BRYSON:  Objection, relevance.

7             PRESIDING OFFICER:  How is this relevant?

8             MR. EYE:  I'm trying to establish exactly  
9     what records -- how he decided what records to  
10    request.

11            PRESIDING OFFICER:  Why don't you ask him  
12    that question.

13            BY MR. EYE:

14            **Q.    How did you decide which records to**  
15    **request?**

16            A.    Based on the information I was provided  
17    in the complaint.

18            **Q.    And who provided that?**

19            A.    I would have to look at the complaint to  
20    determine that.  I do not recall that without a  
21    copy of the --

22            MR. EYE:  May I approach, Your Honor?

23            PRESIDING OFFICER:  Certainly.

24            BY MR. EYE:

25            **Q.    Mr. Hacker, I'm going to show you a**

1 letter dated January 8, 2007 that purports to have  
2 your signature. Can you identify that document,  
3 sir?

4 A. It appears to be a -- a -- a letter that  
5 was sent to Cheryl Sullenger.

6 Q. And does that look like your signature,  
7 sir?

8 A. Yes, it does.

9 Q. Is that a -- a letter that you would have  
10 sent to Ms. Sullenger in the regular course of  
11 your duties related to the -- as -- as a board  
12 investigator?

13 MS. BRYSON: Objection, relevance.

14 MR. EYE: Again, I'm trying to establish  
15 the origin of these records, Your Honor. And --

16 PRESIDING OFFICER: Is it -- is that part  
17 of the exhibits?

18 MR. EYE: The -- I haven't offered this  
19 as an exhibit, Your Honor.

20 PRESIDING OFFICER: Is it in your packet?

21 MR. EYE: I put it --

22 MS. BRYSON: No, it is not.

23 MR. EYE: -- well, I got these records  
24 from the board, so I presume that they --

25 PRESIDING OFFICER: Objection overruled.

1 MR. EYE: -- also have it.

2 PRESIDING OFFICER: Objection overruled.

3 Go ahead. Okay. Answer if you can.

4 A. Yes, it does appear like what I sent out.

5 BY MR. EYE:

6 Q. And you were requesting records in that  
7 letter, correct?

8 A. I was requesting information, yes.

9 Q. Did you get a response?

10 A. I don't recall without looking at the  
11 file.

12 MR. EYE: May I approach?

13 PRESIDING OFFICER: (Nods head.)

14 MS. BRYSON: Your Honor, if you -- would  
15 opposing counsel mind if we take a look at that  
16 first?

17 MR. EYE: I am not offering it, but you  
18 may certainly look at it.

19 MS. BRYSON: Thank you.

20 BY MR. EYE:

21 Q. Mr. Hacker, have you ever received  
22 medical records in any instance from Ms.  
23 Sullenger, that you recall?

24 A. I don't recall offhand. I -- it's  
25 possible that it was submitted with -- with the



1 complaint. I -- I don't -- but specifically, I  
2 can't identify.

3 MR. EYE: May I approach again, Your  
4 Honor?

5 PRESIDING OFFICER: (Nods head.)

6 BY MR. EYE:

7 Q. Mr. Hacker, I'm going to show you a  
8 document that's dated March 1, 2007. And this is  
9 a letter to Cheryl Sullenger signed by Shelly R.  
10 Wakeman. Do you know who Shelly R. Wakeman is?

11 A. She was disciplinary counsel during that  
12 time period.

13 Q. Okay. And does this -- is this letter  
14 part of the records that you've maintained in this  
15 case?

16 A. I'm -- I'm not -- I -- the files are  
17 maintained at the -- at the board office so --

18 Q. Do you maintain a separate investigation  
19 file for your own work?

20 A. I obtain -- I keep some materials until I  
21 complete the investigation and then at such time,  
22 I destroy those files.

23 Q. And have you destroyed any records  
24 related to this case?

25 A. I believe I have.

1 Q. What did you destroy related to this  
2 case?

3 A. Anything that I would have had had,  
4 because it was not part of the official file, it  
5 was only my investigative material that was  
6 submitted to the board.

7 Q. So is there a copy of what you've  
8 destroyed that we can access?

9 A. The original file.

10 Q. Now, in that letter that I've put in  
11 front of you signed by Ms. Wakeman, it indicates  
12 that it's an acknowledgment of a receipt of a  
13 letter from Ms. Sullenger that was dated February  
14 26, 2007 that included accompanying documents.  
15 What documents accompanied that, if you know,  
16 since you were the investigator?

17 A. I -- I don't know. It -- it -- I -- I  
18 can't recall offhand --

19 MS. BRYSON: Objection, relevance.

20 A. -- the specific documents.

21 MR. EYE: This is part of the board's  
22 file. This is a records case. I'm trying to nail  
23 down precisely the corpus of the records that  
24 we're dealing with.

25 PRESIDING OFFICER: Objection overruled.

1 BY MR. EYE:

2 Q. So you don't know whether or not Ms.  
3 Sullenger submitted records with her complaint?

4 A. From what I personally recall, no. I  
5 would assume there is because it was in the letter  
6 by Ms. Wakeman.

7 Q. As part of your investigation in this  
8 matter, did you review all of the records that had  
9 been submitted?

10 A. Yes.

11 Q. From whatever source?

12 A. I believe so, yes.

13 Q. Did you identify records that had been  
14 submitted by Ms. Sullenger?

15 A. No.

16 Q. Would you then have an explanation as to  
17 why that letter indicates that there was documents  
18 submitted with her complaint?

19 A. Because Shelly Wakeman, disciplinary  
20 counsel, would have reviewed the complaint  
21 originally before she assigned it to an  
22 investigator. She would have responded to the  
23 complaint and to the complainant reference the  
24 complaint. That -- that's the process as it's  
25 done. Then the information would have been

1 submitted to an investigator to conduct the  
2 investigation and to gather the records and submit  
3 it.

4 Q. Is it the general course of the  
5 investigative process at the Board of Healing Arts  
6 that the investigator like you have access to  
7 whatever information's been submitted by the  
8 complainant?

9 A. Yes, it is.

10 Q. But you don't know whether that happened  
11 in this case, correct?

12 A. Whether I saw it?

13 Q. Yes.

14 A. I'm sure I did, but I just don't recall  
15 it.

16 Q. And you can't identify what it was?

17 A. I haven't seen it, so I don't -- I mean,  
18 if -- if I saw a copy of it, I could probably  
19 identify what I saw at the time. But I don't have  
20 the original file in front of me, so I have  
21 nothing to recall what the original complaint in  
22 this case was.

23 Q. Or the documents that accompanied it, if  
24 any?

25 A. Or the documents that accompanied this

1 particular case.

2 MR. EYE: May I approach?

3 PRESIDING OFFICER: (Nods head.)

4 BY MR. EYE:

5 Q. Mr. Hacker, it is the case that Cheryl  
6 Sullenger is a -- is a -- a well known person in  
7 the -- that is opposed to abortions, correct?

8 A. I believe so.

9 MS. BRYSON: Objection, relevance.

10 PRESIDING OFFICER: Sustained.

11 BY MR. EYE:

12 Q. Did you ever interview Ms. Sullenger in  
13 this matter?

14 MS. BRYSON: Objection, asked and  
15 answered already.

16 MR. EYE: I don't think I've asked about  
17 an interview.

18 MS. BRYSON: Yes, you have.

19 PRESIDING OFFICER: No, he has not.

20 BY MR. EYE:

21 Q. Did you ever interview miss --

22 MS. BRYSON: Objection, relevance.

23 MR. EYE: I'm trying to nail down the  
24 origin of the information that was used to  
25 prosecute this complaint.

1 PRESIDING OFFICER: Overruled. You can  
2 answer.

3 BY MR. EYE:

4 Q. Did you ever interview Ms. Sullenger  
5 regarding this case?

6 A. I believe I probably would have, but I  
7 don't recall.

8 Q. Did you keep a record of it?

9 A. It would have been in the original file.

10 Q. And you didn't produce the original file?

11 A. I don't produce the original file, it's  
12 in the board office.

13 Q. Did you provide the original file to your  
14 -- to counsel to produce?

15 A. I -- I don't have the original file, I'm  
16 not at -- I'm not responsible for maintaining it.

17 Q. Is it your routine to make a record of  
18 interviews that you conduct in an investigation?

19 A. A -- a report would have been done if I  
20 had conducted it, yes.

21 Q. And so if the original file is produced  
22 and if there are -- and if you conducted an  
23 interview there would, at least consistent with  
24 your standard of practice, be a record of it?

25 A. Should be, yes.

1 MR. EYE: That's all I have, Your Honor.

2 Thank you.

3 PRESIDING OFFICER: Thank you. Any  
4 redirect?

5 MS. BRYSON: Yes, sir.

6 REDIRECT-EXAMINATION

7 BY MS. BRYSON:

8 Q. When did you get Exhibits 1 through 11?  
9 Those are the nonredacted copies for Patients 1  
10 through 11. Where did you get your -- where did  
11 you get Exhibits 23 through 34?

12 MR. EYE: And are we 1 through 11 or 23  
13 through 34?

14 MS. BRYSON: No, they're the same. 1  
15 through 11 are the re -- nonredacted copies of 23  
16 through 34.

17 MR. EYE: Well, it's a compound question.  
18 I think we ought to deal with them one at time or  
19 the -- at least the groups.

20 BY MS. BRYSON:

21 Q. Where did you get the records from -- or  
22 Exhibits 23 through 34?

23 A. They were received from Doctor Neuhaus'  
24 address.

25 Q. And those were all the records that you

1 received from her that you submitted?

2 A. As far as I know, yes. That what I --  
3 the part I'm -- what we've examined here, yes.

4 Q. Where did you get the medical records for  
5 Exhibits 35 through 46?

6 A. From Randall Forbes, attorney for Doctor  
7 Neuhaus -- I mean, for -- the attorney for Doctor  
8 Tiller. I'm sorry.

9 Q. Do you need to see records 1 through 11  
10 in order to determine where those records came  
11 from?

12 A. Yes, I would.

13 MS. BRYSON: In that case, Your Honor, we  
14 would move to go into closed session since that's  
15 the nonredacted copy.

16 PRESIDING OFFICER: Well, does -- is he  
17 going to identify people by name by looking at the  
18 documents?

19 MS. BRYSON: No.

20 MR. EYE: If the question is to -- it is  
21 -- if I understand it -- if the question is, where  
22 did those documents come from as far as the  
23 witness' knowledge, I don't think that requires a  
24 disclosure of any patient information -- or  
25 patient identification information.



1           A.    1 through 11 would have been the ones  
2   received from Doctor Neuhaus.

3           BY MS. BRYSON:

4           Q.    In response to the subpoena in Exhibit  
5   82?

6           MR. EYE:  Asked and answered.

7           PRESIDING OFFICER:  Sustained.

8           BY MS. BRYSON:

9           Q.    And what are Exhibits 12 through 22?

10          MR. EYE:  I think this has been asked and  
11   answered as well, Your Honor.

12          PRESIDING OFFICER:  I -- where are we  
13   going here, Ms. Bryson?

14          MS. BRYSON:  He's wondering where all  
15   these records are coming from, so we're trying to  
16   establish where they came from.

17          PRESIDING OFFICER:  No, that's not what I  
18   hear Mr. Eye saying.  Mr. Eye is saying, how do  
19   you know you have the complete file?  Am I  
20   following -- following you, sir?

21          MR. EYE:  Yes, sir.

22          MS. BRYSON:  Well, we're trying to  
23   establish that all of these records he submitted  
24   are records -- or the -- the records he received  
25   are all the re -- records that he submitted and

1 that we produced.

2 PRESIDING OFFICER: There is no dispute  
3 about that either, I don't believe.

4 MR. EYE: That's correct, Your Honor. We  
5 don't dispute that we got what they received.  
6 It's -- the question is completeness of what was  
7 submitted under the subpoena.

8 MS. BRYSON: These are the complete  
9 records that we received.

10 PRESIDING OFFICER: I don't believe  
11 you're allowed to testify. He's already said --

12 MS. BRYSON: Well, that's what I'm trying  
13 to ask him and establish.

14 PRESIDING OFFICER: He's already said  
15 that that's what he received, I thought. I don't  
16 believe there's any -- any issue here.

17 MS. BRYSON: Okay.

18 PRESIDING OFFICER: He didn't say he took  
19 anything out and threw it away.

20 MS. BRYSON: Okay. Then no further  
21 questions.

22 MR. EYE: The only --

23 MS. BRYSON: Do you need the --

24 MR. EYE: No, I don't.

25 RECROSS-EXAMINATION

1 BY MR. EYE:

2 Q. The only other question I would have, Mr.  
3 Hacker, is did you make a separate record of the  
4 documents that you destroyed? In other words, do  
5 we have an inventory of that which you -- you  
6 testified earlier about having destroyed?

7 A. No. Once I get them and review them and  
8 collate them, I -- I -- it's everything that I  
9 would see would be what would be in the official  
10 file. There is a copy of everything that I do.

11 Q. So the answer is, there is not a separate  
12 record to document what you destroyed from this  
13 investigation, correct?

14 A. No. What I destroyed is copies of what  
15 was submitted to the Board of Healing Arts office.

16 Q. My question is: Did you make a record of  
17 the documents that were destroyed related to this  
18 investigation?

19 A. Separate from the original file, no.

20 Q. So there is no way to determine  
21 conclusively what records were destroyed, correct?

22 MS. BRYSON: Objection, this is outside  
23 the scope of cross -- or redirect.

24 PRESIDING OFFICER: It is. And -- and  
25 you're mischaracterizing it. Mr. Hacker, do I

1 understand correctly anything you destroyed is  
2 nevertheless still in the board's file?

3 THE WITNESS: The original is in the  
4 board's file.

5 BY MR. EYE:

6 Q. Although, there's no way to verify that,  
7 correct?

8 A. There is no photograph of --

9 MS. BRYSON: Objection, it's outside the  
10 scope --

11 A. -- what I had or --

12 THE REPORTER: Hold on. One at a time.

13 PRESIDING OFFICER: Sustained. Outside  
14 the scope.

15 MR. EYE: Thank you.

16 PRESIDING OFFICER: Thank you, Mr.

17 Hacker. We're going to take a necessary break.

18 (THEREUPON, a recess was taken.)

19 PRESIDING OFFICER: Mr. Hays, your first  
20 -- next witness.

21 MR. HAYS: Yes, sir. I believe we need  
22 to release Mr. Hacker. He was under the  
23 impression that he was released.

24 MR. EYE: He is not. We reserve the  
25 right to recall him in the course of this.

1                   PRESIDING OFFICER: Is he -- is he -- is  
2 he identified as one of your witnesses?

3                   MR. EYE: We identified -- we adopted him  
4 because he was listed by the petitioner.

5                   PRESIDING OFFICER: Okay. All right.  
6 But we can be released from -- for right now?

7                   MR. EYE: Oh, as far as right now is  
8 concerned, yes.

9                   PRESIDING OFFICER: Yes.

10                  MR. HAYS: And, sir, prior to calling the  
11 next wishing -- witness, I'd like to move for you  
12 to accept Exhibit No. 45 pursuant to K.S.A. 77-524  
13 for official notice. It is a transcript -- or  
14 portion of a transcript from the criminal trial of  
15 Doctor Tiller, specifically, the pages of where  
16 Doctor Ann Kristin Neuhaus testified under oath,  
17 and for you to take official under -- or official  
18 notice.

19                  MR. EYE: Your Honor, this -- this is not  
20 the -- this document isn't subject to  
21 administrative notice. This is not the kind of  
22 document that is offered up. This is a separate  
23 transcript that has separate testimony, much of  
24 it's controverted. This is not -- this doesn't  
25 fall within the scope of what the administrative

1 or judicial notice requirements would specify.

2 MR. HAYS: Sir, it's a record of other  
3 proceedings before a state agency or before a  
4 state.

5 MR. EYE: It's a transcript. I think  
6 that the record that -- that is anticipated in the  
7 judicial notice and administrative notice is  
8 something that is not in the nature of a  
9 transcript that has identifiable issues and -- and  
10 colloquy. It -- it would be -- it -- this just  
11 doesn't match what is anticipated under judicial  
12 notice statute.

13 PRESIDING OFFICER: Mr. Hays, you're  
14 offering under 77-524(f)?

15 MR. HAYS: Yes, sir.

16 THE REPORTER: Will you say that number  
17 for me one more time?

18 PRESIDING OFFICER: 77-524(f) as in  
19 Frank.

20 THE REPORTER: Thank you.

21 PRESIDING OFFICER: Well, Mr. Eye, is  
22 this -- is this or is this not an official  
23 transcript -- a transcript from the proceeding  
24 held in the District Court of Sedgwick County.

25 MR. EYE: It is a copy that purports to

1 be, although again, the authenticity of it, I do  
2 not know.

3 MR. HAYS: Sir, if you look at --

4 PRESIDING OFFICER: At this time, Mr.  
5 Hays, you're -- the transcript is not certified.

6 MR. HAYS: Yes, sir. Then we'll withhold  
7 offering it until we get a certified copy.

8 PRESIDING OFFICER: At that point, we'll  
9 take it up again.

10 MR. HAYS: And sir, I'd move on to  
11 Exhibit No. 46, which does contain certified  
12 copies of an inquisition of Doctor Ann Kristin  
13 Neuhaus. And if you look at Bates page 004  
14 Neuhaus 2124, there's a certification on there.

15 MR. EYE: Your Honor, we would object to  
16 this. First of all, again, this does not meet the  
17 expectations under 77-524 as a -- as a document  
18 that can be judicially or administratively  
19 noticed. More importantly though, we have an  
20 objection based upon foundation and relevance.  
21 There's been no showing as to the relevance of  
22 this particular transcript as to this particular  
23 case. So I -- we would object until relevancy and  
24 foundation can be established. And, you know,  
25 perhaps we don't have an objection at that point,

1 but admitting this entire transcript en masse in a  
2 proceeding that may or may not have much to do  
3 with what's in it, I think is improper. If it is  
4 being used to compare the testimony of witnesses  
5 from one proceeding to the next, that's one thing.  
6 But admitting as an ex -- as an exhibit, I believe  
7 is improper if that's the basis that -- that the  
8 exhibit's being offered.

9 PRESIDING OFFICER: How is Exhibit No. 46  
10 relevant to the board's finding that Doctor  
11 Neuhaus practiced below the standard of care?

12 MR. HAYS: It's previous testimony about  
13 the patients that are involved in this case. She  
14 has provided pre -- previous testimony of these  
15 patients that have -- 1 through 11 are contained  
16 within this transcript.

17 MR. EYE: Well, then he can ask her about  
18 it. But, as having administrative notice an  
19 entire transcript, arguably only parts of which  
20 bear on the issues here, I think is improper use  
21 of administrative notice.

22 PRESIDING OFFICER: Does -- does Doctor  
23 Neuhaus in this transcript admit that she  
24 practiced below the standard of care?

25 MR. HAYS: No, sir. She explains how she



1 practiced and how she gave those mental health  
2 evaluations.

3 PRESIDING OFFICER: Okay. And does that  
4 testimony prove your -- prove the board's case?

5 MR. HAYS: It assists.

6 HEARING OFFICER: How?

7 MR. HAYS: By explaining the actual --  
8 there's -- within her documentation, you can't  
9 tell how she actually did these mental health  
10 evaluations. She explains within this testimony  
11 how she interviewed each patient and how she went  
12 about doing it. It goes specifically to how she  
13 performed her mental health evaluations for these  
14 patients.

15 MR. EYE: Again, if he wishes to compare  
16 testimony from this proceeding with that which  
17 occurred in the inquisition, that's one way to use  
18 this transcript. It is not proper, however, just  
19 to admit the entire transcript.

20 PRESIDING OFFICER: I would have to agree  
21 with Mr -- Mr. Eye.

22 MR. HAYS: Yes, sir. And then we'll move  
23 on to Exhibit No. 47, which is a stipulation and  
24 agreement and offering of that also under -- as a  
25 previous record of other proceedings before the

1 state agency, and more specifically, the Board of  
2 Healing Arts.

3 MR. EYE: Your Honor, this is a  
4 stipulation and agreement and enforcement order  
5 that carries the signatures of Larry Buening and  
6 -- and Doctor Neuhaus and one of their litigation  
7 counsel. But this is not, you know -- there's  
8 been no showing of the relevance or foundation as  
9 to how this document relates to the matter that's  
10 before you.

11 PRESIDING OFFICER: Well, I think it will  
12 go to if -- if Doctor Neuhaus has been found to  
13 practice below the standard of care, it will be  
14 one of the factors to used in deciding what type  
15 of discipline should be imposed. It will be  
16 admitted under 77-524(f).

17 MR. HAYS: And we move to admit Exhibit  
18 48 for the same reason.

19 MR. EYE: Your Honor, this deals with a  
20 completely different case. This doesn't have  
21 anything to do with the evaluations that she made  
22 for Women's Health Care Services. This is a case  
23 that -- the file stamp on this record shows it was  
24 filed on August 29, 2000. The charts out of this  
25 case were from 2003. This doesn't have anything

1 to do with her case. And this is in the nature of  
2 propensity evidence and we would object. There's  
3 been no notice under 6460, for example, that --  
4 that this -- or 6455 rather, that this is going to  
5 be introduced. So I -- if it's -- if it's  
6 introduced for the purpose of establishing  
7 propensity, we object.

8 PRESIDING OFFICER: It's not being  
9 offered for propensity in my -- I -- I'm thinking  
10 you're going for -- for disciplinary --

11 MR. HAYS: Yes, sir.

12 PRESIDING OFFICER: -- action. If -- if  
13 a finding is made that she practiced below a  
14 standard of care, that's what --

15 MR. EYE: I'm sorry.

16 PRESIDING OFFICER: -- that's the only  
17 purpose it -- it can -- it could be used for so  
18 far as I'm concerned.

19 MR. EYE: We object on the grounds of  
20 relevancy and there's been no foundation to show  
21 how this document relates to this case. Moreover,  
22 if there is discipline imposed, this document is  
23 within the -- the board's files and they can take  
24 notice of it accordingly. But we object on the  
25 grounds of relevancy and foundation.

1                   PRESIDING OFFICER: Objection noted for  
2     the record. 48 --

3                   MR. HAYS: 48.

4                   PRESIDING OFFICER: -- is admitted.

5                   MR. HAYS: And 49 for the same purpose,  
6     sir, we move to admit.

7                   MR. EYE: Same objection, Your Honor.

8                   PRESIDING OFFICER: Well, I note your  
9     objection, but I'm going to admit it because it  
10    shows that the emergency order was terminated  
11    which goes in Doctor Neuhaus' favor.

12                  MR. EYE: It's part of an irrelevant  
13    exhibit, however, Your Honor

14                  PRESIDING OFFICER: Very good. Thank  
15    you. 49 is admitted.

16                  MR. HAYS: Exhibit 50 for the same  
17    purpose, sir.

18                  MR. EYE: Well, now we're back dealing  
19    with just more documents on a case that we -- that  
20    you've already evidently -- or on a different case  
21    again. Objection on the grounds of relevancy.  
22    There's no been -- been no foundation laid for  
23    this document.

24                  PRESIDING OFFICER: Objection overruled  
25    and No. 50 is admitted for the purposes of

1 discipline.

2 MR. HAYS: And Exhibit No. 51, sir, for  
3 the same purpose.

4 MR. EYE: Object on the same grounds,  
5 Your Honor. This is just more irrelevant  
6 documentation.

7 PRESIDING OFFICER: Okay. Objection  
8 overruled and No. 51 is admitted.

9 MR. HAYS: And Exhibit 52, we would move  
10 pursuant to the stipulation that the respondent's  
11 counsel was going to make for the records and also  
12 -- or the documents and computer program for the  
13 PsychManager Lite program.

14 THE REPORTER: I'm sorry. The  
15 PsychManager?

16 MR. HAYS: PsychManager Lite. And if  
17 you'd like to look at the originals, we have the  
18 originals. And -- okay.

19 MR. EYE: I want to make sure, is it the  
20 three -- is it three pages?

21 MR. HAYS: It is a --

22 MR. EYE: I'm -- I'm looking at 53 --  
23 Exhibit 50 -- I'm sorry -- Exhibit 52.

24 MR. HAYS: Exhibit 52.

25 MR. EYE: Is it a three-page document?

1 MR. HAYS: We -- we would offer the first  
2 page and remove the second two pages. Unless you  
3 want to enter how I obtained it.

4 MR. EYE: Well, it's your exhibit,  
5 Counsel.

6 MR. HAYS: Then we'll move to admit and  
7 also to stipulate to it.

8 MR. EYE: I -- Your Honor, I -- I don't  
9 know that there's any foundation to admit the  
10 second page of that exhibit. And it -- it standing  
11 alone really doesn't have relevance to this case.  
12 And as far as the -- the third page, it appears  
13 just a -- a transaction document related to  
14 obtaining these materials. So I'm -- I'm not sure  
15 we have any objection to that, although I don't  
16 know how much relevance it really has. So we  
17 would -- we would not object to the admission of  
18 this, although whether it is consistent with what  
19 Doctor Neuhaus knew and understood about this  
20 particular program is, of course, an outstanding  
21 issue.

22 PRESIDING OFFICER: Then 52 is admitted.  
23 And the second and third page, whatever the value,  
24 I don't see any value to this case at all, but --

25 MR. HAYS: Yes, sir. And Exhibit 53 is a

1 copy -- front page copy to the PsychManager Lite  
2 manual that is -- if I may approach. And this  
3 will be moved to be entered pursuant to their  
4 stipulation.

5 MR. EYE: Okay. So I -- I want to make  
6 sure, is Exhibit 53 you're offering the -- the  
7 document -- the cover page or is it this  
8 (indicating)?

9 MR. HAYS: That is what we're offering  
10 (indicating). The cover page is a representation  
11 within our notebook.

12 PRESIDING OFFICER: And for the record,  
13 what is "that"?

14 MR. HAYS: That is the PsychManager Lite  
15 User Manual.

16 MR. EYE: May I inquire as to what  
17 witness you intend to have sponsor this?

18 MR. HAYS: This is in direct response to  
19 your agreement not to enforce -- the subpoena's  
20 outstanding. This is the information that we were  
21 going to get -- or attempting to get that she has  
22 not responded to. We had a discussion about  
23 entering these in as a stipulation instead of her  
24 producing it, because that's an exact copy.

25 MR. EYE: I'm just asking what witness

1 you're going to have sponsor these? That's all  
2 I'm asking.

3 MR. HAYS: It's a stipulation for their  
4 entrance to be used.

5 MR. EYE: Are you going to have a witness  
6 explain these?

7 MR. HAYS: Yes.

8 MR. EYE: So you can -- very well. Would  
9 you mind telling us who?

10 MR. HAYS: Doctor Gold will explain her  
11 view of it.

12 MR. EYE: Well, if that's the basis,  
13 Doctor Gold's already testified that she's not  
14 familiar with DTREE, in her deposition.

15 MR. HAYS: It's been made known to her  
16 since we've obtained it.

17 MR. EYE: So her testimony's changed?

18 MR. HAYS: We made it known to her since  
19 your -- her deposition. We attempted to get it  
20 pursuant to the subpoena. The subpoena's date and  
21 time that you issued, sir, came and passed with no  
22 response. We requested a prehearing conference to  
23 that. Prior to the prehearing conference, we  
24 discussed it. And I was under the impression he  
25 was going to stipulate to the entrance of these



1 documents.

2 MR. EYE: I -- I haven't changed that  
3 stipulation. I'm just inquiring as to the origin  
4 of the testimony related to it. That's all I'm --  
5 I haven't backed out on my stipulation.

6 PRESIDING OFFICER: Okay. No. 53 is  
7 admitted to the record by stipulation.

8 MR. EYE: Right. And I never objected to  
9 it.

10 PRESIDING OFFICER: Yeah, sure.

11 MR. EYE: So just for the record.

12 MR. HAYS: And we move to admit Exhibit  
13 No. 54, also the DTREE manual.

14 MR. EYE: Same -- okay. No objection  
15 pursuant to our stipulation.

16 PRESIDING OFFICER: 54 is admitted.

17 MR. HAYS: And No. 55, the computer  
18 program in all.

19 MR. EYE: Again, we stipulate to its  
20 admission.

21 PRESIDING OFFICER: Admitted.

22 MR. HAYS: And 56 is a -- the -- the key  
23 tools as required for the GAF and the DTREE to be  
24 used.

25 MR. EYE: I'm sorry, I didn't catch that.

1 MR. HAYS: It's required as a key.

2 MR. EYE: Oh.

3 MR. HAYS: And that's the key.

4 MR. EYE: Right. We don't object  
5 pursuant to stipulation, Your Honor.

6 PRESIDING OFFICER: Thank you.

7 MR. HAYS: And 56 is also the person --  
8 professional and personal organizer -- organizer  
9 for PsychManager.

10 MR. EYE: Right. And again, pursuant to  
11 stipulation, we do not object.

12 PRESIDING OFFICER: Okay.

13 MR. HAYS: And 57 is the GAF report  
14 manual.

15 MR. EYE: No objection, Your Honor, we  
16 stipulate to the admission of that.

17 MR. HAYS: And, sir, we'd also move for  
18 you to take official notice of Exhibit 59, which  
19 is the Kansas statute K.S.A. 65-2801.

20 MR. EYE: I -- I don't know that that's  
21 really something you take notice of. It's a  
22 statute, therefore, I think it's the law of the  
23 land and we're all subject to it.

24 MR. HAYS: We're providing it for your  
25 convenience, sir. And -- and that's located --

1 the pertinent statutes we're providing for your  
2 convenience, and it's 59 through 65.

3 PRESIDING OFFICER: Well, I don't think  
4 it properly labeled exhibits because that would  
5 mean that Mr. Eye would have the -- a right to  
6 object them and Mr. Eye can't object to Kansas  
7 statutes any more than you can, so --

8 MR. HAYS: Yes, sir. I -- I'm sorry.  
9 I'm used to a -- a -- a different way to call  
10 them. And for right now, we can call the witness  
11 right now, sir, or it's -- it's up to your  
12 discretion.

13 PRESIDING OFFICER: Who's your next  
14 witness?

15 MR. HAYS: Doctor Gold.

16 PRESIDING OFFICER: Well, I'm assuming  
17 that Doctor Gold's going to be with us for quite  
18 some time, so it's 10 -- it's 8 till 12. Should  
19 we take a lunch, Mr. Eye?

20 MR. EYE: That sounds fine, Your Honor.

21 (THEREUPON, a recess was taken.)

22 MR. HAYS: Sir, the board calls Doctor  
23 Gold, Liza Gold. Doctor Gold if you could please  
24 state your name.

25 .

1 LIZA GOLD, M.D.,  
2 called as a witness on behalf of the petitioner,  
3 was sworn and testified as follows:

4 DIRECT-EXAMINATION

5 BY MR. HAYS:

6 Q. Doctor Gold, could you please state your  
7 full name for us?

8 A. Liza Hannah Gold. It's L-I-Z-A H-A-N-  
9 N-A-H G-O-L-D.

10 Q. And could you please state your  
11 credentials?

12 A. I am a medical doctor, M.D.

13 Q. And could you please state your  
14 professional address?

15 A. It's in Arlington, Virginia.

16 Q. Now, would you please explain for the  
17 hearing officer the medical training that you have  
18 received?

19 A. I went to medical school at New York  
20 University School of Medicine. I did a one-year  
21 internship and then I did a three-year psychiatric  
22 residency training at Boston University Department  
23 of Psychiatry.

24 Q. Can you please explain in general what is  
25 involved with getting a medical degree?

1 A. I'm sorry. A medical --

2 Q. What is involved with getting a medical  
3 degree? I'm sorry.

4 A. Well, you get a medical degree when you  
5 graduate from medical school. And medical school  
6 has generally two modules, so to speak. The first  
7 two years are primarily academic, lectures and  
8 course work. And the second two years are  
9 clinical training through a variety of rotations  
10 that you have to complete. And then at the end,  
11 you can do some elective clinical rotations in  
12 things that you have more interest in.

13 Q. Now you mentioned clinical rotations.  
14 Could you explain a little bit more about that?

15 A. Yes. There are certain required clinical  
16 rotations. I'm not sure whether they're all the  
17 same everywhere in the country, but I suspect  
18 they're relatively similar. There's a required  
19 rotation of -- of -- the two big ones are medicine  
20 generally, internal medicine and surgery  
21 generally. And then there are shorter rotations  
22 in obstetrics and gynecology, pediatrics and psych  
23 -- psychiatry.

24 Q. Can you explain about the general  
25 medicine portion of that?

1           A.    Well, that's going to differ de -- you  
2    know, depending on where you do your training and  
3    what -- what hospitals your medical school is  
4    affiliated with. So it can be a general in --  
5    typically, mostly inpatient I -- usually. But  
6    there -- it can -- although it's general medicine,  
7    you may be assigned to certain specialized types  
8    wards, for example, a -- a cancer ward or a  
9    cardiac unit or something like that. But the idea  
10   of it is to expose you to pretty much general  
11   medicine, the practice of general internal  
12   medicine.

13           **Q.    What about the general and surgery**  
14   **rotation?**

15           A.    Same -- same basic idea, although again,  
16   you may be detailed, so to speak, to departments  
17   or -- or specialized units depending on where you  
18   train and what -- what's available.

19           **Q.    What about that OB-GYN that you**  
20   **mentioned?**

21           A.    Yes. OB-GYN, same thing. Inpatient and  
22   again, depending on where -- well, not inpatient,  
23   I mean, most people have -- it's -- it's the labor  
24   and delivery part, although there may be some  
25   outpatient associated with it in terms of just

1 following up, pregnancies or various gynecological  
2 problems that women may have. Most of -- most of  
3 the early training that doctors get typically is  
4 inpatient training, so it would be reasons that  
5 people would be in the hospital.

6 Q. What was your experience with OB-GYN  
7 rotation?

8 A. I was assigned to a hospital in Queens,  
9 New York, I'm -- I can't remember the name of it.  
10 And I was on call every third night, so I'd spend  
11 about 12 to 16 hours -- 12 to 16 and then you'd do  
12 a whole like a 36 to 40 type hour shift. And that  
13 was tending to labor -- I was on the labor and  
14 delivery wards, we were delivering -- assisting, I  
15 mean. Obviously, as a medical student, you're not  
16 the person in charge, but women in labor, women  
17 getting C-sections.

18 Q. What's involved in the psychiatry  
19 rotation?

20 A. Well, and -- and again, those vary  
21 depending on what the -- what resources the  
22 medical school has access to. So I can't speak to  
23 every medical school in the country, obviously.  
24 But again, typically it's inpatient psychiatry  
25 where a medical student is assigned to a -- a ward

1 or to a doctor, a psychiatrist or a resident who  
2 works on a ward and follows a patient through  
3 admission, treatment, discharge. And that's what  
4 you're doing on all the other wards as well and  
5 trying to figure out what treatment and -- is  
6 appropriate and dealing with the kind of problems  
7 that come up.

8 Q. Now, I'd like to direct your attention to  
9 one of the notebooks, the larger of the two, and  
10 Exhibit 66.

11 A. (Witness complies.) Okay.

12 Q. Can you tell us what that is and whether  
13 you recognize it -- or can you tell us whether you  
14 recognize it?

15 A. Yes.

16 Q. And what is it?

17 A. That's a copy of my CV.

18 Q. And is that your most recent copy?

19 A. No, it's not.

20 Q. Can you explain to us what is the  
21 difference between your most current copy of your  
22 CV and that CV?

23 A. There was an error I corrected -- the  
24 most current one has a corrected error in it,  
25 which is for the American Academy of Psychiatry



1 and the Law. It said I was vice president elect  
2 for 2012 to 2013, and I'm actually vice president  
3 for 2011 to 2012 starting in October. And also,  
4 there's an award that I won that's not on here.

5 Q. Okay. If I can direct your attention to  
6 Exhibit 83. Is that a copy -- can you tell me  
7 what that is?

8 A. Yes. I -- I think this would -- yes,  
9 this is a copy of my CV. And let me see if I --  
10 yes, this is a current copy.

11 Q. And if you'll please take a moment to  
12 review that document.

13 A. (Witness reading.) Okay.

14 Q. And who prepared that document?

15 A. I did.

16 Q. And is that an accurate reflection of  
17 your education, experience and training?

18 A. Yes, it is.

19 MR. HAYS: And we move to admit that CV.

20 MR. EYE: No objection.

21 PRESIDING OFFICER: Exhibit 83 admitted?

22 MR. HAYS: Yes, sir.

23 PRESIDING OFFICER: Yes.

24 BY MR. HAYS:

25 Q. Now, you mentioned that you have a

1 specialty in psychiatry and -- and board certified  
2 in psychiatry. Who is your certifying body?

3 A. The American Board of Psychiatry and  
4 Neurology.

5 Q. And what is involved with becoming  
6 certified in the American Board of psych --  
7 psychiatry?

8 A. Well, you have -- you have to take a  
9 board exam and pass the board exam. To take the  
10 board exam, you have to be qualified by training,  
11 by having gone through a accredited psychiatric  
12 residency training program. So you can't just  
13 show up and take the board exam if you haven't had  
14 the training. And the -- the American Board of  
15 Psychiatry Neurology exam had two parts. The  
16 first part is a written part, the national  
17 standardized test, which you have to pass in order  
18 to be able to go on to the second part, which is  
19 an oral examination.

20 Q. Now, from your CV, it looks like that  
21 you're a member of a committee of that American  
22 Board of Psychiatry?

23 A. Yes, I am.

24 Q. And what committee is that?

25 A. It's the subcommittee on forensic

1 psychiatry. Forensic psychiatry is a board  
2 certified subspecialty of psychiatry and has a  
3 separate examination and I'm on the committee that  
4 writes the questions and organizes and puts the  
5 test together for national certification for  
6 forensic psychiatry.

7 **Q. And what role do you perform?**

8 A. I write the questions and help put the  
9 test together. As do the other people, I don't do  
10 it by myself.

11 **Q. What current licenses to practice**  
12 **medicine do you have?**

13 A. Virginia, District of Columbia, New York  
14 and New Jersey.

15 **Q. Now it indicates from your CV that you**  
16 **had a break in time for your D.C. license?**

17 A. Yes.

18 **Q. Can you explain that?**

19 A. Yes. When I stopped -- I practiced in  
20 D.C. up until 1997 and then I stopped practicing  
21 in D.C., in my entire practice, I was in Virginia  
22 at that time. And then I started practicing again  
23 in D.C., and had to renew my license. And so  
24 instead of doing the smart thing and just keeping  
25 it active, I let it go and had to renew it.

1 Q. What past licenses have you had?

2 A. Massachusetts and New Hampshire.

3 Q. And why don't you have those licenses  
4 anymore?

5 A. Because in 1991, I moved from the Boston  
6 area down to the Washington D.C. area and was no  
7 longer going to be practicing in Massachusetts and  
8 New Hampshire.

9 Q. Have you had any malpractice suits  
10 against you?

11 A. No.

12 Q. Have you had any discipline taken against  
13 any of your licenses?

14 A. No.

15 Q. Have you ever had any complaints against  
16 any of your licenses?

17 A. No.

18 Q. Now, it also indicates that you were  
19 certified under the National Board of Medical  
20 Examiners. Can you explain what the process is  
21 for that?

22 A. That's a three-part exam that I think is  
23 related more to demonstrating that you've acquired  
24 the adequate knowledge and medical school and  
25 internship to go on for further medical training.

1 I think that what -- that's what that's for. That  
2 exam is three parts. You take the first part  
3 after the second year of medical school, the  
4 second part after the fourth year of medical  
5 school and the third part towards the end or right  
6 after your internship. And --

7 Q. Now, you also stated that you had a  
8 psychiatry residency?

9 A. Yes.

10 Q. What's involved in that?

11 A. You have to do -- well, for most  
12 specialties, you have to do a year of internship.  
13 So you have to do a year of internship to go on to  
14 the residency. Internship is -- there are  
15 different kinds, medical, surgical. There's also  
16 rotational or transitional internship. But you  
17 have to complete a year of internship and then you  
18 go on to a specialty training. It's three years  
19 of specialty training in all areas of psychiatry  
20 or psychiatric practice.

21 Q. And what did yours involve?

22 A. Extensive inpatient and outpatient  
23 clinical practice, training, treating patients,  
24 diagnosing patients, outpatient follow-up. Mine  
25 also involved some training in electroshock

1 therapy, issues involving commitment, treating  
2 children, adolescents. They -- they are also  
3 required rotational -- required rotations within a  
4 residency. So, for a general psychiatry  
5 residency, you have to do or have exposure to most  
6 or all of the subspecialties. So, for example,  
7 there's a rotation child and adolescent  
8 psychiatry, there's a rotation in geriatric  
9 psychiatry. If your school has the -- or if your  
10 training program has access to forensic, there's a  
11 rotation in forensic. If there aren't rotations,  
12 there are also didactics or lectures, courses on  
13 those. And, so, you're also expected to do quite  
14 a bit of course work while you're a resident, as  
15 well.

16 Q. Now, within all of your formal medical  
17 school training, have you been trained on how to  
18 perform a mental health evaluation?

19 A. Yes.

20 Q. And what kind of training have you  
21 received?

22 A. In med -- in medical school?

23 Q. (Nods head.)

24 A. In medical school, it's relatively basic,  
25 obviously, and it gets more complex as you go on.

1 But you basically learn how to screen someone for  
2 mental health problems through a variety of  
3 screening tools, the clinical interview, use of  
4 rating scales or inventories, that type of thing.

5 Q. And what additional training have you had  
6 on mental health evaluations?

7 A. Well, after -- after that, I did three  
8 years -- three-and-a-half, because I did some of  
9 it during my internship as well, of almost  
10 exclusive training on doing mental health  
11 evaluations, diagnosing, admitting, treating, et  
12 cetera. So you go from the relatively basic  
13 training you get in medical school that all  
14 medical students have to have to highly  
15 specialized training.

16 Q. And what's some of that highly  
17 specialized training?

18 A. I'm sorry?

19 Q. What's some of that highly specialized  
20 training?

21 A. Working in treating patients exclusively  
22 on your own with supervision by other physicians  
23 initially and then more -- with less and less  
24 supervision. Teaching and training people who are  
25 coming up who don't have as much experience as you

1 have. Being responsible for primary patient care  
2 on psychiatric units. Inpatient and outpatient,  
3 admitting, discharging, basically managing all  
4 aspects of care of -- of patients whose primary  
5 problems are psychiatric. They may have other  
6 problems. It also includes consultation for  
7 patients whose primary problems may be medical,  
8 but may have a psychiatric problem that their  
9 doctor wants a specialist's opinion on.

10 Q. Now, after successfully completing your  
11 residency, where did you -- where did you  
12 practice?

13 A. My -- my first non-moonlighting position  
14 was in Malden Hospital in Malden, Massachusetts.

15 Q. And you explained moonlighting or what --  
16 you stated moonlighting. What is moonlighting?

17 A. Well, during medical school and -- I'm  
18 sorry -- during residency, when you have a medical  
19 li -- you have a medical license at that point,  
20 but residents are often not paid a lot money. And  
21 so it's very common practice for a young doctor in  
22 training to take night jobs at other hospitals,  
23 for example, to admit patients who come in at  
24 night or on weekends to go in and do rounds and  
25 provide emergency care at hospitals or clinics or



1     whatever. And those are considered moonlighting  
2     jobs, they're not your --

3             **Q. And what moonlighting jobs did you have?**

4             A. I had two moonlighting jobs, both at  
5     psychiatric -- freestanding psychiatric hospitals.  
6     One was Charles River Hospital and the other was  
7     -- in Massachusetts, and the other was in  
8     Hampshire Hospital in New Hampshire.

9             **Q. And you mentioned your first full-time**  
10            **job, I believe. What was your second full -- next**  
11            **full-time job?**

12            A. Catholic Medical Center in Manchester,  
13     New Hampshire.

14            **Q. And what was your duties with them?**

15            A. I was the associate medical director of  
16     their inpatient unit.

17            **Q. And what -- what did -- what did you do**  
18            **in that position?**

19            A. I admitted and treated patients. I  
20     performed administrative duties. At any one time,  
21     I was responsible for between nine to 12  
22     psychiatric inpatients, admission, evaluation,  
23     treatment, discharge. I also provided  
24     consultations, psychiatric consultations for the  
25     rest of the hospital and the emergency room and --

1 and did some outpatient work there, as well.

2 Q. And what was the next job that you had?

3 A. Well, after that, there was --  
4 technically, that was my last salaried job. After  
5 that, even though I worked in a hospital, I was --  
6 it was private practice. And at that point, I  
7 moved to the Washington D.C. area and that's when  
8 I went into private practice. I had -- I was an  
9 attending physician at the Psychiatric Institute  
10 of Washington where I admitted and treated  
11 psychiatric patients. And I had an outpatient  
12 office practice and that was originally in McLean,  
13 Virginia.

14 Q. And have you done any other duties while  
15 performing your private practice?

16 A. Well, I've had academic appointments and  
17 I do teaching, I write.

18 Q. Did you -- but more specifically, did you  
19 see other patients on a private practice basis or  
20 was that --

21 A. Yeah. I saw patients in the hospital  
22 private practice and in my office outpatient  
23 private practice.

24 Q. Have you had any other jobs like that, is  
25 that the sum total of your jobs of that type of

1     **practice?**

2             A.     Yes.   Everything else is -- is -- you  
3     know, is consultation, which is part of my private  
4     practice.   So, I do forensic consultation, I  
5     provide competency to stand trial evaluations and  
6     criminal responsibility evaluations for the  
7     District of Columbia, Arlington County, Fairfax  
8     County, Alexandria County.

9             **Q.     Now, are those specialized consultations?**

10            A.     Yes, they are.

11            **Q.     And what's involved with them?**

12            A.     Well, you have to have forensic training,  
13     typically, to provide those kind of consultations,  
14     which means understanding what's involved in comp  
15     -- in -- for the law, for someone to be competent  
16     to stand trial or whether they meet the standards  
17     for criminal responsibility at the time of an  
18     offense.

19            **Q.     And you've also mentioned that you were**  
20     **appointed to several academic appointments?**

21            A.     Yes.

22            **Q.     And what academics appointments have you**  
23     **been appointed?**

24            A.     Well, the current one, the most recent  
25     one is I'm a clinical professor of psychiatry at

1 Georgetown University in Washington D.C.

2 **Q. And what are your duties?**

3 A. I teach residents, general psychiatry  
4 residents, and I also teach forensic psychiatry  
5 fellows, which is a -- an additional year of  
6 training after you have completed general  
7 psychiatry residency. So that's specialized  
8 training over and above generalized psychiatry.

9 **Q. And what have you done in the past**  
10 **academic, teaching wise?**

11 A. Well, I started as a -- I believe, a  
12 clinical instructor. Then I was an associate  
13 professor and eventually, became a clinical  
14 professor. But I've taught courses in gender  
15 issues in psychiatry, forensic psychiatry to the  
16 general residents and fellows. To the fellows --  
17 for the fellows specifically, I supervised doing  
18 forensic evaluations or, you know, court-ordered  
19 -- or -- or not so much the court-ordered ones,  
20 but the ones that arise in civil litigation. I do  
21 disability evaluations, workers' comp evaluations  
22 as part of my private practice and I try to teach  
23 them how to do those to -- to the fellows.

24 **Q. Any other academic appointments that**  
25 **you've had?**

1           A.    Well, during my residency, there were a  
2   number of academic appointments, but that was --  
3   that was awhile back. I was chief resident on my  
4   last year at Boston University. I was a Ginsberg  
5   Fellow for the Group for the Advancement of  
6   Psychiatry.

7                   THE REPORTER: I'm sorry. For the group?

8           A.    Group for the Advancement of Psychiatry.

9                   THE REPORTER: Thank you.

10           BY MR. HAYS:

11           Q.    Now, you've also indicated on your CV  
12   that you have some professional organizations that  
13   you have participated in?

14           A.    Yes.

15           Q.    And what are those?

16           A.    Well, the two that I'm most active with  
17   are the American Academy of Psychiatry and Law and  
18   the American Psychiatric Association.

19           Q.    And what are your responsibilities with  
20   the first one?

21           A.    I've done a number of -- of things with  
22   the American Academy of Psychiatry and Law. First  
23   of all, I'm a member. Second, most recently, I'm  
24   about to begin a year as vice president of the  
25   organization. I was program chair for their

1 annual meeting in 2006. I chaired the task force  
2 on preparing guidelines for the forensic  
3 evaluation of disability, which was published. I  
4 don't remember what year it was published, I'd  
5 have to look. It was published, I think, in 2008.  
6 And then I've been on a number of committees for  
7 that organization. I was president of the local  
8 chapter of the American Academy of Psychiatry and  
9 the Law for a few years, as well.

10 Q. And the other, what were your duties  
11 within the second one that you mentioned?

12 A. Oh, the American Psychiatric Association.  
13 I'm a Distinguished Fellow at the American  
14 Psychiatric Association since 2006. I've chaired  
15 one committee, I've been on a number of other  
16 committees. And I haven't held political office  
17 in that organization.

18 Q. And are there a couple or three others  
19 that --

20 A. Yes. The Washington Psychiatric  
21 Association is the local chapter of the American  
22 Psychiatric Association. The AMA -- I'm a member  
23 of the AMA, American Medical Association. And  
24 then the Association of Women Psychiatrists, which  
25 is also affiliated with the A -- with the American

1     Psychiatric Association.

2             **Q.     Now, it also indicates public service**  
3     **activities. What was involved with that?**

4             A.     Well -- well, one of them was after the  
5     Virginia Tech shootings, there was a -- a revamp  
6     of the laws regarding commitment of -- in  
7     Virginia. And there were committees organized to  
8     review various aspects and make suggestions about  
9     changes. And I was on one of those committees, so  
10    that was a public service activity. I chaired the  
11    150th anniversary event -- academic event for  
12    Saint Elizabeths Hospital in Washington D.C. where  
13    I organized a day-long academic program for -- in  
14    honor of the hospital's 150th anniversary, and  
15    that was a public service activity.

16            **Q.     Now, I'd like to talk about your -- your**  
17    **professional writing affiliations that you've had.**

18            A.     Okay.

19            **Q.     There seems to be several pages. So**  
20    **could you start off with maybe, in your opinion,**  
21    **the -- the most important ones?**

22            A.     Well, the journal affiliations or the --  
23    or the stuff that I've written myself?

24            **Q.     Well, let's go with the journal**  
25    **affiliations first.**

1           A.     Okay. Because that's -- I mean, the  
2     primary ones are the Journal of the American  
3     Academy of Psychiatry and Law. I've been the  
4     associate editor. I've been re-appointed  
5     associate editor, so I got to change my CV again.  
6     So now that goes to 2014. I'm on the editorial  
7     board of the Journal of Psychiatry and the Law,  
8     which confusingly is very similarly named, but is  
9     a different journal. And -- and I've been a peer  
10    reviewer for a number of -- of other journals that  
11    I don't sit on the board of.

12           **Q.     And -- and can you explain generally what**  
13    **a peer reviewer does?**

14           A.     Peer review journals are journals where  
15    when you submit an article for publication, they  
16    send it out for what -- a blind peer review.  
17    They're -- they send them to acknowledged experts  
18    in those particular areas. And you -- as the  
19    expert, you review the article and comment upon  
20    whether it seems to have merit, if there are  
21    problems with it, if there are problems with the  
22    statistics, with the research technique, with the  
23    writing, with the citations, anything that you  
24    find that is a problem with the article. And it's  
25    a blind review, so you don't know who wrote it.



1 It's a -- it's also the people who wrote it don't  
2 know who reviewed it, so there's no personal bias  
3 involved. And an article has to pass a peer  
4 review in order to get published. And there's  
5 usually anywhere between three and five peer  
6 reviewers in most publications. So that's what  
7 you do, you read the articles and you write  
8 opinions and --

9 Q. And looking at moving on to your  
10 publications and books, it looks like there's  
11 several of -- of those. Would you like to start  
12 with the first one and kind of explain what you  
13 did?

14 A. Okay. I was co-editor of the American  
15 Psychiatric Publishing Textbook of Forensic  
16 Psychiatry, which is now out in its second  
17 edition. I wrote a number of chapters for that,  
18 as well. That is the APA, American Psychiatric  
19 Association-endorsed textbook for forensic  
20 psychiatry, the study of forensics psychiatry.  
21 There's a study guide that go -- went along with  
22 that, which I also wrote. So that -- that's been  
23 a big project and it -- we just did the second  
24 edition last year or the year before. I co-wrote  
25 a book on mental health disability evaluations in

1 the workplace and that was published in 2009, and  
2 a book on the assessment of sexual harassment in  
3 employment litigation and that was published in  
4 2004.

5 Q. Now, looking at the book chapters  
6 themselves, and it -- it goes on for a -- several  
7 pages.

8 A. Yes.

9 Q. So could you explain the significant ones  
10 of those?

11 A. Well -- well, you know, when you ask an  
12 author about what's significant of what they've  
13 written, they're all significant, right? So, but,  
14 a number of them are in the Textbook of Forensic  
15 Psychiatry. The first one, two, three, four,  
16 listed there are in the textbook. The general  
17 areas that I've written about -- and maybe that  
18 would be better -- is forensic psychiatry, the  
19 history of psychiatry, gender issues in  
20 psychiatry, post-traumatic stress disorder. Let's  
21 see. And those would be the book chapters. And  
22 sexual harassment.

23 Q. And do any of these chapters have to do  
24 with mental health evaluations or --

25 A. Well, the books, both the disability

1 evaluation book and the sexual harassment  
2 evaluation books, both are centered on the process  
3 of evaluation.

4 Q. And then the chapters within it would be?

5 A. Would be -- and since I wrote all of  
6 those, they would also be -- and those two books,  
7 I -- I wrote those, so they would all be relevant  
8 to evaluation.

9 Q. And it also looks like it goes on, which  
10 there's several more pages. Just generally  
11 explain what the topics of those pages cover --

12 A. Okay.

13 Q. -- the presentation?

14 A. Well, the art -- articles cover mostly  
15 the same types of issues. There are some  
16 outliers. I wrote a -- a -- a biographical  
17 article about one of the former presidents of the  
18 American Academy of Psychiatry and Law. There are  
19 also some articles on the reproductive psychiatry,  
20 the use of medication in pregnancy and postpartum  
21 disorders.

22 Q. Well, let's talk about that one.

23 A. Okay.

24 Q. What was it specifically to?

25 A. Let's see. There was one,

1 Psychopharmacological Treatment of Depression  
2 During Pregnancy, which was in the current Women's  
3 Health Reports in 2003. One on Postpartum  
4 Disorders and Their Pharmacological Treatment in  
5 Primary Care Clinics and Office Practice in 2002.  
6 An article on the Clinical and Forensic Aspects of  
7 Postpartum Depression in the Journal of American  
8 Academy of Psychiatry and Law in 2001. Use of  
9 Psychotropic Medication During Pregnancy, Risk  
10 Management Guidelines and Psychiatric Panels in  
11 2000. Treatment of Depression During Pregnancy in  
12 the Journal of Women's Health 1999. And I think  
13 that's it.

14 Q. And can you give a layman's review of  
15 what those articles kind of address?

16 MR. EYE: Objection, vague.

17 PRESIDING OFFICER: Overruled. Go ahead  
18 and answer if you can.

19 A. Okay. The -- what the articles address  
20 is the treat-- primarily, the treatment options  
21 for women who have been diagnosed with either new  
22 onset or are preexisting depression during  
23 pregnancy and new onset disorders or preexisting  
24 disorders during the postpartum period. And the  
25 use of medication in pregnant and lactating women

1 is -- can sometimes be a -- a tricky business and  
2 -- and is something that people don't always  
3 understand how to approach. So I -- because that  
4 was a specialized interest of mine, I became  
5 educated, knowledgeable, developed an expertise.  
6 A consultation -- I was a consultation source for  
7 a variety of other psychiatrists, they would send  
8 -- if their patients -- patients got pregnant,  
9 they would send them to me for evaluation and  
10 treat -- and treatment suggestions, and often let  
11 them stay with me for treatment and then they  
12 would go back after they were --

13 BY MR. HAYS:

14 Q. And you say you did some things to become  
15 knowledgeable about that. What did you do?

16 A. I started reviewing the literature. I  
17 contacted the lead researchers in the country and  
18 spent some time informally with them, people at  
19 NIMH, people at Mass General, people at Emory were  
20 the -- at that time, sort of the lead researchers.

21 Q. And you said NIMH.

22 A. I'm sorry.

23 Q. What's that mean?

24 A. National Institute of Mental Health,  
25 which is in Washington.

1 Q. And how much time did you spend preparing  
2 yourself or becoming knowledgeable?

3 MR. EYE: About what?

4 MR. HAYS: About the expertise that she  
5 said that she had gained.

6 A. Between continuing medical education  
7 programs and informal, I would say at least 100  
8 hours easily.

9 BY MR. HAYS:

10 Q. And does that generally cover your -- the  
11 general topics that are covered within several  
12 pages there at the end of your CV?

13 A. Well, at the very end are lectures and  
14 presentations. And -- and again, there are a  
15 couple of outliers, but primarily, yes, those are  
16 them.

17 Q. And could you please explain what your  
18 practice was in July of 2003 to two -- November  
19 of 2003?

20 A. Well, I had a private practice. I was no  
21 longer seeing inpatients at that time. I was  
22 treating patients 75 to 80 percent of the time at  
23 that point.

24 Q. And was that the same as for the two  
25 proceeding years -- the proceeding years from July

1 of 2003?

2 A. It was -- it was either the same or a  
3 little more.

4 Q. And in your practice, have you examined,  
5 evaluated or treated adolescent patients?

6 A. Yes, I have.

7 Q. Okay. Can you explain how you have?

8 A. Well, through referrals. If they were  
9 referred to me and it sounded like -- you know, I  
10 screen all my referrals. And if it sounded like  
11 they were issues that I felt I had the expertise  
12 to address, then I would evaluate them and treat  
13 them if they chose to be treated.

14 Q. And during that process of evaluating and  
15 treating, have you consulted or evaluated or  
16 treated teenage pay -- teenage patients?

17 A. Yes. Before I went to a primarily in --  
18 outpatient practice through the years in the  
19 hospitals, if -- and let me just clarify, go back  
20 and clarify. If teenage patients were admitted, I  
21 would evaluate and treat them because they were  
22 admitted to the hospital and assigned to me for  
23 evaluation and treatment. So through my hospital  
24 work, I evaluated and treated many, many  
25 adolescents. In my own private practice, it was

1 fewer because child and adolescent psychiatry is a  
2 subspecialty area. And out in an office practice,  
3 people would often either -- would often refer or  
4 take their children or adolescents to a  
5 subspecialist like a child and adolescent  
6 psychiatrist.

7 Q. And through your process -- through your  
8 exposure and your processes and the adolescents  
9 that you saw, were any of them pregnant?

10 A. Yes.

11 Q. And could you explain the number?

12 A. I only -- in -- in my outpatient  
13 practice, there were only two. In the inpatient  
14 group, there may have been some and I simply don't  
15 recall. People turned up pregnant -- women turned  
16 up pregnant not infrequently and often they  
17 themselves didn't know it at the time they were  
18 admitted. And when they got -- when women of  
19 reproductive age are admitted to psychiatric  
20 hospitals, they are always given a pregnancy  
21 screening test -- or at least in the hospitals I  
22 worked, a pregnancy screening test and often it  
23 was a surprise to them that it came up positive.

24 Q. Now, have you performed what would be  
25 classified as primary care physician activities?



1 A. To the extent -- to a small extent.

2 Q. And can you describe -- describe the  
3 small extent?

4 A. Well, certainly on an in-patient unit, if  
5 someone needs medication or has a physical problem  
6 that's relatively straightforward that doesn't  
7 require ex -- you know, extensive expertise in  
8 internal medicine to address. So for example,  
9 someone who has a blood pressure problem who is on  
10 blood pressure medication, you would maintain and  
11 manage them in the hospital and you wouldn't  
12 necessarily get an internal medicine consult to  
13 look at something that they'd been on for a long  
14 time and their blood pressure's stable and you  
15 manage it. Someone who can't get in to see their  
16 primary care doctor who needs a renewal of a  
17 prescription for a medication that they've been  
18 taking for a long time and they're stable on, you  
19 might renew that until they got in to see their  
20 regular doctor. So to some degree, but only, you  
21 know, when necessary. That's not why people came  
22 to see me and that's not what I offer primarily as  
23 treatment for folks.

24 Q. As a medical doctor, are you trained in  
25 performing primary care physician functions?

1           A.    Yes, as -- well, as a medical student,  
2    you get the certain basic amount of training. And  
3    as an intern, medical intern, you have to do your  
4    rotations, you get some more training. But, you  
5    know, that training is relatively limited and I  
6    would not -- I would not want to be seen for a  
7    problem by a primary care doctor who had that  
8    minimal amount of training in primary care. In a  
9    pinch, it might be okay until I could get to  
10   someone else, but --

11           Q.    Now, in your experience in treating  
12   patients, have you ever treated pregnant patients  
13   who were not adolescents?

14           A.    Yes.

15           Q.    And can you quantify how many of those  
16   there would be?

17           A.    Hundreds, easily hundreds.

18           Q.    And in the treatment of all the patients  
19   that have been pregnant, has abortion come up?

20           A.    The issue of abortion often arises.

21           Q.    And why is that?

22           A.    Well, not everybody who gets pregnant  
23   necessarily wants to be pregnant. And when my --  
24   when patients would come in and talk to me about  
25   what they were struggling with, an unwanted

1 pregnancy, people would talk about adoption,  
2 people would talk about abortion, people would  
3 talk about having the baby. You know, they -- it  
4 comes up and people look at their options.

5 Q. Now, in performance of those -- of that  
6 treatment --

7 A. And -- and -- I'm sorry. And if people  
8 -- even in a wanted pregnancy, if people find out  
9 that there's something wrong with the fetus, the  
10 subject of abortion comes up. They have a -- a  
11 genetic problem where abortion is -- has been  
12 recommended because it's a nonviable fetus and  
13 they don't necessarily want to go through that,  
14 they want to give it a chance, et cetera. There's  
15 a lot -- I mean, even in wanted pregnancies, there  
16 can be reasons why the abortion issue arises.

17 Q. And with those patients, have you  
18 performed mental health evaluations on them?

19 A. Yes, but not -- yes, I have performed  
20 mental health evaluations.

21 Q. And what's -- what makes up a mental  
22 health evaluation?

23 A. A mental health evaluation consists of a  
24 clinical interview where you review a patient's  
25 presenting problems, duration, frequency,

1 intensity of current symptoms, their past history,  
2 if any, including treatment and response to  
3 treatment, family history, social history,  
4 occupational history. You know, and again,  
5 especially in adolescents, you would not look so  
6 much at occupational, but at academic history.  
7 Family history, medical history. You get a  
8 complete background and you do a mental status  
9 examination, which is a directed set of questions  
10 to determine psychiatric and cognitive functioning  
11 at that moment in time when you're actually seeing  
12 the patient. You may get -- you may refer for  
13 additional evaluation. For example, if it's a new  
14 onset disorder and someone with no previous  
15 history and you suspect there may be a medical  
16 problem, you may refer that person for a medical  
17 evaluation. You may refer for a -- a head CT or a  
18 -- a MRI. Lab tests are often, if not always,  
19 part of the initial evaluation. And medical  
20 records, if those are available.

21 **Q. What about evaluating their behavioral**  
22 **and functional impact of their conditions?**

23 A. Well, that's part of -- that's part of  
24 the conclusory part of the evaluation. And at the  
25 -- at the end of getting all that data, you come

1 to certain conclusions. And part of the data --  
2 when I say present symptoms, intensity, frequency,  
3 duration, et cetera, symptoms and their behavioral  
4 impact go together, so that's --

5 Q. And when do you perform these mental  
6 health evaluations?

7 A. At -- when I see the patients.

8 Q. Do you perform it every time that you see  
9 the patient?

10 A. Well, no. You do -- you do a --  
11 certainly, the first one or two times, depending  
12 on how complex the case is, it might even be a few  
13 more times than that, you do an extensive  
14 evaluation. After that, the evaluations are less  
15 extensive. For example, their family history's  
16 not going to change necessarily. You know, their  
17 childhood history is not going to change. Those  
18 are things that are pretty stable. There are  
19 things you re-evaluate as you go along. For  
20 example, if someone's using drugs or alcohol, you  
21 re-evaluate that each time you see them, how much  
22 are you still using, et cetera. So and it doesn't  
23 have to be quite as formal, because once you come  
24 to know somebody, if that person's mental status  
25 changes, often, you know, it's observable. Just

1 like the way once you come to know someone, you  
2 can tell a lot of stuff about them just by sitting  
3 and talking to them.

4 Q. Now, have you -- I believe you testified  
5 that you've had patients referred to you?

6 A. Yes.

7 Q. From another physician?

8 A. Yes. From -- I -- I've had consultations  
9 from primary care practice doctors, OB-GYN doctors  
10 and other psychiatrists regarding treatment of  
11 depression -- primarily, depression and anxiety to  
12 moods disorders and anxiety disorders in pregnant  
13 and postpartum women.

14 Q. And when you have those patients referred  
15 to you, do you do your own mental health  
16 evaluation?

17 A. Yes.

18 Q. Do you rely upon other physicians' mental  
19 health evaluations, if performed?

20 A. Well, their -- I rely upon their  
21 information to the extent that it informs -- it's  
22 more data that informs my own evaluation. But  
23 depending on what I get and -- and how well  
24 documented it is and whether it looks like it was  
25 a -- an in-depth evaluation, the weight I give it

1 varies.

2 Q. Now, let's move on. Do you personally  
3 know Doctor Neuhaus?

4 A. No.

5 Q. Do you personally know the late Doctor  
6 Tiller?

7 A. No.

8 Q. Now, were you asked to review patient  
9 records by the Board of Healing Arts?

10 A. Yes.

11 Q. And have you ever reviewed patient  
12 records for the Board of Healing Arts prior to  
13 this case, the Kansas Board of Healing Arts?

14 A. No.

15 Q. Have you ever testified at a hearing  
16 before?

17 A. Yes.

18 Q. And what kind of testimony or where was  
19 it -- the testimony at?

20 A. I've testified in Maryland, the District  
21 of Columbia and Virginia.

22 Q. And were any of those licensing cases?

23 A. No.

24 Q. Now, were the patient records that you  
25 reviewed for the Board of Healing Arts from one

1     **physician or two?**

2             A.     My understanding was they were from two,  
3     and they were marked as Physician 1 and Physician  
4     2.

5             Q.     And at the time of your reviewal --  
6     reviewing those records, did you know who the  
7     physicians were?

8             A.     No, I did not.

9             Q.     How did you come about to know the  
10    identity of the physicians?

11            A.     Not too long after I received the records  
12    for review, I believe, I don't recall exactly when  
13    it was, but it was early on in -- in my  
14    involvement, I was in an airport, I don't even  
15    remember where I was traveling to, and there was a  
16    news bulletin about a doctor in Kansas who had  
17    been shot and killed and he was a doc --  
18    associated with performing abortions,  
19    third-trimester abortions. And I -- there aren't  
20    that many people who do that and I figured it must  
21    have been him and -- at least one of the two  
22    physicians. And I called -- I don't even remember  
23    who I talked to -- I called someone at the Board  
24    of Healing Arts and asked if that was him and they  
25    confirmed that it was.



1 Q. Was it an attorney that you called?

2 A. It probably was Ms. Selzler-Lippert,  
3 because she was the first attorney I worked with  
4 on the case. Very distressing.

5 Q. Now, I -- I can imagine. In reviewing  
6 Doctor Tiller's records, how did you use his  
7 patient records in your review?

8 A. Well, Doctor Tiller's records contained  
9 more information that -- and I -- and I  
10 subsequently came to learn that Doctor Tiller was  
11 Physician 1 and -- or like -- actually was  
12 referred to as Licensee 1 and Licensee 2, so  
13 Doctor Tiller was Licensee 1 and Doctor Neuhaus  
14 was Licensee 2. But, Doctor Tiller's records  
15 contained more information than Doctor Neuhaus'  
16 records. And so it was helpful for me both in  
17 terms of understanding the case and in terms of  
18 understanding what actually happened, what -- what  
19 was actually provided to this patient. And it  
20 certainly filled -- his records certainly filled  
21 in a lot of gaps regarding the process of referral  
22 and treatment at the clinic that I did not -- was  
23 not able to glean from Doctor Neuhaus' records.

24 MR. EYE: Your Honor, I would like to at  
25 this time, I -- I sense that we're about to embark

1 on opinion testimony or we're getting close to  
2 asking for opinions. And I would like to object  
3 to this witness offering any opinion testimony  
4 based on the grounds that we stated in our papers,  
5 the motion and the reply brief that was submitted  
6 to Your Honor related to our motion to strike. I  
7 would like to have a standing objection in that  
8 regard throughout the course of Doctor Gold's  
9 testimony or if you would prefer, I would  
10 certainly make objections contemporaneously with  
11 her opinion testimony. But I would like to have a  
12 continuing objection and avoid the breakup in the  
13 -- in the testimony if that's acceptable to Your  
14 Honor.

15 PRESIDING OFFICER: That's acceptable.  
16 You will have an ongoing objection to any and all  
17 expert -- expert witness testimony given by this  
18 witness --

19 MR. EYE: Thank you.

20 PRESIDING OFFICER: -- preserved for the  
21 record.

22 MR. EYE: Thank you, Your Honor.

23 MR. HAYS: And, sir, are those objections  
24 also all over -- or I guess are you going to allow  
25 her to have opinion testimony?

1                   PRESIDING OFFICER: I am. But Mr. -- Mr.  
2 Eye on behalf of Doctor Neuhaus --

3                   MR. EYE: Yes.

4                   PRESIDING OFFICER: -- has an ongoing  
5 objection to that. We all know this doesn't stop  
6 here, it goes to the Board of Healing Arts.

7                   MR. HAYS: Yes, sir.

8                   PRESIDING OFFICER: It may go on farther,  
9 we don't know.

10                  MR. HAYS: Yes, sir.

11                  PRESIDING OFFICER: Okay.

12                  MR. HAYS: I just wanted to make it  
13 clear. Thank you, sir.

14                  BY MR. HAYS:

15                  Q. You also had other items made known to  
16 you by the board?

17                  A. Items other than the medical records?

18                  Q. Yes, ma'am.

19                  A. Yes.

20                  Q. And what were those items?

21                  A. There were certain statutes that were  
22 provided for my review.

23                  Q. So let's talk about those. What statutes  
24 were provided for you?

25                  A. Well, I don't know the numbers of them

1 off the top of my head.

2 Q. Can you give the overall generalized --

3 A. There were -- the statutes related to  
4 document -- documentation. There were statutes  
5 that related to abortion and statutes related to  
6 third-trimester abortions. I'm not sure they were  
7 referred to as third-trimester, I think they were  
8 referred to as late-term.

9 Q. Now, did you prepare an expert report on  
10 this situation -- or in this case?

11 A. I prefer -- I prepared 11 expert reports,  
12 one for each case file.

13 Q. And did you document the items that were  
14 initially made known to you by the board --

15 A. Yes.

16 Q. -- within your patient -- or within your  
17 -- your expert reports?

18 A. Yes, I did.

19 Q. And how did you use those items in coming  
20 to your expert opinion?

21 A. I was asked to give an opinion on  
22 standard of care relative to documentation and  
23 evaluation and treatment. And in order to do  
24 that, you need to know what the legal framework  
25 for the standard of care is. Legal standard of

1 care is statutorily defined. The -- that's what  
2 is required by law. Medical standard of care  
3 often overlaps the legal standard of care, but  
4 it's not exactly the same thing. So just because  
5 something is written as a statute or a law doesn't  
6 mean that it's the standard of care medically,  
7 i.e. what the common and average practitioner  
8 does. So --

9 Q. Were you giving -- given a definition of  
10 the standard of care?

11 A. Yes, I was.

12 Q. And is that document in your expert  
13 reports?

14 A. Yes, it is.

15 Q. Is -- is how you used it documented in --  
16 within your expert reports?

17 A. Yes.

18 Q. And you prepared written reports for  
19 Patients 1 through 11, is that correct?

20 A. That is correct.

21 Q. How many hours did you spend reviewing  
22 the records of Patients 1 through 11?

23 A. I -- I don't know exactly because I  
24 didn't bring my timesheets with me or review them.  
25 I imagine it was somewhere between 20 and 30

1 hours.

2 Q. Can you estimate how many hours you spent  
3 preparing your reports?

4 A. Oh, it would be about the same, 20 to 30.

5 Q. Could you please explain to the presiding  
6 officer what was your approach and mind-set when  
7 you set out reviewing these records?

8 MR. EYE: Objection, vague, especially as  
9 to mind-set.

10 PRESIDING OFFICER: Rephrase it.

11 BY MR. HAYS:

12 Q. Would you please explain to the presiding  
13 officer what your approach was in setting out to  
14 review the -- review these records or your  
15 methodology?

16 A. I read the records, I compared Licensee 1  
17 or Doctor Tiller's records and Doctor Neuhaus'  
18 records. And I looked for what the process of  
19 evaluation for Doctor Neuhaus seemed to involve  
20 and the process of recording that evaluation.

21 Q. Did you approach it with an open mind-set  
22 without any preconceived notions as to what your  
23 determination would be?

24 MR. EYE: Objection, leading.

25 PRESIDING OFFICER: Overruled. Go ahead

1 and answer if you can.

2 A. Yes.

3 BY MR. HAYS:

4 Q. Did you review any literature or any  
5 other resources as a part of your review?

6 A. Yes.

7 Q. And what did you review?

8 A. The American Academy of Child and  
9 Adolescent Psychiatry Practice Parameters, which  
10 was published in 1997. They had an updated  
11 version, but it was updated only for anxiety  
12 disorders in 2007, but I read that, as well. And  
13 I consulted some of my books on treatment and --  
14 diagnosis and treatment of disorders during  
15 pregnancy.

16 MR. EYE: I'm sorry. Could you -- and  
17 the last part of your answer, I didn't hear.

18 A. I'm sorry. I consulted some of my books  
19 on diagnosis and treatment of disorders during  
20 pregnancy and postpartum.

21 MR. EYE: Thank you.

22 BY MR. HAYS:

23 Q. And did you also utilize the DSM?

24 A. Oh, yes. I'm sorry. Yeah, that's --

25 Q. Well, let's talk about the practice

1 parameters, I believe is what you just called it.

2 Can you explain what that resource is?

3 A. As I -- I think I said before, child and  
4 adolescent psychiatry is a subspecialty of  
5 psychiatry. There are differences in the  
6 evaluation of -- from -- of children and  
7 adolescents from adults. The child -- the  
8 American Academy of Child and Adolescent  
9 Psychiatry has published practice parameters or  
10 guidelines about what the best practices are in  
11 terms of how to conduct an evaluation of children  
12 and -- and adolescents.

13 Q. How did you use that practice parameters?

14 A. To inform my assessment of whether an  
15 adequate evaluation had taken place as  
16 demonstrated by Doctor Neuhaus' records.

17 Q. You also quoted this resource --

18 THE REPORTER: I'm sorry. Restate that.

19 BY MR. HAYS:

20 Q. Oh, I'm sorry. You also quoted this  
21 resource in your report?

22 A. Yes..

23 Q. And you also stated that you utilized the  
24 DSM. Can you explain what that is?

25 A. That's correct. Diagnostic and



1 Statistical Manual, the current edition, is -- and  
2 it is referred to as DSM. The current edition is  
3 the fourth edition with some text revision, so  
4 it's DSM-IV-TR is the shorthand way that people  
5 refer to it. And that is the resource published  
6 by the American Psychiatric Association that lists  
7 recognized psychiatric diagnoses. And it lists  
8 the diagnoses and it lists the criteria for the  
9 diagnoses. And also, a lot of data regarding, you  
10 know, the incidents and that kind of thing.

11 **Q. How is that manual used?**

12 A. Well, that manual is -- is supposed to be  
13 used to assist diagnosis of psychiatric disorders  
14 by clinicians who are skilled and experienced in  
15 the application of -- of the -- of the criteria to  
16 come to diagnostic conclusions.

17 **Q. Is it used locally or how is it -- how**  
18 **many --**

19 A. It -- it is a national and international  
20 resource that is used locally, nationally, in  
21 other countries. It's used by medical and  
22 nonmedical entities. It is basically the -- the  
23 current taxonomy of psychiatric disorders.

24 **Q. Do you know what year it came out?**

25 A. The DSM-IV-TR came out in 2000. The

1 original edition of DSM-IV was 1996. The third  
2 edition was in 1980. And there's going to be a  
3 fifth edition next year.

4 Q. Can you tell us what the difference is  
5 between the DSM-IV and the DSM-IV-TR is?

6 A. Yeah. The -- none of the diagnoses were  
7 changed between DSM-IV and IV-TR. Some of the  
8 text was revised, so TR stands for text revision.  
9 So the text was revised to update some of the  
10 scientific data that had changed between 1996 and  
11 2000 or that had not been included in the 1996  
12 edition.

13 Q. Can you explain how you utilized the DSM  
14 in the review -- in your review of these patient  
15 records?

16 A. Well, in order to make a diagnosis,  
17 people have to -- in order to qualify for a  
18 diagnosis, patients have to meet certain criteria.  
19 And the DSM provides those criteria. So you --  
20 you can't be -- with some exceptions, you  
21 generally can't be -- a diagnosis can't be applied  
22 to an individual who doesn't meet all the criteria  
23 of the diagnosis. So you use the DSM to compare,  
24 basically, those criteria.

25 Q. And in using the DSM-IV-TR, do you have

1 to use clinical judgment?

2 A. Yes.

3 Q. And do you know whether the DSM-IV-TR  
4 states that?

5 A. Yes, it does. It -- it states very  
6 clearly in the beginning that it is not to be used  
7 either as a cookbook or as a diagnostic tool -- a  
8 die -- or as a diagnostic assessment just by  
9 asking a list of questions, that clinical judgment  
10 has to be applied.

11 MR. HAYS: And if I could have a moment.  
12 And if I may approach?

13 PRESIDING OFFICER: (Nods head.)

14 MR. HAYS: Can you hand me the DSM-IV?  
15 May I approach?

16 PRESIDING OFFICER: (Nods head.)

17 BY MR. HAYS:

18 Q. Can you tell me what that is?

19 A. That's a -- a copy of the current edition  
20 of the DSM-IV-TR.

21 Q. And that's the DSM-IV that you referred  
22 about in your testimony?

23 A. Yes.

24 Q. And is that the one that you -- that's a  
25 copy of the version that you utilized in your

1 review?

2 A. Yes.

3 Q. And you spoke about that clinical  
4 judgment. Do you know what page that occurs on?

5 A. 37.

6 Q. Okay. Is that Roman numeral 37?

7 A. Yes.

8 Q. Okay. Can you flip to that page?

9 A. Yes.

10 MR. HAYS: And if it would aid you, we  
11 have an Elmo and we can put it up, so when she  
12 testifies about it, we can use it at that point in  
13 time.

14 BY MR. HAYS:

15 Q. Is that a true and accurate  
16 representation of the document that you're  
17 explaining?

18 A. Yes.

19 MR. HAYS: And we'd like to move to admit  
20 a copy of that.

21 MR. EYE: Of?

22 MR. HAYS: The page.

23 MR. EYE: Of that page?

24 MR. HAYS: Correct. And we have copies  
25 of the pages, we're pulling right now.

1 MS. BRYSON: I'm not finding it.

2 MR. EYE: Counsel, was that on your  
3 exhibit list?

4 MR. HAYS: Yes, it was. The entire  
5 DSM-IV-TR was on our exhibit list.

6 THE REPORTER: Hold -- hold on.

7 MR. HAYS: I'm sorry.

8 THE REPORTER: Restate.

9 MR. HAYS: The entire DSM-IV-TR was on  
10 our exhibit list.

11 MR. EYE: No objection.

12 PRESIDING OFFICER: All right. Copy of  
13 page 37 -- Roman numeral page 37 of the DSM-IV?

14 MR. HAYS: Yes, sir. And we can fire up  
15 the Elmo if you'd like and then we put it up there  
16 and then replace it in the record with a copy of  
17 that page.

18 PRESIDING OFFICER: Whatever.

19 MR. EYE: Do you have the copies?

20 MR. HAYS: They're looking for the copies  
21 right now. Can you minimize everything -- Jessie,  
22 can you minimize everything on your computer  
23 screen.

24 MS. BRYSON: It is minimized.

25 MR. HAYS: Okay. Can you read that

1 document? It's a little --

2 THE WITNESS: Not at all.

3 MR. EYE: That makes two of us.

4 THE WITNESS: I see where it says, Use of  
5 Clinical Judgment, but I don't know that I can  
6 read --

7 MR. HAYS: Can you read that? Let's try  
8 to -- what about that?

9 THE WITNESS: That's a little better. I  
10 can probably read that.

11 BY MR. HAYS:

12 Q. Okay. Have you reviewed that page  
13 before?

14 A. Multiple times.

15 Q. And can you tell us what the meaning of  
16 that page is?

17 A. That it's -- it is a -- referred to as a  
18 cautionary -- part of the cautionary statement  
19 about things that the DSM is not supposed to be  
20 used for or should be used cautiously for. One of  
21 things that the writers or the framers of the DSM  
22 worried about was that by providing a taxonomy --  
23 a taxonomy of psychiatric diagnoses that involved  
24 counting certain symptoms, that people without  
25 clinical experience and training in understanding

1 and interpreting symptoms would use the DSM as a  
2 cookbook. If you had this, this, this and this,  
3 then you had this disorder. And they put the  
4 caution in so that it's clear this developed  
5 classification of mental disorders developed  
6 through using clinical, educational and research  
7 settings that are meant to be employed by  
8 individuals with appropriate clinical training and  
9 experience in diagnosis. And the next sentence  
10 is, it is the key one, it is important that DSM-IV  
11 not be applied mechanically by untrained  
12 individuals. The diagnoses are guidelines to be  
13 informed by clinical judgment and not meant to be  
14 used in a cookbook fashion.

15 Q. All right. Thank you, ma'am.

16 MR. HAYS: And we're going to make copies  
17 of this page and place it in. And I believe it's  
18 going to be Exhibit 84 if I'm not mistaken.

19 BY MR. HAYS:

20 Q. Now, how does the DSM recommend that you  
21 conduct -- conduct a psychiatric evaluation?

22 A. The DSM recommends that you collect all  
23 of the information that I discussed previously.  
24 They do -- and I -- and I don't think they list it  
25 specifically, it's called the standard psychiatric

1 examination and the presentation of your  
2 conclusions or data are suggested to be presented  
3 in what's called a -- a five axes or the axial  
4 system, which basically, is five categories  
5 referred to as Axis I, Axis II, Axis III, Axis IV  
6 and Axis V.

7 **Q. And what are those axis?**

8 A. Axis I is for major mental disorders.  
9 It's where you -- where you would write down the  
10 major mental disorders, i.e. the - - the diagnoses  
11 you would find in the DSM. Axis II is for  
12 personality disorders or mental retardation codes.  
13 Axis III is medical problems, any active or  
14 pertinent relevant medical problems. Axis IV is  
15 for listing and -- and rating potentially of  
16 psychosocial stressors, that is environmental  
17 factors that might be relevant to the psychiatric  
18 presentation. And Axis V is a rating scale called  
19 the global assessment of functioning where it  
20 recommends that you assign a numerical score based  
21 on the data that's given.

22 **Q. Can you explain that Axis V GAF a little**  
23 **bit?**

24 A. Yeah. GAF is a scale from zero to 100  
25 which is meant to be used to reflect impairment in



1 various aspects of psychological, occupational or  
2 social functioning due to psychiatric symptoms.  
3 It can also be used to describe severity of psych  
4 -- of psychiatric symptoms. It's an either/or,  
5 either severity of psychiatric symptoms or  
6 impairment in functioning. And it breaks down into  
7 10 sort of subgroups with specifiers. So how --  
8 how an individual is functioning, did -- they give  
9 examples in the DSM and the evaluator looks at the  
10 examples, relies on their clinical training and  
11 experience and determines what's the most  
12 appropriate rating score.

13 MR. HAYS: May I approach, Your Honor?

14 THE REPORTER: What's running over here?

15 MR. HAYS: Oh, it's the --

16 THE REPORTER: Thanks.

17 BY MR. HAYS:

18 Q. And what I'm handing to you is a copy of  
19 the DSM-IV. Can you tell us, is that GAF  
20 information -- or is the Axis V information about  
21 the GAF located in the DSM-IV?

22 A. Yes, it is?

23 Q. Can you tell us what page it's located  
24 on?

25 A. Page 34 and -- well, page 34.

1 Q. Is it -- what about 32?

2 A. Yeah. The explanation of how to use it  
3 begins on 32 and the rating scale itself is on  
4 page 34.

5 Q. Okay.

6 MR. HAYS: I'm going to provide you a  
7 copy, a working copy also to the presiding  
8 officer.

9 BY MR. HAYS:

10 Q. And is that material that you reviewed in  
11 -- for your review of these patient records?

12 A. Yes.

13 MR. HAYS: And I move to admit a copy of  
14 those pages, also.

15 MR. EYE: No objection.

16 PRESIDING OFFICER: Thank you. Admitted  
17 84, also?

18 MS. BRYSON: Actually, my paralegal said  
19 we should be starting with 87.

20 MR. HAYS: Okay.

21 MR. EYE: So this is?

22 PRESIDING OFFICER: 88?

23 MR. HAYS: 88.

24 MR. EYE: 88.

25 THE REPORTER: That's still running.

1 Sorry.

2 BY MR. HAYS:

3 Q. And what's the significance of those  
4 pages?

5 A. Well, that basically is a short  
6 description of how the global assessment of  
7 functioning scale is supposed to be used and is  
8 also the actual scale, so it's a -- a sample of  
9 the actual scale.

10 Q. And what is the function of the GAF?

11 A. Well, it -- there's a -- a few different  
12 functions of it. It is a way, a shorthand way to  
13 communicate among treatment providers of a variety  
14 of information, including current level of  
15 functioning, prior level of functioning, changes  
16 in level of functioning, from previous to current  
17 and then on forward with treatment whether the  
18 treatment is effective. If treatment is  
19 effective, theoretically, the level of functioning  
20 should improve. So it's a -- it's a shorthand way  
21 of tracking levels of impairment and symptoms and  
22 what changes there are backwards or forwards.

23 Q. Is it designed to be used as a  
24 stand-alone access -- axis?

25 A. No.

1 Q. Why is that?

2 A. Because it doesn't convey -- of itself, a  
3 number does not convey specific information. And  
4 even the general statements, if you look in, you  
5 know, what's associated -- just pick a number --  
6 No. 60, it says, moderate symptoms, and then it  
7 gives some general examples. But if you write  
8 down, 60 moderate symptoms on a patient's chart  
9 with nothing else, you really haven't communicated  
10 anything about that individual patient. What are  
11 those symptoms, how are they affecting  
12 functioning, et cetera. So as a stand-alone  
13 without any additional data, no.

14 Q. Now, did you also write a report for each  
15 patient, I believe you testified about?

16 A. Yes.

17 Q. And if I can direct your attention to the  
18 -- the large exhibit book that's in front of you.  
19 And starting at Exhibit No. 67.

20 A. (Witness complies.) Okay.

21 Q. Can you tell us what that is?

22 A. Yes. That is a redacted version of a  
23 chart that I made as I reviewed these cases to --  
24 I made the chart for a variety of reasons.

25 Q. And could you look at Exhibits 67 through

1     **78.**

2           A.     (Witness complies.)   Yes.

3           **Q.     And could you explain what those are?**

4           A.     Those are the individual reports for each  
5     case log.

6           **Q.     Are they original reports?**

7           A.     Well -- I'm sorry.   I think they're  
8     copies.

9           **Q.     Are they true and accurate**  
10    **representations of the documents that you created?**

11          A.     Yeah.   It looks like I forgot to sign one  
12    of them, so --

13          **Q.     And --**

14          A.     But --

15          **Q.     Are those complete reports for Patient 1**  
16    **through 11?**

17          A.     Yes.

18          **Q.     Do they contain the relevant events that**  
19    **are contained in the records for each patient?**

20          A.     Yes.

21          **Q.     Do they contain your opinions about**  
22    **whether Doctor Neuhaus met the standard of care in**  
23    **performing an adequate patient interview for each**  
24    **patient?**

25          A.     Yes.

1 Q. Do they cane -- contain your opinions  
2 about whether Doctor Neuhaus met the standard of  
3 care in performing an adequate review of the  
4 patient's history?

5 A. Yes.

6 Q. Do they contain your opinions whether  
7 Doctor Neuhaus met the standard of care in  
8 performing an adequate evaluation of the  
9 behavioral or functional impact of each patient's  
10 condition and symptoms?

11 A. Yes.

12 Q. Do they contain your opinions about  
13 whether Doctor Neuhaus met the standard of care in  
14 performing an adequate mental status examination?

15 A. Yes.

16 Q. For each patient, for Patient 1 through  
17 11?

18 A. Yes.

19 Q. Do they contain your opinions about  
20 whether Doctor Neuhaus met the standard of care in  
21 meeting the minimum requirements for adequate  
22 patient -- for every documentation for patient --  
23 Patients 1 through 11?

24 A. They contain my opinions regard --  
25 regarding standard of care for documentation, I

1 didn't address it to minimum requirement of  
2 documentation.

3 Q. Okay. Do they contain your opinions at  
4 -- on whether Doctor Neuhaus was performing an  
5 evaluation that a type by a medical -- that is  
6 performed by a medical doctor who has specialized  
7 training in the field of psychiatry?

8 A. Well, they -- they're mental health  
9 evaluations so they contain my opinion regarding  
10 mental health evaluation, which is typically with  
11 -- performed by a medical doctor, a psychiatric  
12 evaluation.

13 Q. Do they contain your opinions as to  
14 whether these mental health evaluations performed  
15 by Doctor Neuhaus on Patient 1 through 11 required  
16 specialized training?

17 A. Yes.

18 Q. Do the reports contain your opinions on  
19 whether Doctor Neuhaus met the standard of care in  
20 performing a mental health evaluation which served  
21 as her basis of determining a diagnosis for each  
22 patient?

23 A. Yes.

24 Q. Where present -- a diagnosis where  
25 present?

1 A. Yes, where present.

2 Q. For Patient 1 through 11, correct?

3 A. Correct.

4 Q. During your review, did you create a doc  
5 -- document to aide you in determining what  
6 documentation was present in each of Doctor  
7 Neuhaus' patient records?

8 A. Yes.

9 Q. And that was the first document that you  
10 spoke about --

11 A. Yes.

12 Q. -- Exhibit --

13 A. 67.

14 Q. -- 67?

15 A. Yes.

16 Q. Did this document also contain what you  
17 could determine from the patient records as a  
18 diagnosis Doctor Neuhaus came up -- came to for  
19 each patient?

20 A. Yes.

21 Q. And --

22 MR. EYE: Counsel, are you looking at 67?  
23 Is that -- are you inquiring about Exhibit 67 at  
24 this point?

25 MR. HAYS: Yes, I am.



1 MR. EYE: Okay. Thank you.

2 MR. HAYS: And I would move to offer  
3 Exhibits 67 through 78.

4 MR. EYE: We object to all of them on the  
5 basis of the grounds that we advanced in our  
6 motion to strike this witness. And a separate  
7 objection to 67. I don't believe it was produced  
8 during discovery. So we would object to that.  
9 This is the first time I've seen Exhibit 67, this  
10 summary table. So, I would object to it for not  
11 being produced in discovery.

12 MR. HAYS: We can check. It was under my  
13 -- it was my understanding that it had been  
14 produced. However, I did not start the discovery  
15 process and I did not marsh -- I believe we put it  
16 in our last -- that discovery process before May  
17 is when I --

18 MR. EYE: Well, we object to it  
19 nevertheless.

20 PRESIDING OFFICER: If -- if it -- unless  
21 you can show me that it was provided as -- as  
22 required by the prehearing orders, it can't be  
23 admitted.

24 MS. BRYSON: 179.

25 MR. HAYS: We provided it -- we're going

1 to have to get the -- we can prove that, sir. We  
2 just may have to -- which page? What date? Can  
3 you tell me what date that was? It was contained  
4 within a Volume 3.

5 MR. EYE: Well, I -- I don't recall  
6 seeing it. If --

7 PRESIDING OFFICER: Well, the wit --

8 MR. EYE: -- if they can demonstrate that  
9 it's been provided, that's another matter.

10 PRESIDING OFFICER: That's -- that's  
11 correct. I mean, just because you can't recall --  
12 I mean, I can understand why you can't recall.

13 MR. EYE: Exactly. Thank you.

14 PRESIDING OFFICER: But, if they can --  
15 if they can establish that they provided it, it  
16 makes a rule -- the ruling.

17 MR. EYE: I agree. Thank you, Your  
18 Honor.

19 MR. HAYS: You're just talking about this  
20 one page, correct?

21 MR. EYE: No. I'm just talking about the  
22 chart that is Exhibit 67.

23 MR. HAYS: This chart.

24 MR. EYE: Or the table, I guess it is.

25 PRESIDING OFFICER: Okay.

1 MR. HAYS: And once we discover that, we  
2 can come back to it.

3 PRESIDING OFFICER: Yes. Mr. Hays, I --  
4 stop for a short break.

5 (THEREUPON, a recess was taken.)

6 PRESIDING OFFICER: Ready? Mr. Eye, are  
7 you ready?

8 MR. EYE: Yes, I am.

9 PRESIDING OFFICER: Mr. Hays, are you  
10 ready?

11 MR. HAYS: Yes. Yes, sir.

12 PRESIDING OFFICER: All right. We're  
13 back on the record.

14 MR. HAYS: I believe Exhibit 87 was -- I  
15 think I maybe indicated it was not Roman numeral  
16 32, but that was the page that we were looking at  
17 on the actual screen. And I'll put that right --  
18 right there.

19 THE WITNESS: Okay.

20 MR. HAYS: That's the page that we were  
21 looking at was 32.

22 MR. EYE: I see.

23 MR. HAYS: I may have made a mistake in  
24 referring to the wrong Roman numeral number.

25 PRESIDING OFFICER: Okay.

1 MR. HAYS: Yes, sir. Okay.

2 BY MR. HAYS:

3 Q. After you submitted your reports to the  
4 Board of Healing Arts, did you review supplemental  
5 material that was sent to you by the board staff?

6 A. Yes, I did.

7 Q. And what did you review?

8 A. I reviewed the inqui -- Doctor Neuhaus'  
9 inquisition testimony from 2006, and Doctor  
10 Neuhaus' testimony in Doctor Tiller's trial in  
11 2009.

12 Q. And did those items change your opinions  
13 in any way?

14 A. They strengthened my opinions, served to  
15 strengthen my opinions.

16 Q. Have you reviewed the respondent's  
17 expert's reports?

18 A. Yeah. I'm sorry. Yes, I have also  
19 reviewed the respondent's expert's report, I've  
20 reviewed the respondent's expert's deposition, and  
21 I have reviewed the computer programs that  
22 generate the documents entitled DTREE Positive  
23 Report --

24 THE REPORTER: I'm sorry. Restate that.  
25 Entitled?

1 A. DTREE Positive Report Diagnosis and GAF.

2 BY MR. HAYS:

3 Q. And did Doctor Greiner's opinion letter  
4 change your opinion in any way?

5 A. No.

6 Q. What about his deposition?

7 A. No, it did not.

8 Q. And when were you available to review  
9 this -- these DTREE and GAF programs?

10 A. Those -- when was I able to review them?  
11 I reviewed them this past weekend.

12 Q. Have you performed mental health  
13 evaluations before?

14 THE REPORTER: Have you performed?

15 BY MR. HAYS:

16 Q. Mental health evaluations?

17 A. Yes.

18 Q. Are you familiar with mental status  
19 examinations?

20 A. Yes.

21 Q. Have you performed those in your  
22 practice?

23 A. Yes.

24 Q. Are you familiar with evaluations of  
25 behavioral functional impact of a patient's

1 condition and symptoms?

2 A. Yes.

3 THE REPORTER: Restate that.

4 MR. HAYS: Sorry.

5 THE REPORTER: Are you familiar?

6 BY MR. HAYS:

7 Q. Are you familiar with evaluations of  
8 behavioral or functional impact of a patient's  
9 conditions and symptoms?

10 Have you performed evaluations of a patient's  
11 behavioral or functional impact of the patient's  
12 condition -- condition and symptoms before?

13 A. Yes.

14 Q. Could you please explain what a mental  
15 health evaluation is?

16 MR. EYE: Objection, asked and answered.

17 PRESIDING OFFICER: Sustained.

18 BY MR. HAYS:

19 Q. Now, you've already testified about  
20 performing those. Can you -- can you testify about  
21 the -- the training that a -- a physician would  
22 need to be able to perform those?

23 MR. EYE: Objection, I believe that was  
24 also asked and answered.

25 MR. HAYS: Sir, I believe I asked about

1 her training and not specifically what a physician  
2 would need.

3 MR. EYE: I'll withdraw the objection.

4 PRESIDING OFFICER: Overruled, yes. Go  
5 ahead.

6 MR. HAYS: You can answer.

7 A. Well, in the sense that anybody can ask a  
8 series of questions, anybody could ask the series  
9 of questions if they're listed on a chart. How  
10 you -- the quality of the data you collect and how  
11 you interrupt it requires clinical training and  
12 expertise. And typically, a mental health  
13 examination is typically done by someone who's had  
14 more training than just general medical education.  
15 There are different levels of more training.  
16 There's training for social workers, training for  
17 psychologists, training for psychiatric nurses and  
18 training for doctors.

19 BY MR. HAYS:

20 Q. And how would a physician obtain this  
21 type of training?

22 A. Well, that's what psychiatric training  
23 is. You wouldn't necessarily have to be board --  
24 a board certified psychiatrist in order to have  
25 specialized expertise, but you certainly have to

1 have committed psychiatric structured training.

2 It's not -- it's not something that can just be  
3 self-taught.

4 Q. Are you familiar with Doctor Neuhaus'  
5 medical training?

6 A. I have reviewed Doctor Neuhaus' CV and I  
7 have read the testimony regarding her training in  
8 -- that she provided in her inquisition testimony.

9 Q. And what did she describe her training to  
10 be in providing these mental health evaluations?

11 A. Doctor Neuhaus stated that she majored in  
12 psychology as an undergraduate and took a number  
13 of psychology courses in college. That she had  
14 always been interested in psychiatry. That she  
15 had considered becoming a psychiatrist. That she  
16 had read some of the major works in the field of  
17 psychiatry by Freud, Jung and other authors, and  
18 that she had read the DSM-IV twice, I believe it  
19 was twice.

20 Q. And in your reviewing of these patient  
21 records and other materials that you reviewed,  
22 have you come to an opinion as to what the level  
23 of training is as required to perform those mental  
24 health evaluations of Patients 1 through 11?

25 A. Yes.



1 Q. And what is that opinion?

2 A. My opinion is that these are psychiatric  
3 -- complicated psychiatric evaluations of children  
4 and adolescents and should have been referred to a  
5 child and adolescent mental health professional,  
6 whether a psychiatrist, psychologist, licensed  
7 social worker.

8 Q. And that's your expert opinion?

9 A. Yes.

10 Q. And do you have an expert opinion as to  
11 whether Doctor Neuhaus was qualified in performing  
12 these mental health evaluations for Patient 1  
13 through 11?

14 MR. EYE: I'm -- I'm going to object to  
15 this because this was not one of her opinions that  
16 she offered up in her report.

17 PRESIDING OFFICER: Well, and I don't  
18 know, but -- well, I -- I think the -- the  
19 question isn't whether or not she was qualified,  
20 is it?

21 MR. HAYS: Sir, it goes to her  
22 specialized training of being a specialist that's  
23 been alleged in the petition.

24 MR. EYE: Nevertheless, in her report,  
25 she did not, I believe, offer a separate opinion

1 regarding the qualifications that Doctor Neuhaus  
2 rendered these evaluations.

3 PRESIDING OFFICER: May I ask the doctor  
4 a question?

5 MR. HAYS: Yes, sir.

6 PRESIDING OFFICER: Did you express an  
7 opinion whether Doctor Neuhaus was qualified to  
8 conduct these evaluations in your opinion?

9 THE WITNESS: No, I did not express an  
10 opinion.

11 PRESIDING OFFICER: Okay. Objection  
12 sustained then.

13 MR. EYE: Thank you.

14 BY MR. HAYS:

15 Q. Are you familiar with the standard of  
16 care of a specialist who is performing a mental  
17 health evaluation?

18 A. Yes.

19 Q. And how did you become aware of that  
20 standard of care?

21 A. Through years of reviewing, supervising,  
22 teaching and practicing.

23 Q. And are you familiar with Kansas standard  
24 of care for a specialist?

25 A. That was provided to me as -- as the

1 legal def -- are you talking about the legal  
2 definition of --

3 Q. No. The medical definition of standard  
4 of care.

5 A. Oh, I'm sorry. Okay. Well, the medical  
6 definition of standard of care, that -- that  
7 question presupposes that there's a different  
8 standard of care in Kansas.

9 Q. Is there a difference?

10 A. And I am not aware of the different  
11 standard of care in Kansas for performing mental  
12 evaluations.

13 Q. Why is that?

14 A. Because the performance of a mental  
15 status examination and mental health evaluations  
16 are taught the same everywhere in the United  
17 States. There is no regional variation in  
18 obtaining a psychiatric history or doing a mental  
19 status examination that -- of which I am aware.  
20 These -- whenever -- when I travel, when I review  
21 records from other states, et cetera, the  
22 information is always a -- approximately the same  
23 information obtained in -- in generally the same  
24 way. Regional variations can -- in practice can  
25 occur. So for example, if you're in a very rural

1 area and you don't have access to a psychiatrist  
2 except someone who's maybe 400 miles away, then  
3 you might -- then it might not be standard of care  
4 to refer evaluations to a psychiatrist, even  
5 complex ones. But that's a matter of -- of local  
6 geography and availability of resources and not  
7 difference in the actual content of the mental  
8 health evaluation.

9 Q. What is the -- you speak about a  
10 nationwide standard of care. What is that  
11 standard of care for a mental health evaluation?

12 A. Well, it involves getting the history of  
13 the current and previous illness. Other history  
14 that's relevant, as I discussed before, social,  
15 personal, occupational, et cetera. Medical  
16 history, history of prior treatment, if any, and  
17 response to treatment. And -- and a mental status  
18 examination, either formally or informally. I'm  
19 sorry. And in the case of children and  
20 adolescents typically includes getting collateral  
21 information, meaning from a third party, since  
22 children and adolescents often are not the best  
23 informants of their own mental state. And  
24 reviewing records if there are any available and  
25 that is the general standard.

1           Q.    Are there any work resources that aided  
2   in the formation of the basis of a standard of  
3   care for mental health evaluations?

4           A.    Well, again, there are the guidelines for  
5   the evaluation of children and adolescents -- it's  
6   not guidelines -- practice parameters for children  
7   and adolescents. There are similar practice  
8   parameters for other -- for evaluation of adults.  
9   But, I didn't cite them because only one of these  
10   patients was 18, all the rest were younger, so I  
11   didn't cite the adults. But it's a very similar  
12   type of document with the exception that children  
13   and adolescents have developmental issues and  
14   dependency issues that need to be considered when  
15   you do their evaluations.

16          Q.    Now, you also listed -- you just spoke  
17   about the practice parameters. Is the failure to  
18   follow those exactly, does that create a per se  
19   violation of the standard of care?

20          A.    No, it does not.

21          Q.    Why?

22          A.    Because the -- the parameters are  
23   guidelines and they have to be informed by  
24   clinical judgement. You don't have to do  
25   everything that's in the guideline in order to

1 perform a -- a -- you know, an examination that  
2 meets the standard of care. There are certainly  
3 going to be cases where it's -- where not every  
4 single one of the parameters listed apply. But,  
5 generally speaking, what's in that document is --  
6 is basically the -- the standard examination. And  
7 if it's a little bit less, if it's a little bit  
8 more, that's okay. But, if it's too far afield,  
9 especially on the less end, then you've moved  
10 pretty far afield and are likelier to run into  
11 standard of care issues.

12 Q. Now, you mentioned what was involved with  
13 meeting the standard of care for the types of  
14 examinations that you would have to do and the  
15 type of information that you have to do. Could  
16 you break that down a little bit more and explain  
17 why each one is important to get. And we can  
18 start with obtaining their symptoms if that --

19 MR. EYE: Objection, that  
20 mischaracterizes the testimony. This witness  
21 didn't -- did not talk about symptoms in doing the  
22 mental health evaluations. It was not one of the  
23 categories that was covered.

24 PRESIDING OFFICER: I believe that's  
25 correct, Mr. Hays.

1 BY MR. HAYS:

2 Q. Would you like to start with the -- the  
3 first item that you mentioned in mental health  
4 evaluations.

5 A. Well, the first item is to investigate  
6 the presenting problem, why the individual is  
7 there for evaluation, which includes their  
8 perception of the problem. If they're not able to  
9 communicate, then the caregiver's perception of  
10 the problem. And that does include symptoms,  
11 including and -- and evaluation of symptoms  
12 includes duration, intensity, frequency, and --  
13 and precipitant if you can find it. In other  
14 words, when did this begin and was there an event  
15 that triggered these symptoms to occur?

16 Q. Now, why is it important to get that?

17 A. Well, if you're doing an evaluation for  
18 diagnostic or treatment purposes, you can't figure  
19 out what a diagnosis is without -- without knowing  
20 the symptoms.

21 Q. What's the next thing that you need?

22 A. Past history, did this person have a  
23 history of this kind of problem or not? If they  
24 did have a history of it, what kind of treatment  
25 they had and how they responded to treatment.

1           Q.    And why is it important to give that  
2   information?

3           A.    Well, you want to know if it's a new  
4   disorder.  If it's a new disorder, you are likely  
5   to approach it in a different way than if it's a  
6   recurrence of a previous disorder, for a variety  
7   of reasons.

8           Q.    What are some of those reasons?

9           A.    Well, it -- you know, the first --  
10   especially in children or teenagers, a new onset  
11   diagnosis, you want to be especially careful that  
12   it's not the present -- presentation of a medical  
13   problem that could be presenting as psychiatric  
14   symptoms.  So, for example, hypothyroidism, having  
15   low thyroid can present as depression, lethargy,  
16   cognitive impairment and looks an awful lot like  
17   depression, so that's someone that you would  
18   really want to make sure that you did a lab eval  
19   -- a laboratory evaluation on and check their  
20   thyroid as part of your evaluation.  Whereas  
21   someone who has a history of depression, you know,  
22   and has had a few episodes before and has had  
23   their check -- thyroid checked three times before  
24   and it's all been negative, it might not be  
25   critical to check their thyroid again if it's a



1 recurrence. So that's taking sort of a simple  
2 example. But, one is always more careful about  
3 the evaluation of a new onset illness, especially  
4 in a child or a teenager.

5 Q. What's another item that may be required?

6 A. CAT scan and MRI. An evaluation of -- of  
7 whether -- I mean, in some rare cases, evaluations  
8 of whether there's a seizure disorder.

9 Q. Would it depend on how the patient  
10 presents on how -- or instead of how -- but what  
11 the mental health evaluation would -- would  
12 require?

13 A. Can you restate the question.

14 Q. Do all mental health re -- as a general  
15 rule, do all mental health evaluations require the  
16 same thing?

17 A. Not necessarily. Some -- again,  
18 depending on the context, the purpose and the  
19 presentation of the patient.

20 Q. So was it a list that you provided, was  
21 it an all-inclusive list or is it a list that  
22 depends on the -- how the patient presents?

23 A. Well, that's why it's not -- that's why  
24 if you look at it, it says that these have to be  
25 informed by clinical judgment because the -- for

1 example, an attempt to get medical records in a  
2 patient that has never been to a doctor is going  
3 to be fruitless, so the fact that you don't review  
4 the medical records for that patient doesn't mean  
5 you haven't followed the practice parameters. You  
6 can't review something that doesn't exist. So  
7 clinical judgment has to be used whenever you look  
8 at what any individual evaluation means.

9 Q. Now, let's talk a little bit about Doctor  
10 Neuhaus' process. Are you aware of how her  
11 process was for Patients 1 through 11?

12 A. I believe I know.

13 Q. And how are you aware of that?

14 A. Primarily through testimony provided,  
15 inquisition -- in inquisition and -- and Doctor  
16 Tiller's trial testimony. Not -- not his, but  
17 people who testified, including Doctor Neuhaus.

18 Q. Are you aware of her purpose for the  
19 consultation services that she provided for Doctor  
20 Tiller's Patients 1 through 11?

21 A. They were for the purpose of evaluating  
22 whether there would be substantial and  
23 irreversible harm if the pregnancy was continued.

24 Q. And how do you know that?

25 A. That was her testimony.

1 Q. Now, within the review of -- of patient  
2 records, how was this ref -- referral documented?

3 A. It was not.

4 Q. Do you know how it was communicated?

5 A. I know that Doctor Neuhaus mentioned  
6 briefly that it was communicated by telephone.  
7 But the content of the referral, in other words,  
8 any specific information regarding any specific  
9 patient, no, I don't know how that was  
10 communicated.

11 Q. With your review of the records of  
12 Patients 1 through 11 from both physicians, do you  
13 know whether any referral documents were created?

14 A. There was a letter in Doctor Tiller's  
15 records that doctor -- from Doctor Neuhaus  
16 referring the patient to him for consultation --  
17 for treatment of an unwanted pregnancy -- I'm --  
18 I'm not sure that those were the exact words --  
19 but a pregnancy that if the patient was forced to  
20 continue the pregnancy would lead to substantial  
21 and irreversible harm.

22 Q. Is there any referral communication from  
23 Doctor Tiller to Doctor Neuhaus to --

24 A. Not -- I'm sorry.

25 Q. -- to send these patients to her for her

1     **consultation?**

2             A.     No.

3             **Q.     How would that normally be documented**  
4     **from your experience?**

5             MR. EYE:  Objection, there's no  
6     foundation for that question.

7             MR. HAYS:  I'll rephrase, Your Honor.

8             BY MR. HAYS:

9             **Q.     Have you ever seen in your practice**  
10    **referrals for consultation services?**

11            A.     Yes.

12            **Q.     And how have you seen that referred, that**  
13    **type of documentation?**

14            A.     There's a wide range from formal  
15    referrals in hospitals that are filled out in  
16    triplicate on which the consultant writes their  
17    report and it becomes part of the medical record  
18    to out in, for example, private practice or  
19    community world where one physician picks up  
20    another physician -- picks up the phone and calls  
21    another physician and says, hey, could you see  
22    this person for me, I have the following question  
23    or issue.

24            **Q.     How that is usually doc -- or is that**  
25    **usually documented?**

1           A.    The initial phone call may not be  
2   documented, but typically, if you do that, you --  
3   you write a report memorializing the evaluation  
4   and your conclusion, et cetera. And those  
5   letters, even very briefly, say, thank you for  
6   referring Ms. or Mr. So-and-so, or at your  
7   request, I evaluated Mr. So-and-so. So, it  
8   becomes clear that you are providing information  
9   that the referring doctor asked you for.

10           Q.   Is there any evidence of that within the  
11   patient records that you reviewed?

12           A.    No.

13           Q.    Do you know what formed the basis of this  
14   referral from Doctor Neuhaus to Doctor Tiller?

15           A.    I'm not sure. I don't understand the  
16   question.

17           Q.    You testified about the referral being  
18   for the substantial and irreversible impairment of  
19   the pregnant individual. Do you know what formed  
20   the basis of Doctor Neuhaus' decision to refer to  
21   Doctor Tiller?

22           A.    Doctor Neuhaus was conducting a  
23   evaluation and a -- a mental health evaluation,  
24   basically.

25           Q.    How do you know that?

1           A.    Well, from the documents that she  
2           generated in the -- in the cases where there is  
3           documentation, the documentation is all  
4           psychiatrically-oriented. Plus in her testimony,  
5           Doctor Neuhaus described doing what she called the  
6           directed physical examination. And when asked to  
7           explain that, really basically listed elements of  
8           a mental eval -- mental -- a psychiatric  
9           evaluation or -- or a mental evaluation.

10           **Q.    How did she describe how she performed**  
11           **her mental health evaluations?**

12           A.    Well, it wasn't entirely consistent  
13           through the records. Doctor Neuhaus described  
14           that she would spend anywhere from 15 minutes to  
15           as much as two days evaluating a patient. That  
16           she reviewed Doctor Tiller's medical records, that  
17           -- and any other medical records that patients  
18           might have brought with them. That she spoke  
19           alone with the patient and also with the patient's  
20           parent, again, in the cases -- or caregiver -- in  
21           the cases where the children were -- or -- or the  
22           patients were under 18.

23           **Q.    Did she say -- say whether she took any**  
24           **notes during these patient interviews?**

25           A.    She said at the beginning that she took

1 notes and then converted to this computer program  
2 to document her evaluation.

3 Q. Did she describe what computer program  
4 this was?

5 A. She did not. It's the DTREE and GAF,  
6 they're part of the same computer program. She --  
7 in her testimony, she did not refer to the title  
8 of the program or the name of the program.

9 Q. Now, you spoke about her indicating that  
10 she reviewed documents from another physician.  
11 Did she indicate whether she included a copy of  
12 these documents in her patient records?

13 A. Yeah. She indicated that when she had  
14 reviewed them, she included them in her records.

15 Q. Now, did Doctor Neuhaus speak about any  
16 items that she performed that she did not document  
17 within her patient records for Patients 1 through  
18 11, as a general rule?

19 A. Yes. She listed the direct physical  
20 examination which -- which she specified included  
21 elements of the mental status examination.

22 Q. Did she give any explanation why she  
23 didn't document these items?

24 A. Not -- not generally speaking. At one  
25 point, for one of the patients whose chart lacked

1 a GAF or DTREE report, when questioned about that,  
2 she stated that most of what she did could not be  
3 documented.

4 Q. Did she say why it couldn't be  
5 documented?

6 A. Because it was too complex.

7 Q. Did she describe how she documented her  
8 performance of a mental health evaluation within  
9 her patient records?

10 A. Yes, she did.

11 Q. And how did she do that?

12 A. She said that the DTREE and the GAF were  
13 the -- reports were the documentation of her  
14 mental health evaluation.

15 Q. And from your review of the patient  
16 records, did she come to diagnoses?

17 A. In every -- from the records in all  
18 except one case, there's clear evidence of a  
19 diagnosis.

20 Q. Did she testify about that patient that  
21 there was not a diagnosis?

22 A. Yes.

23 Q. And what did she testify to that patient?

24 A. In regard to?

25 Q. The diagnosis.



1 MR. EYE: Your Honor, I'm going to object  
2 to this witness restating testimony. I think that  
3 the better practice is to actually cite the  
4 testimony that is supposedly being relied on. I  
5 mean, we're asking -- or this asks -- the witness  
6 is being asked essentially to recall a colloquy in  
7 a transcript and I'm not sure that that's the most  
8 effective way to figure out exactly what was  
9 actually said by a particular witness, in this  
10 case, Doctor Neuhaus.

11 PRESIDING OFFICER: May not be the best  
12 way, but I'm not going to -- I can't tell Mr. Hays  
13 how to present his case.

14 MR. EYE: Well, I'm going to object to it  
15 because it lacks foundation.

16 PRESIDING OFFICER: Overruled.

17 BY MR. HAYS:

18 Q. Did you have an opportunity to -- you  
19 already said you had an opportunity to review the  
20 inquisition testimony, correct?

21 A. Correct.

22 Q. And is that where you're getting that  
23 information from?

24 A. This information, yes.

25 Q. And do you remember the exact page

1 numbers from that document?

2 A. No.

3 Q. Did you create a -- a document that would  
4 aid you in remembering those patient numbers?

5 A. Yes, I did.

6 Q. And what was that document?

7 A. Those were some handwritten --  
8 handwritten -- computer typed notes about --  
9 relevant to both Doctor Neuhaus' general process  
10 and specific process when I could identify the  
11 patients.

12 Q. And would utilize -- utilization of your  
13 notes aid you in testifying in this matter?

14 A. They would be an assist to my memory.

15 MR. HAYS: May, I approach sir?

16 PRESIDING OFFICER: (Nods head.)

17 THE WITNESS: Thank you.

18 BY MR. HAYS:

19 Q. And do you also have inquisition  
20 testimony in front of you?

21 A. Do I?

22 Q. Well, I direct your attention to exhibit  
23 -- well, what's marked as Exhibit 46 within your  
24 --

25 A. Okay. Okay.

1 Q. Is that the document that you reviewed?

2 A. Yes.

3 Q. And is that the document that you took  
4 notes of?

5 A. Yes.

6 Q. Now, do you remember -- within that  
7 statement, do you remember where it was located,  
8 the one we were talking about previously about  
9 documentation?

10 A. The one -- the one without the formal  
11 diagnosis in the chart?

12 Q. Correct.

13 A. Yes. That's --

14 Q. Do you --

15 A. -- that one's on page -- it begins on  
16 page 246.

17 Q. And what was her testimony?

18 A. Doctor Neuhaus' testimony was that she  
19 had diagnosed this patient with suicidal ideation  
20 and acute stress disorder.

21 Q. And did she explain why that diagnosis  
22 was not documented within her record?

23 MR. EYE: May I inquire, is this page 246  
24 of the -- of the transcript or the 246 of the  
25 Bates stamp?

1 THE WITNESS: Oh, I'm sorry. 246 of day  
2 two of the inquisition testimony. The Bates  
3 numbered on my copy --

4 MR. EYE: Yes.

5 THE WITNESS: -- is -- I can't tell if  
6 it's 887 or 837.

7 MR. EYE: And you were looking at page  
8 246, correct?

9 THE WITNESS: It's 887, yes. It's page  
10 246 on Bates 837 -- 8 -- 887.

11 MR. EYE: And -- okay.

12 THE WITNESS: Sorry.

13 BY MR. HAYS:

14 Q. And did you have an opportunity to -- or  
15 what type of documents are generally present in  
16 the records for Patients 1 through 11 for Doctor  
17 Neuhaus?

18 A. Generally, but not always, there is the  
19 clinic intake or face sheet that lists basic  
20 information, name, address, date, date of birth,  
21 et cetera. There's a brief yes or no checklist  
22 medical history on that form which sometimes is  
23 filled out and sometimes is not. Insurance  
24 information is on that form. There is sometimes a  
25 typed or handwritten or both document referred to

1 as an MI document which was generated by Doctor  
2 Tiller's staff as a -- one of them is generated --  
3 was generated, if I understood correctly, by -- by  
4 phone interview as a screening tool for patients  
5 calling the clinic and -- and seeking to obtain a  
6 procedure. Sorry.

7 Q. Let's just get a list and --

8 A. Oh, okay. I'm sorry.

9 Q. -- then we'll go specifically?

10 A. Okay. So there was the intake form. The  
11 MI forms, handwritten and/or typed. There were  
12 authorization to disclose records form and a  
13 disclosure -- record of disclosure form.

14 Q. And in your experience as a medical  
15 professional, have you documented patient records  
16 before?

17 A. Yes.

18 Q. Have you been trained in patient record  
19 documentation?

20 A. There's -- it's training by fire, but,  
21 yes.

22 Q. And do you know what the purpose of the  
23 documentation or what the person -- purpose of  
24 patient record documentation is?

25 A. Well, one is that there is a law -- legal

1 standard regulation that requires that you  
2 document patient contacts and et cetera. But,  
3 beyond that, from a medical perspective, the  
4 purpose of adequate documentation is to make sure  
5 that the next treater down the line or treaters  
6 who are providing care at the same time as you are  
7 understand what your process is, what your -- what  
8 you've diagnosed, why, the treatment you've  
9 provided and why, and the patient's response to  
10 treatment. That's -- in the interest of patient  
11 care.

12 Q. And what does Doctor Neuhaus'  
13 documentation tell you about her processes?

14 A. The documentation alone does not reveal  
15 -- the documentation reveals, where it's  
16 available, that Doctor Neuhaus used a computer  
17 program to come to conclusions. Often, if -- if  
18 the timing stamps at the top are correct, within  
19 two, three, four minutes. Now, I understand that  
20 Doctor Neuhaus explained that those were not the  
21 evaluations, those were her records of the  
22 evaluations, but --

23 Q. Do you know where she explained that at?

24 A. That's in her -- in her testimony. I  
25 don't know that I have that specific citation.

1 But as documentation, it doesn't show that a  
2 mental health evaluation of a specific patient  
3 occurred with any degree of depth.

4 Q. Well, let's talk about the patient intake  
5 form. Do you know whether this was her document?

6 A. I believe this was a document generated  
7 by Doctor Tiller's clinic.

8 Q. How do you know that?

9 A. Doctor Neuhaus testified that that was  
10 one of the forms that Doctor Tiller's clinic gave  
11 her to review.

12 Q. Did you know that prior to reviewing her  
13 inquisition testimony?

14 A. No, if I -- well, I suspected that it had  
15 been generated by Doctor Tiller's clinic, but I  
16 did not know it for a fact prior to reading the  
17 testimony.

18 Q. Now, you also said that there were pay --  
19 patient's authorization to disclose protected  
20 health information in her record?

21 A. Yes.

22 Q. And what is that document for?

23 A. That's -- that document is basically  
24 required that the patient has to consent to allow  
25 you to discuss protected health information with

1 another professional or really any -- or agency.

2 Q. And there is a patient record of  
3 disclosures?

4 A. Correct.

5 Q. Do you know what that patient record's  
6 for?

7 A. Yeah. Under HIPAA, whenever you disclose  
8 patient information, you are supposed to keep a  
9 record of who you disclosed it to and when.

10 Q. From a review of her records for Patient  
11 1 through 11, did any of those documents have any  
12 disclosures recorded on them?

13 A. No, they did not.

14 Q. Do you know whether there was any  
15 protected health information records disclosed out  
16 of Doctor Neuhaus' records to any other physician?

17 A. Well, in Doctor Tiller's records, some of  
18 the pay -- some of the DTREE reports and GAF  
19 reports and the letter doc -- and -- are in his  
20 records, so presumably, those were disclosed. And  
21 the letter of referral back to Doctor Tiller was  
22 in his records, so those would all have been  
23 disclosed.

24 MR. EYE: Could you repeat the last part  
25 of your answer, please?



1           A.     The letter of referral back to Doctor  
2     Tiller, so all of those documents would have  
3     constituted a disclosure.

4                     MR. EYE:   Thank you.

5                     BY MR. HAYS:

6           Q.     Now, you already started speaking about  
7     the MI Statement.   Can you explain from your  
8     review of the records what type of information was  
9     included on that?

10          A.     On the MI Statement, often had a few  
11     short paragraphs or sentences regarding why the  
12     patient was seeking an abortion and then there  
13     would typically be a mnemonic -- M-N-E -- I don't  
14     know how to spell it -- mnemonic, M-N-E-M-O-N-I-C  
15     -- oh, gosh -- that's referred to as SIGECAPSS and  
16     that's S-I-G-E-C-A-P-S-S, which is a -- a mnemonic  
17     that's used primarily to teach -- in medicine, to  
18     teach medical students, but also to teach  
19     nonprofessionally trained people who may be  
20     working in the mental health field the basic  
21     symptoms to ask to screen for depression. So S-I-  
22     G, those are all -- stand for certain kinds of  
23     symptoms associated with depression. And that  
24     list is reviewed and the patient's response to  
25     those questions, are you feeling guilty, has there

1    been a change in your energy level, change in your  
2    appetite, those symptoms are filled out with the  
3    patient's responses. If there was a second MI  
4    Statement, I think what that meant was once the  
5    patient arrived at the clinic, a more extensive  
6    evaluation was done -- or not evaluation, but  
7    interview was done by Doctor Tiller's staff.

8           **Q.    How do you know it was Doctor Tiller's**  
9    **staff that filled that out?**

10           A.    Well, again, there was testimony to that  
11    effect. But -- I'm sorry.

12           **Q.    Did you know it prior to reviewing that**  
13    **testimony?**

14           A.    I suspected it, but I did not know it for  
15    a fact.

16           **Q.    Okay. Can you indicate in the testimony**  
17    **where it -- Doctor Neuhaus speaks about --**

18           A.    On page 88, Doctor Neuhaus testified that  
19    generally, what she would receive from Doctor  
20    Tiller's office was the face sheet or clinic  
21    sheet, the telephone interview and any medical  
22    records that the patient has forwarded or brought  
23    with them.

24           **Q.    Now, in your opinion, would you call the**  
25    **information on the MI Statement mental health**

1     **information?**

2                   MR. EYE:   I -- I'm going to object.

3     There was no opinion rendered by the witness in  
4     her report in response to this question.  She  
5     didn't offer an opinion in her written report in  
6     this regard.

7                   PRESIDING OFFICER:  Well, is it -- is it  
8     -- is this going to the documentation allegation?

9                   MR. HAYS:  Yes, sir.

10                  MR. EYE:  I don't think that she offered  
11     a separate opinion on the question that's being  
12     posed, though.

13                  MR. HAYS:  Sir, he's trying to limit --  
14     limit us to exactly what she said within that --  
15     her expert opinion report.  She -- that is the  
16     overall basis of her opinion and these are the  
17     specifics of her opinion.  If she wrote the  
18     specifics of her opinion, then it would be  
19     thousands and thousands of pages long.  And in  
20     evidence, by their opinion, their expert opinion,  
21     which they made a motion -- or we tried to limit  
22     them to those two pages --

23                  PRESIDING OFFICER:  Well, I -- I believe  
24     it still goes to the question of whether or not  
25     Doctor Neuhaus properly documented her treatment.

1 Or is that not where we're going here?

2 MR. HAYS: Yes, sir, that's exactly where  
3 we're going here.

4 MR. EYE: I think he asked for a -- and I  
5 -- I could be wrong, but the way I understood, his  
6 question was asking for an opinion. It was beyond  
7 what she had written in her -- an opinion separate  
8 from what she had provided in her report. And  
9 that was the basis for my objection.

10 PRESIDING OFFICER: It -- are you asking  
11 for something other than what's --

12 MR. HAYS: No, sir.

13 PRESIDING OFFICER: Okay. Reask your  
14 question and if you field an objection.

15 MR. EYE: Thank you.

16 BY MR. HAYS:

17 Q. Now, in your opinion, would you call that  
18 information on the MI Statement mental health  
19 information?

20 A. It -- it could be.

21 Q. How could it be?

22 A. Because it -- there is certainly an  
23 overlap between emotional distress symptoms and  
24 psychiatric symptoms. And that screening  
25 information came up positive for all of these

1 young women. And so what that says is that they  
2 need further psychiatric evaluation to determine  
3 whether they have indeed had a -- have a  
4 psychiatric disorder.

5 Q. Would that document alone be sufficient  
6 to document a mental health evaluation?

7 A. No. Par -- particularly --

8 Q. What additional information would you  
9 need in order to meet the standard of care of  
10 documentation for a mental health evaluation?

11 A. Well, you would need documentation that  
12 that information had been elaborated on and  
13 evaluated by a trained professional who had  
14 expertise and experience in psychiatric evaluation  
15 or mental health evaluations. My -- my  
16 understanding is that the people generating these  
17 reports were nonmental health professionals.

18 Q. And how did you become that -- how did  
19 you obtain that understanding?

20 A. Well, again, I suspected it by reading  
21 the content of it, but that was confirmed when I  
22 read testimony in Doctor Tiller's trial by at  
23 least one, possibly two of his office staff as to  
24 how the paperwork was generated.

25 Q. Now, let's talk about the DTREE. Are you

1     **familiar with these DTREEs?**

2             A.     Only in the context of this case. Well,  
3     a DTREE is a diagnostic -- the DTREE is a  
4     diagnostic algorithm. Diagnostic -- I am familiar  
5     with a variety of diagnostic algorithms, they're  
6     not all exactly the same as the DTREE. I have  
7     only ever seen the DTREE specifically in this  
8     context. Diagnostic algorithms are used as  
9     teaching instruments.

10            **Q.     Do you know when the -- the diagnostic**  
11     **trees were first developed?**

12            A.     When were they first developed? They  
13     were -- they were first developed, I believe, in  
14     the mid to late 1980s as an outflow or a  
15     consequence of D --

16                     (Phone interruption.)

17            A.     -- the DSM -- I'm sorry. They -- were a  
18     consequence of the development of the DSM-III,  
19     which made these -- which put psychiatric  
20     diagnoses into classifications with criteria. The  
21     par -- the D -- this particular DTREE is based on  
22     the DSM-IV and was copyrighted the same year as  
23     the DSM-IV, I believe, in 1996. And it was written  
24     by the same people who wrote the DSM-IV.

25            **Q.     How do you know that?**

1           A.     Because it's the same names on the  
2 programs on the book.

3           Q.     Have you not had an opportunity to review  
4 the DTREE programs?

5           A.     Yes.

6           Q.     And do you remember what the overall  
7 arching program name was?

8           A.     PsychManager Lite, spelled L-I-T-E.

9           Q.     And can you explain what that D -- or  
10 PsychManager Lite program was -- was after your  
11 review?

12          A.     Well, there were various modules of this  
13 computer program. The only two I reviewed were  
14 DTREE and -- the DTREE and GAF modules.

15          Q.     Can you explain the DTREE module?

16          A.     The DTREE module is a diagnostic  
17 algorithm where it asks a series of screening  
18 questions to which the person running the program  
19 either puts yes or no with no other -- no specific  
20 information. And after a series of those  
21 questions, the -- the program drops you into a  
22 diagnostic category. And then it asks you a  
23 series of exclusionary questions, which you can't  
24 be in this category if you answer yes to some of  
25 these questions. So that would -- it would then

1 kick you out of the category if you did that. So  
2 if you answer the exclusionary questions no, this  
3 is not an exclusion, no, this is not an exclusion,  
4 then it drops you into more specific symptom  
5 questions to figure out which of the diagnoses in  
6 that category best apply.

7 Q. Now, in 2003, had you seen this program  
8 used before?

9 A. No.

10 Q. Had you seen any type of program like  
11 this used before?

12 A. No.

13 Q. What about prior to 2003?

14 A. There were large institutions which hire  
15 many nonmental health trained professionals, had a  
16 variety of computer programs where people could  
17 write yes or no and -- as screeners and the  
18 document would go via computer to the trained  
19 professional who could then amend, add, put in  
20 specific data, et cetera, et cetera. But, a  
21 program which simply spit out a diagnosis at the  
22 end of answering a series of yes or no questions,  
23 no, that I had not seen.

24 Q. Do you know whether -- or -- or from your  
25 review, do you know whether reports can be



1     produced from this DTREE program?

2             A.     Yes, they can.

3             Q.     How were they produced?

4             A.     How --

5             Q.     How within the program do you produce  
6     these records?

7             A.     Well, you go through the process, you get  
8     final report on the computer and you press the  
9     print button.

10            Q.     Are there any dates and times that are --

11            A.     Yes.   The computer populates the document  
12     with a date and a time.   And presumably, the  
13     person filling out the form or going through the  
14     program adds the name.

15            Q.     And do you know if this DTREE program  
16     comes with any cautions upon its use?

17            A.     Yes, it does.

18            Q.     And how does it -- does -- how does it  
19     convey those cautions?

20            A.     Before you can get into the yes or no  
21     questions, you have to go through the cautionary  
22     statements.   Those cautionary statements are --  
23     are based -- like -- like all the language are in  
24     the DTREE, it's -- those cautionary statements are  
25     practically verbatim from the DSM.   Again, as I

1 said, that program was created by the same people  
2 who wrote the book, so they just used the same  
3 language.

4 MR. HAYS: May I approach, sir?

5 PRESIDING OFFICER: (Nods head.)

6 MR. HAYS: What I'm handing the witness  
7 is a one-page document. I'll hand it also to the  
8 presiding officer, a working copy.

9 BY MR. HAYS:

10 Q. Can you tell me whether you recognize  
11 that?

12 A. Yes.

13 Q. And how do you recognize that?

14 A. That was the caution -- it says  
15 cautionary screen. That was the screen that came  
16 up as you entered the DTREE program.

17 Q. Is that a true and accurate  
18 representation of that cautionary screen?

19 A. Yes, except someone wrote DTREE on the  
20 top because the screen wasn't labeled DTREE  
21 because you were already in the DTREE program when  
22 the screen comes up, so --

23 Q. So all but that indication on the  
24 printout is a true and accurate representation?

25 A. Yes.

1 MR. HAYS: I move to admit that exhibit  
2 into evidence as the marked exhibit of -- 85, sir.

3 PRESIDING OFFICER: It's marked 86 on  
4 mine.

5 MR. HAYS: Oh.

6 PRESIDING OFFICER: At the bottom.

7 MR. EYE: It's 85 on mine.

8 MR. HAYS: Let me exchange your copy.

9 MR. EYE: Okay.

10 THE WITNESS: Mine says 85, also.

11 MR. HAYS: Okay. Sorry about that, sir.

12 MR. EYE: We don't object to this  
13 exhibit, Your Honor.

14 PRESIDING OFFICER: Thank you. Admitted.

15 BY MR. HAYS:

16 Q. Now, can you explain to us what that  
17 cautionary statement means?

18 A. It -- again, like the cautionary  
19 statement in the DSM, it advises you about the  
20 limitations of the information and the use of the  
21 program.

22 Q. And what limitations does it have?

23 A. First of all, it requires specialized ken  
24 -- clinical training based on a large body of  
25 knowledge and clinical skills. And it says, the

1 accuracy of output is strictly limited by the  
2 quality of the clinical observations that are used  
3 in addressing the DTREE questions. So again, it's  
4 not something that should be used as just a  
5 cookbook by an untrained -- by someone who doesn't  
6 have the clinical skills to use it.

7 **Q. What would constitute as a specialized**  
8 **clinical skills?**

9 A. Well, as the DSM states, the related  
10 document, you know, training and experience in  
11 mental health.

12 **Q. Are there any other cautionary statements**  
13 **on the DTREE's use?**

14 A. Yes. There is a statement that says that  
15 this -- the program can only aid the clinician in  
16 making a diagnosis. A diagnosis and all of its  
17 ramifications for treatment are the complete  
18 responsibility of the clinician who must consider  
19 all available data.

20 **Q. And, what does that mean?**

21 A. That you cannot use this computer program  
22 as a substitute for a mental health evaluation  
23 because this program does not allow you to  
24 consider all the relevant clinical data.

25 **Q. Do you know how Doctor Neuhaus utilized**

1     **this program?**

2             A.     Not from the documentation.   From her  
3     testimony.

4             **Q.     Which testimony?**

5             A.     The -- I'm sorry -- the inquisition  
6     testimony.

7             **Q.     And do you know where that's located at?**

8             A.     I'm sorry.   It was from her testimony in  
9     Doctor Tiller's trial.

10            **Q.     And do you know what page that was?**

11            A.     Yes.   On page -- on page 22.

12            **Q.     And if it would aid in your testimony,**  
13     **Exhibit No. 45, can you tell us what that is?**

14            A.     Well, it actually starts at the bottom of  
15     21 where Doctor Neuhaus testified that the DTREE  
16     is a computerized algorithm which goes through a  
17     list of questions and sorts the material into  
18     diagnostic categories.   When asked if this helped  
19     her in arriving at her diagnosis, she responded,  
20     well, it could.   It's actually designed so that  
21     nonterminal degreed professionals could use it so  
22     you wouldn't have to be a clinical psychologist or  
23     a physician or psychiatrist to use it.   Okay.  
24     Which is true, anybody can use a program anywhere,  
25     but it's not designed for use without the clinical

1 expertise to use it, otherwise, you don't get a  
2 valid result. And she continues that's not the  
3 way she used it, but it could be used in that way.  
4 I actually used it just to be able to record all  
5 the information quickly and readily and  
6 thoroughly. So Doctor Neuhaus' testimony was that  
7 she didn't use it to arrive at diagnoses, but used  
8 it to record all the information that she had  
9 gleaned in her evaluation.

10 **Q. Is that the proper use of this program?**

11 A. It -- you could use the program -- if the  
12 information is input correctly and you're coming  
13 to a valid diagnosis, you could use the printout  
14 as part of your documentation, but it would not  
15 constitute all of it. So that just printing out  
16 the report is not a -- it's not what the program  
17 was designed to be used for and it's -- it's not a  
18 valid use of the program to simply print out the  
19 report to document your evaluation.

20 **Q. Does this program con -- account for the**  
21 **patient's being pregnant?**

22 A. It could.

23 **Q. How?**

24 A. There is an exclusionary criterion after  
25 you've been dropped into a category about whether

1     there is a medical condition that could account  
2     for symptoms. I don't remember exactly how it's  
3     worded. If you consider pregnancy to be a medical  
4     condition that affects -- could potentially have  
5     physiological or psychological consequences, the  
6     correct answer to that exclusionary question would  
7     be yes. And then you would be dropped into a  
8     different pathway presumably on the tree.

9                     THE REPORTER: I'm sorry on the?

10                    A. On the tree, on the diagnostic tree.

11                    BY MR. HAYS:

12                    Q. Do you have an expert opinion as to how  
13     the -- this program is designed to be used to be  
14     performed, whether it meets the or exceeds the  
15     standard of care in performing a mental health  
16     evaluation?

17                    MR. EYE: I'm going to object. This was  
18     an opinion not expressed by Doctor Gold in her  
19     written report. It seems to me to be a rather  
20     distinct opinion as opposed to the one that I  
21     objected to prior.

22                    MR. HAYS: Sir, it goes to how she was  
23     perform -- performing her mental health  
24     evaluations that was alleged within the petition  
25     -- within the petition. Her report based her

1 opinion upon how she did that. This is how she  
2 did that mental health evaluation.

3 MR. EYE: But she just asked a standard  
4 of care question about use of DTREE and -- and I  
5 -- I -- I guess I don't know that that's part of  
6 the physician's report that was provided to us.

7 MR. HAYS: It does not specifically say  
8 DTREE in it. However, she did not have an  
9 opportunity to review it until this past weekend  
10 on Saturday and Sunday and did not have an  
11 opportunity to revise her actual expert opinion  
12 report.

13 MR. EYE: And so I didn't have a chance  
14 to depose her on it, either.

15 PRESIDING OFFICER: Can you read back the  
16 question to me?

17 (THEREUPON, the court reporter read the  
18 following testimony back.

19 "Q. Do you have an expert opinion as to  
20 how the -- how this program is designed to be  
21 used to be performed, whether it meets the or  
22 exceeds the standard of care in performing a  
23 mental health evaluation?"

24 PRESIDING OFFICER: Well, I -- I don't  
25 understand the question at all.



1 MR. HAYS: Well, I guess I'll rephrase  
2 the question.

3 PRESIDING OFFICER: Please do.

4 BY MR. HAYS:

5 Q. If you use this pro -- program the way  
6 it's designed, does it meet or exceed the standard  
7 of care for performing a mental health evaluation?

8 MR. EYE: Now I'm going to object because  
9 that is outside the scope of the expert's report  
10 that's provided.

11 PRESIDING OFFICER: It -- it is.

12 MR. HAYS: And our argument would be it  
13 -- it's within the scope because the documents  
14 that she reviewed to come to her opinion were  
15 products of this program, the GAF and the DTREE  
16 program. How this program's algo --

17 PRESIDING OFFICER: The doctor's findings  
18 are contained in her report. I don't see anywhere  
19 in this one I'm looking at where she mentions  
20 DTREE or anything else. If I'm wrong, tell me I'm  
21 wrong. Hold on.

22 MR. HAYS: Sir, if you --

23 PRESIDING OFFICER: Okay. Hold on. Mr.  
24 Eye, I'm looking at Exhibit No. 68, page 3,  
25 paragraph -- first paragraph midway through.

1 MR. EYE: Your Honor, may I take a look  
2 at the page you're -- you're looking at to make  
3 sure I get on the same.

4 PRESIDING OFFICER: (Indicating) 68.

5 MR. EYE: Correct. But again, that  
6 reference that -- that your Honor pointed out does  
7 not infer a standard of care opinion as the  
8 question elicited.

9 PRESIDING OFFICER: Objection overruled.  
10 Go ahead and answer if you can.

11 A. Could you ask it again?

12 BY MR. HAYS:

13 Q. If you use the program in the way it's  
14 designed, does it meet or exceed the standard of  
15 care for performing a mental health evaluation?

16 A. No.

17 Q. Why? Oh, excuse me. Why?

18 A. Well -- well, they were originally  
19 designed thinking that a skilled clinician could  
20 use the program and come to a valid diagnostic  
21 assessment. And the reason that it never became  
22 used widely is because it became clear very  
23 quickly that those kind of algorithms that only  
24 allowed you to have yes or no answers to  
25 questions, some of those questions were either/or

1 questions, and the answer would be yes or no, but  
2 it -- it didn't make sense. And so by itself,  
3 even if you were a skilled clinician and all you  
4 did was ask the patient as the questions are  
5 worded in the DTREE program -- so for example,  
6 have you had a recent increase or decrease in your  
7 appetite, and that's a yes or no question, it --  
8 it leads to a result that can't be supported. And  
9 so by -- and so they never became widely used and  
10 are not widely used now as anything other than  
11 teaching devices or mnemonic devices.

12 MR. EYE: Your Honor, I'm going to move  
13 to strike that last answer because that was in  
14 effect a standard of care opinion that was not  
15 included in her -- in her report.

16 MR. HAYS: Sir, I believe it's a  
17 derivative of what's contained in her report, and  
18 once again to limit her to exactly what's in that  
19 report will, one, should not be allowed. And,  
20 two, in order for her to put every opinion  
21 possible and every derivative from the  
22 summarizations that she has placed in this  
23 reported would cause this report to be thousands  
24 of pages.

25 MR. EYE: Your Honor, it's not a matter

1 of every derivative opinion. It's the opinions  
2 that they are advancing that would be the basis  
3 for discipline, and the opinions that they -- that  
4 are in the report would be presumably a basis for  
5 discipline. But the -- whether using the DTREE  
6 does or doesn't meet the standard of care would to  
7 me could conceivably be the basis of a  
8 disciplinary measure but that's not an opinion  
9 that was rendered.

10 PRESIDING OFFICER: A computer-generated  
11 DTREE positive DX report, comma, unsupported by  
12 necessary and relevant information does not  
13 constitute a differential diagnosis.

14 MR. EYE: But that's not the same thing  
15 as stating that it's below the standard of care.  
16 I mean that's not a standard of care opinion.

17 PRESIDING OFFICER: It's under her  
18 explanation of opinion in her report.

19 MR. EYE: But it is separate from the  
20 opinion that she has provided here in terms of  
21 whether use of DTREE is -- I believe the way the  
22 question was phrased meets or exceeds the standard  
23 of care.

24 PRESIDING OFFICER: I disagree after  
25 reading her report she outlines DTREE positive

1 report unsupported by necessary and relevant  
2 information does not constitute a differential  
3 diagnosis. That's under her findings that's the  
4 standard of care was not met. Objection  
5 overruled.

6 BY MR. HAYS:

7 Q. Now let's move on to the GAF report.

8 THE WITNESS: Can I take a quick break?  
9 Is that okay? Like two minutes.

10 PRESIDING OFFICER: Sure.

11 (THEREUPON, a recess was taken.)

12 PRESIDING OFFICER: All right. Mr. Hays,  
13 continue.

14 MR. HAYS: Yes, sir.

15 BY MR. HAYS:

16 Q. Okay. I believe we stopped at the GAF  
17 report?

18 A. Correct.

19 Q. Could you explain how the GAF model -- or  
20 GAF module of the program works?

21 A. Well, the GAF module actually begins with  
22 its own cautionary statement and then asks again a  
23 series of questions, yes or no questions and based  
24 on response to those questions it puts you -- it  
25 puts -- play -- it assigns a functional range.

1 All the functional ranges are between -- it's 100  
2 to 91, 90 to 81, zero -- zero to 10, 11 to 20.  
3 Anyway, they're 10 point increments between the  
4 ranges so there is 10 functional ranges, and it by  
5 default once it assigns a functional range the  
6 default rating assignment is in the middle of the  
7 range. So, 25, 35, 45, 55. It does have a place  
8 -- that part does have a place where the clinician  
9 can adjust the number based on the clinical data  
10 up or down within that range but that's basically  
11 the end of the program.

12 Q. Now in the GAF reports that you review  
13 for Patients 1 through 11, had any of those ranges  
14 been moved off the default middle range?

15 A. No.

16 Q. And you spoke about a cautionary  
17 statement, can you explain a little about what  
18 that cautionary statement is?

19 A. This is the DTREE one --

20 Q. Well, let me approach. Did it also  
21 present a cautionary screen?

22 A. Yes.

23 MR. HAYS: I'm handing defense counsel  
24 and presiding officer Exhibit No. 86.

25 BY MR. HAYS:

1 Q. Can you tell me what that is?

2 A. That is the cautionary screen from the  
3 GAF module.

4 Q. Is that the actual cautionary screen or  
5 is that a printout?

6 A. I'm sorry. It's a printout of the  
7 screen.

8 Q. Is that a true and accurate  
9 representation of that cautionary screen that you  
10 saw?

11 A. Yes.

12 Q. Are there any differences?

13 A. Well, this one has a little exhibit  
14 number at the bottom.

15 Q. But for that exhibit number?

16 A. Yes, that's, no.

17 MR. HAYS: Sir, I would move to offer  
18 that exhibited into evidence.

19 MR. EYE: No objection.

20 PRESIDING OFFICER: Thank you. Admitted.

21 BY MR. HAYS:

22 Q. Now could you explain what the  
23 implication of that cautionary statement is?

24 A. Okay. Well, again as within DSM but this  
25 one -- this GAF report is this computer module is

1 to be rated with respect only to psychological,  
2 social and occupational functioning. It doesn't  
3 contain any questions regarding impairment and  
4 function related to physical problems such as an  
5 inability to walk due to paralysis of a limb or  
6 environmental limitations such as poverty.

7 **Q. Okay.**

8 A. So if you answer yes to one of these  
9 questions about impairment symptoms it means that  
10 it is because of a social, occupational, or  
11 psychological functioning issue related to a  
12 psychiatric symptom. They are excluding physical  
13 and environmental problems.

14 **Q. What's the significance of that?**

15 A. Well, if you think about it you could  
16 have someone who has been in a severe motor  
17 vehicle accident who has got four broken limbs and  
18 can't get out of bed and has no energy and thinks  
19 that he or she would be better off dead, and you  
20 could fill out the GAF for that person and come  
21 out with a very low GAF score indicating highly  
22 impaired functioning due to a psychiatric reason.  
23 When the reality is it is highly impaired  
24 functioning due to a physical reason. You could  
25 also do the same thing for someone with a severe



1 environmental problem. They list poverty for this  
2 one. Do you ever think about being dead, you  
3 know, et cetera? Or do you feel depressed or sad  
4 some time or all of the time or most of the time.  
5 So the caution is to make sure that the person  
6 who's using the program understands that it's for  
7 psychiatric or psychological reasons and not to  
8 use it for people who have -- there are all kinds  
9 of reasons people can have impairment. This GAF  
10 score -- rating scale is to be used for  
11 psychiatric or mental health reasons.

12 **Q. And does it give caution to how this or**  
13 **when this should be used?**

14 A. Yes. It also says that it's limited and  
15 it's limited by the validity of the answers  
16 provided to the questions, and therefore should  
17 only be used after a comprehensive clinical  
18 evaluation has been conducted by an individual  
19 with clinical skills.

20 **Q. And why is that?**

21 A. Well, if you look at the yes or no  
22 questions they don't elicit any -- when -- when  
23 you get a -- when you use this computer program  
24 and you fill it out based on the yes or no  
25 questions you get all of the negative responses

1 but you don't get any of the positive responses.

2 So, for example, it'll say patient demonstrates  
3 significant impairment in major areas of function  
4 which is a very broad general statement, but it  
5 doesn't give you any specific information about  
6 what those are. That's a conclusion, that's not  
7 data. Okay. So the clinical comprehensive  
8 clinical evaluation has to provide the data for  
9 you to get to that conclusion, specific data. So  
10 one of the criterion for example is suicidal  
11 thoughts or actions or behaviors. Well, there is  
12 an extremely wide spectrum between someone who  
13 says, you know, I'm so upset about this particular  
14 problem, I really wish I hadn't been born, and  
15 someone whose psychotically depressed and has an  
16 acute -- has an active plan to kill themselves  
17 within the next 10 minutes but both of those would  
18 be yes on the GAF. Clearly there is a difference  
19 in the functioning of those two people. Okay.  
20 The GAF doesn't discriminate that. It only allows  
21 you to write yes. So you have to be able to  
22 support with the clinical interview what the  
23 positive findings are.

24 Q. Now do you have an expert opinion as to  
25 how the use of this GAF module as designed meets

1 or exceeds the standard of care?

2 MR. EYE: I'm going to make the same  
3 objection I made before. That specific opinion I  
4 don't think was rendered in the report.

5 PRESIDING OFFICER: Objection noted for  
6 the record. Overruled. Go ahead and answer if you  
7 can.

8 A. Okay. Yeah, it does not.

9 BY MR. HAYS:

10 Q. Why?

11 A. Because from looking at that printed out  
12 report there is no way to understand what the  
13 specific impairments and behavioral functioning  
14 are. That's the first one. The second one is  
15 that if it's -- if there hasn't been a clinical  
16 evaluation to correlate the yes or no statements  
17 with specifics, then by definition of, you know,  
18 the caution what it's designed for the program  
19 doesn't give you a valid result.

20 Q. Now, let's move on to the diagnoses that  
21 you testified about being present in Doctor  
22 Neuhaus' patient records for Patients 1 through  
23 11. Can you tell me what the diagnoses were that  
24 were made?

25 A. There were three different categories of

1 diagnoses. One was anxiety disorder, not  
2 otherwise specified, one was major depressive  
3 disorder and one was acute stress disorder.

4 MR. HAYS: And, sir, at this point in  
5 time I'm getting ready to move into the patient  
6 record or into each individual patient, so I don't  
7 know whether you want me to continue into a little  
8 bit of it and find a stopping point or stop here  
9 and?

10 PRESIDING OFFICER: Mr. Eye, do you have  
11 any thoughts on it?

12 MR. EYE: I really don't, Your Honor. It  
13 -- it seems to me -- I mean it's, what, four  
14 o'clock. I would appreciate if we could stop at  
15 about maybe 20 after or a quarter after the hour  
16 just so we could get our materials gathered up and  
17 so we are up and out of her by five o'clock which  
18 is I guess when we need to be out of here. So I  
19 -- I would have to defer to Mr. Hays in terms of  
20 whether that's enough time for him to get into the  
21 -- the body of the questions he really wanted to  
22 do or whether he wants to take it up tomorrow  
23 morning and do in an interrupted fashion. So, but  
24 again I just am concerned about getting our  
25 materials together and out of here by the time

1 that's prescribed.

2 PRESIDING OFFICER: Well, speaking of  
3 tomorrow were we going to start earlier than nine  
4 tomorrow?

5 MR. HAYS: Sir, we can be here whenever  
6 you want to be here.

7 MR. EYE: I'm not sure that I wouldn't  
8 make that quite blanket statement, and my  
9 colleague would definitely not go along with that.

10 PRESIDING OFFICER: 8:30 is okay with you  
11 though?

12 MR. EYE: 8:30 is fine.

13 MR. HAYS: 8:30 is fine.

14 PRESIDING OFFICER: Is that fine with  
15 you?

16 THE REPORTER: Perfect.

17 PRESIDING OFFICER: All right. Why don't  
18 we just adjourn this evening till tomorrow morning  
19 at 8:30. Is that acceptable?

20 MR. HAYS: Yes sir.

21 (THEREUPON, the hearing concluded for the  
22 day at 4:01 p.m.)

23 .

24 .

25 .

## 1 CERTIFICATE

2 STATE OF KANSAS

3 ss:

4 COUNTY OF SHAWNEE

5 I, Cameron L. Gooden, a Certified  
6 Shorthand Reporter, commissioned as such by  
7 the Supreme Court of the State of Kansas,  
8 and authorized to take depositions and  
9 administer oaths within said State pursuant  
10 to K.S.A. 60-228, certify that the foregoing  
11 was reported by stenographic means, which  
12 matter was held on the date, and the time  
13 and place set out on the title page hereof  
14 and that the foregoing constitutes a true  
15 and accurate transcript of the same.

16 I further certify that I am not related  
17 to any of the parties, nor am I an employee  
18 of or related to any of the attorneys  
19 representing the parties, and I have no  
20 financial interest in the outcome of this  
21 matter.

22 Given under my hand and seal this  
23 day of , 2011.

24

25 Cameron L. Gooden, C.S.R. No. 1335



(Main Office)  
Topeka, KS  
785.273.3063

(Metro Kansas City)  
Overland Park, KS  
913.383.1131