

1 .

2 BEFORE THE KANSAS STATE BOARD OF HEALING ARTS

3 .

4 IN THE MATTER OF Docket No. 10-HA00129

5 Ann K. Neuhaus, M.D.

6 .

7 Kansas License No. 04-21596

8 .

9 .

10 .

11 .

12 VOLUME III

13 TRANSCRIPT OF PROCEEDINGS

14 taken on the 14th day of September, 2011,
15 beginning at 8:31 a.m., at the Shawnee County
16 District Court, 200 Southeast 7th Street, in the
17 City of Topeka, County of Shawnee, State of
18 Kansas, before Edward J. Gaschler, Hearing
19 Officer.

20 .

21 .

22 .

23 .

24 .

25 .



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 APPEARANCES

2 .

3 .

4 ON BEHALF OF THE PETITIONER:

5 .

6 Mr. Reese H. Hays

7 Ms. Jessica Bryson

8 Kansas State Board of Healing Arts

9 800 Southwest Jackson

10 Suite A

11 Topeka, Kansas 66612

12 785-296-7413

13 rhays@ksbha.ks.gov

14 .

15 .

16 ON BEHALF OF THE RESPONDENT:

17 .

18 Mr. Robert V. Eye

19 Ms. Kelly Kauffman

20 Mr. Kori Trussell

21 Kauffman & Eye

22 123 Southeast 6th Street

23 Suite 200

24 Topeka, Kansas 66603

25 .



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 ALSO PRESENT:

2 .

3 Ms. Kathy Moen

4 Ms. Hester Jay

5 .

6 .

7 .

8 .

9 .

10 .

11 .

12 .

13 .

14 .

15 .

16 .

17 .

18 .

19 .

20 .

21 .

22 .

23 .

24 .

25 .



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1

INDEX

2 .

3 .

4 Certificate ----- 662

5 .

6 .

7 WITNESS

8 ON BEHALF OF PETITIONER: PAGE

9 LIZA GOLD, M.D. (cont.)

10 Direct-Examination by Mr. Hays 452

11 Cross-Examination by Mr. Eye 482

12 Redirect-Examination by Mr. Hays 611

13 Recross-Examination by Mr. Eye 634

14 Redirect-Examination by Mr. Hays 653

15 Recross-Examination by Mr. Eye 657

16 .

17 .

18 .

19 .

20 .

21 .

22 .

23 .

24 .

25 .



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 MR. EYE: I've just informed the hearing
2 officer that we're ready to proceed. I expect
3 Doctor Neuhaus to be here shortly.

4 PRESIDING OFFICER: And you're -- it's
5 acceptable to you to proceed without Doctor
6 Neuhaus being here?

7 MR. EYE: It is at this time, yes, sir.
8 Thank you.

9 PRESIDING OFFICER: Mr. Hays.

10 MR. HAYS: Yes, sir.

11 DIRECT EXAMINATION (cont.)

12 BY MR. HAYS:

13 Q. Doctor Gold, if I could direct your
14 attention to Patient No. 10. Do you have your
15 expert report in front of you for Patient 10?

16 A. Yes.

17 Q. What exhibit number is that?

18 A. 77.

19 Q. And do you also have Doctor Neuhaus'
20 record for Patient 10 in front of you?

21 A. Yes, I do.

22 Q. And what exhibit number is that?

23 A. 32.

24 Q. And do you have Doctor Tiller's patient
25 record for Patient No. 10?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 THE REPORTER: I'm sorry. Do you have?

2 BY MR. HAYS:

3 Q. -- Doctor Tiller's patient record for
4 Patient No. 10? Sorry.

5 A. Yes, I do.

6 Q. And what's the exhibit number for that?

7 A. 43.

8 Q. From your review of the records, could
9 you please describe Patient 10?

10 A. Patient 10 is an 18-year-old single
11 female from Kansas who became pregnant as a result
12 of consensual sex with her boyfriend and she is
13 25-plus weeks pregnant.

14 Q. How many pages consist of Patient 10's
15 records for Doctor Neuhaus?

16 A. 10 pages.

17 Q. And without being told that record came
18 from Doctor Neuhaus, would it be possible to tell
19 who's physician record it is?

20 A. No.

21 Q. Why is that?

22 A. Because there is no clinical information
23 or acknowledgement of review of information in the
24 chart that could specifically be assigned to
25 Doctor Neuhaus. There is on one page some



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 initials, but it's hard to determine what those
2 would mean.

3 **Q. And can you tell from the patient record**
4 **what date and time the patient's appointment was**
5 **with Doctor Neuhaus?**

6 **A. No, I cannot.**

7 **Q. Do you know whether Doctor Neuhaus came**
8 **to a diagnosis for Patient 10?**

9 **A. Yes, I do.**

10 **Q. How do you know that?**

11 **A. There is a positive DTREE report.**

12 **Q. And what does that diagnosis -- or what**
13 **does that report indicate?**

14 **A. Acute stress disorder, severe.**

15 **Q. So let's take a look at patient number --**
16 **or that document, the DTREE document. What Bates**
17 **page is that?**

18 **A. 8.**

19 **Q. And what do the numbers refer to that are**
20 **on that document?**

21 **A. The -- there's a code number next to the**
22 **diagnosis, 308.3, that's the DSM code for that --**
23 **numerical code for that diagnosis.**

24 **Q. And where does that numerical code come**
25 **from?**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. The DSM.

2 Q. And what is the rating date and time for
3 that document?

4 A. The date is November 13th, 2003, 1302.

5 Q. And what is the report date and time?

6 A. 11-13-2003, 1306.

7 Q. And can you tell us what the significance
8 of the -- of this report is for this patient?

9 A. I'm -- I'm sorry. Can I -- there's a
10 second diagnosis on this patient, as well.

11 Q. Okay. And what is that diagnosis?

12 A. Anxiety disorder NOS, not otherwise
13 specified.

14 Q. And --

15 A. In -- in partial remission, is the --
16 modified.

17 Q. And what does in partial remission mean?

18 A. It means it's not -- it's partially
19 resolved, it's decreased or gone away from its
20 most maximum symptomatic state.

21 Q. And what's the significance of this
22 document within this patient's record?

23 A. Well, it indicates that Doctor Neuhaus,
24 using the DTREE program, computer program came to
25 a -- a diagnosis of acute -- a severe acute stress



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 disorder on -- on this patient.

2 Q. Can you tell from Doctor Neuhaus' patient
3 record for Patient 10 how Patient 10 met the
4 diagnostic criteria to support a diagnosis of
5 acute stress disorder?

6 A. No, I cannot.

7 Q. And you spoke about yes -- yesterday that
8 -- the gatekeeper criteria. Can you indicate from
9 that record what the -- that criteria was?

10 A. No, I cannot.

11 Q. Is there any information within the
12 document about the event that threatened death or
13 serious injury?

14 A. No, there is not.

15 Q. What about one that threatened physical
16 -- or was a threat to the patient's physical
17 integrity?

18 A. There's no indication that this person
19 felt that either or underwent that.

20 Q. Is there any information that would
21 support the criteria for finding a diagnosis of
22 anxiety disorder within her patient record?

23 A. This is a patient with a -- a psychiatric
24 history who was being treated with an
25 anti-depressant/anti-anxiety medication for, I



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 believe, panic attacks.

2 Q. And where did you get that information
3 from?

4 A. That information came from the intake
5 sheet in Doctor Tiller's clinic that is included
6 in Doctor Neuhaus' record.

7 Q. And how much information did it provide
8 about that anxiety disorder?

9 A. It says Paxil, P-A-X-I-L, which is the
10 medication, 40 milligrams, one a day: Anxiety
11 attacks. And my interpretation of that is used
12 for anxiety attacks. And underneath, there's
13 another sentence or -- or phrase that says, last
14 anxiety attack was six months, presumably meaning
15 six months previously.

16 Q. Is that enough information to come to a
17 diagnosis of anxiety disorder NOS?

18 A. No. Especially not without a review or a
19 ever -- with a patient -- this patient is 18 years
20 old and presumably could tell you more about that
21 history or review of some medical record from the
22 doctor who's been prescribing that medication.
23 Especially in light of the fact that an acute
24 stress disorder has been diagnosed. They're both
25 anxiety disorders. Acute stress disorder and



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 anxiety disorder NOS are both anxiety disorders
2 and you would need to -- anxiety disorder NOS is a
3 -- is a diagnosis of exclusion, so it's not -- it
4 -- it implies that there's a history of anxiety
5 disorder NOS, but she's been treated, so one would
6 think there must be more diagnostic information
7 somewhere. And that would be relevant to the
8 diagnosis of acute stress disorder, which is
9 another anxiety disorder that would be a second
10 anxiety disorder on top of the first one. So you
11 would really want to know that history.

12 **Q. Is there any indication from the file
13 that a review of that occurred?**

14 A. No, there is not.

15 **Q. Is there any information in the file that
16 indicates that this was discussed further with the
17 patient?**

18 A. The previous an -- history of anxiety
19 disorder, no, there is not.

20 **Q. Well, let's talk about the GAF. Is there
21 one present in this patient's record?**

22 A. Yes, there is.

23 **Q. And what is the GAF to this patient,
24 according to that report?**

25 A. 25.



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **Q. And what's the significance of this**
2 **document for this patient?**

3 A. Well, it -- it indicates a -- a
4 relatively low level of functioning due to
5 psychiatric symptoms. The general statement
6 associated with this diagnostic range which
7 appears on the GAF form is, the patient has been
8 unable to function in almost all areas, e.g., she
9 stays in bed all day or has no job, home or
10 friends. There are some negative findings. Not
11 suicidal, not violent or aggressive, not --
12 judgement not significantly impaired. And then
13 the positive finding is able to maintain minimal
14 hygiene.

15 **Q. Is there any information contained within**
16 **this record that could serve as a basis for that**
17 **determination?**

18 A. Well, some of the information in the MI
19 statement could support some of the -- some of the
20 findings. For example, the MI Statement, the
21 patient says she did not have suicidal thoughts.
22 The GAF rating generic statement says there are no
23 suicidal thoughts. You know, a negative finding
24 is, generally speaking, a negative finding. So
25 one -- that negative finding supports the other



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 negative finding. There's really not anything in
2 here that --

3 Q. And which MI statement are you looking
4 at?

5 A. I'm sorry. There are two MI statements.
6 One is typed and that's Bates 2 and 3. And one is
7 handwritten and that's Bates 4 and 5.

8 Q. And before I interrupted you, you were
9 speaking about the MI Statement and its
10 relationship to the GAF.

11 A. Again, other than some of the negative
12 findings, there really is nothing in here that
13 would indicate that this person is overwhelmingly
14 impaired in her function to rate on -- on the
15 basis of psychiatric symptoms to rate a GAF of 25.

16 Q. Why is that?

17 A. Well, the GAF itself doesn't have any
18 specific clinical data for -- upon which this
19 finding is based, but the examples it gives which
20 are, again, taken directly from the DSM are, stays
21 in bed all day or has no job, home or friends.
22 There is no indication, you know, that this
23 patient stays in bed all day or has no job, home
24 or friends. She -- she says, I try to be busy.
25 She's only known she's been pregnant for a week.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 So that would imply certainly that she's not
2 staying in bed all day. She goes to school. She
3 doesn't have a job, she's 18, she goes to school.
4 It -- you know, for the week that she's known, she
5 says she can't concentrate at school, which means
6 that she's still going to school, or implies. She
7 has a boyfriend. So no job, home or friends, she
8 at least has a boyfriend and she has a home, she
9 lives with her parents. So I don't know -- you
10 know, she's clearly very upset, but that's not of
11 itself enough. And it has a number of -- of
12 situational stress symptoms, but that of itself is
13 not enough to support a generic statement, the
14 patient has been unable to function in almost all
15 areas of functioning.

16 **Q. Now, does -- is there any information**
17 **about a job on Bates page 4?**

18 A. It -- at the bottom under the typed --
19 the prompt of guilt, it says, I've been offered a
20 job in my hometown which will help. I -- so
21 that's -- she's been offered a job. It doesn't
22 state more than that.

23 **Q. Now, is there any other in -- information**
24 **contained within that -- those two MI statements**
25 **-- I guess they're both entitled MI Indicators --**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **that would either support or not support the GAF?**

2 A. Well, theoretically, if they were related
3 to a psychiatric disorder, but it does not seem
4 from the min -- MI Indicator statements that this
5 patient has even had a -- a recurrence of her
6 previous anxiety disorder because she's not
7 reporting a recurrence of panic attacks, which
8 were apparently the symptoms that she was having
9 treated with the Paxil. So she -- she certainly
10 has situational stress and she's certainly
11 extremely upset in a variety of ways. That --
12 that upset is being expressed in a variety of
13 emotional and behavioral ways, but of itself,
14 these do not support a diagnosis of acute stress
15 disorder.

16 **Q. So how would a physician utilize this
17 information?**

18 A. Well, again, this would be -- these kinds
19 of evaluations performed by a nonpro -- non-mental
20 health trained person are screening examinations.
21 And they are certainly used in places everywhere
22 around the country where someone who's not
23 necessarily a -- a mental health professional or
24 trained in mental health assessments can be
25 trained to ask the questions that are on their



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 standard screening -- that are part of their
2 standard screening or Doctor Tiller's standard
3 screening questionnaire, but the -- if - but if
4 it comes up positive, the physician who is doing
5 the assessment needs to expand and develop that
6 information further through a standard mental
7 health evaluation, including a mental status
8 examination, and determine whether these are
9 actually symptoms of a diagnose -- diagnosable
10 psychiatric disorder or related to situational
11 stress or related to a medical condition. Just,
12 for example, when we go to the doctor, we go to
13 our internist or whatever, the nurse takes our
14 blood pressure, right? The doctor relies upon
15 that blood pressure. And if it's normal, the
16 doctor rarely takes another blood pressure unless
17 there's some complaint that would cause him or her
18 to do so. However, if the nurse's blood -- blood
19 pressure reading is extremely high, it's very
20 likely that not only the nurse will repeat it, but
21 the doctor will repeat it and they will
22 investigate the possible causes of why you've
23 shown up with that high blood pressure and try to
24 determine that. They may not be able to determine
25 it that day, they may follow along, et cetera, but



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 you're not going to rely on one blood pressure.
2 If you're the physician, you're not going to rely
3 on one abnormally high blood pressure reading
4 taken by your nurse to diagnose and treat the
5 possible medical reasons for a high blood pressure
6 in that patient. It's not going to tell you what
7 they are and it's not going to tell you what the
8 appropriate treatment is.

9 **Q. So is there any evidence within this file**
10 **that indicates that further examinations or**
11 **evaluations were performed to determine whether it**
12 **was situational stress or psychiatric symptoms?**

13 A. No.

14 **Q. And going back to the GAF real quick, can**
15 **you tell me what the rating date and time was for**
16 **that document?**

17 A. 11-13-2003 --

18 **Q. And --**

19 A. -- and 1306 is the time.

20 **Q. -- that was a rating date and time?**

21 A. Yes, for the GAF.

22 **Q. Okay. And the report date and time?**

23 A. 11-13-2003.

24 **Q. And what's that time difference?**

25 A. I'm sorry. The time is 1307 and the



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 difference is one minute.

2 Q. Now, using Doctor Tiller's record, can
3 you determine whether 11-13-2003 was a possible
4 date for this patient's appointment with Doctor
5 Neuhaus?

6 A. I -- I suppose it could have been a date
7 for the appointment for Doctor Neuhaus.

8 Q. Well, can you tell me when the
9 termination of the pregnant began?

10 A. Well, the post-abortion checkout exam was
11 11-7-2003, so it was prior -- prior to 11-7.

12 Q. What does the appointment date on Doctor
13 Tiller's intake page indicate?

14 A. Doctor Tiller's intake appointment date
15 is 11-4 of '03.

16 Q. So if 11-13-2003 is a correct -- is a
17 correct appointment date, that would have been
18 before or after the termination of pregnancy?

19 A. Well, if the appointment was 11-13, that
20 would have been after the termination. But it is
21 possible that the appointment occurred before and
22 the printout was done after.

23 Q. So there's no --

24 A. That date is the date of the report and
25 printout and not necessarily the date of the



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 appointment.

2 Q. So is there any evidence within this
3 record that shows what the date and appointment of
4 Doctor Neuhaus was?

5 A. No.

6 Q. Now, if you consider the information
7 listed on the DTREE and GAF reports as evidence of
8 Doctor Neuhaus' performance of an evaluation of
9 behavioral or functional impact of Patient 10's
10 condition and symptoms, do you have an expert
11 opinion as to whether she met the standard of care
12 in performance of that evaluation?

13 A. Unfortunately, I -- yes, I do. And --

14 Q. And what is it?

15 A. -- unfortunately, I would have to say she
16 did not.

17 Q. Why?

18 A. Because there's no evidence of the
19 clinical evaluation and mental status exam with
20 positive findings to support the diagnosis or
rating assessment that she concludes.

22 Q. What is there evidence of?

23 A. Well, there's evidence that she did --
24 this patient checked into Doctor Tiller's clinic.
25 There's evidence that she was administratively



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 processed through Doctor Tanners -- Tiller's
2 clinic. There's evidence that one week after --
3 based on Doctor Tiller's documents that are in
4 Doctor Neuhaus' chart, there's evidence that one
5 week after discovering she was pregnant, she
6 contacted this clinic and two weeks later came for
7 -- for the procedure, and that she was extremely
8 distressed to find herself pregnant. There's also
9 indications of a preexisting psychiatric disorder
10 for which she is receiving treatment, 40
11 milligrams of Paxil. None of -- none of that
12 information was -- all of that information is
13 obtained through a review of Doctor Tiller's
14 record. And finally, there is, you know, a
15 positive telephone screening and in-person
16 screening of -- for possible mental health
17 disorder.

18 **Q. Now, you mention there's evidence that**
19 **this patient was distressed. Is that evidence or**
20 **is that -- is being distressed a symptom of these**
21 **diagnoses?**

22 **A. Well, it can be.**

23 **Q. How?**

24 **A. Well, usually, if someone has an active**
25 **psyc -- psychiatric diagnosis, there are evident**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 active symptoms, so being agitated, upset,
2 weeping, things that you would consider distress,
3 too nervous to sit, physically uncomfortable and
4 mentally uncomfortable symptoms constitute
5 distress. And you would say or -- and people
6 would say, I am -- if you had to describe it, that
7 one word to describe those kinds of symptoms is
8 distress. The issue is, it doesn't work the other
9 way around. People who are distressed do not
10 necessarily have a diagnosable psychiatric
11 disorder. And distress, especially distress that
12 is appropriate to an adverse life event is a
13 normal human behavior reaction and not a sign of
14 pathology. Could it become or could it -- could
15 it be a sign of pathology? It could, but of
16 itself, does not indicate pathology and needs
17 further evaluation.

18 **Q. If you consider the information listed on**
19 **the DTREE and GAF reports as evidence of Doctor**
20 **Neuhaus' performance of Patient 10's mental status**
21 **examination, do you have an opinion as to whether**
22 **she met the standard of care in her performance of**
23 **that mental status examination?**

24 **A. I do.**

25 **Q. And what is it?**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. An -- unfortunately, she did not.

2 **Q. Why?**

3 A. There's no indication that Doctor Neuhaus
4 performed a formal or informal mental status
5 examination. There are negative findings con --
6 on the GAF that would be consistent with the
7 patient's -- with the -- some aspects of a mental
8 status examination, but there is no positive
9 clinical findings to indicate the positive mental
10 status findings that would be consistent with this
11 diagnosis or GAF score.

12 **Q. Now, if you consider the information**
13 **listed on the DTREE and GAF reports as evidence of**
14 **Doctor Neuhaus' performance of Patient 10's mental**
15 **health evaluation, do you have an expert opinion**
16 **as to whether she met the standard of care in her**
17 **performance of Patient 10's mental health**
18 **evaluation?**

19 A. I do.

20 **Q. And what is it?**

21 A. She did not.

22 **Q. Why?**

23 A. There's no evidence of Doctor Neuhaus
24 conducting a clinical evaluation, reviewing
25 current and past history, psychiatric history,



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 medical history. In a patient who is in treatment
2 for a psychiatric disorder, it would be common
3 practice to at least attempt to review the
4 treating physician's records or contact or
5 verbally discuss the patient with the treating
6 doctor. There's no evidence of -- there's
7 certainly no evidence that it -- that such a
8 record review happened. There's no evidence of an
9 attempt to contact the doctor. So in this
10 patient, there's an added element because there is
11 a -- a history given which adds to what a standard
12 evaluation would encompass. And then, you know, a
13 med -- formal medical examination -- I'm sorry --
14 a men -- for -- formal or informal mental status
15 examination and consideration of the effects of an
16 unwanted pregnancy on her emotional presentation
17 and/or her prior -- her preexisting psychiatric
18 disorder.

19 **Q. And why are those important things to do?**

20 A. Well, Doctor Neuhaus is diagnosing an
21 acute stress disorder, a new onset acute stress
22 disorder, which is a type of anxiety disorder, in
23 a patient with a preexisting anxiety disorder
24 who's acutely distressed. I don't know how you
25 could do that without doing at least a standard



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 clinical evaluation and a review of -- of her
2 previous psychiatric history. And she's still
3 taking medication, which means someone's still
4 prescribing the medication, which means there's a
5 doctor who, theoretically, knows what her history
6 is and has diagnosed her with a disorder for which
7 he or she is prescribing this medication. And at
8 least theoretically, that doctor could be
9 contacted by telephone and presumably would know
10 this patient and be able to give you some history
11 that would be relevant, especially if she's a --
12 presenting for a surgical or intervention.

13 Q. Is there any evidence in the file of who
14 that other physician is?

15 A. No.

16 Q. Is there any evidence in the file of her
17 attempting to contact that physician?

18 A. No.

19 Q. Is there any contact information for that
20 physician in the file?

21 A. No.

22 Q. Is there any indication -- strike that.
23 Do you have an expert opinion as to whether Doctor
24 Neuhaus met the standard of care in documentation
25 in regards to this patient's record?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Yes.

2 **Q. And what is your opinion?**

3 A. I would, again, say unfortunately, she
4 has not.

5 **Q. Why?**

6 A. Doctor Neuhaus' file does not appear to
7 contain any specific clinical information about
8 this patient generated by Doctor Neuhaus. The GAF
9 report and the DTREE report are not signed. They
10 contain no specific clinical information. It's
11 not possible to recreate her -- to understand the
12 process of evaluation by which she came to these
13 diagnoses and conclusions, nor the specific
14 clinical data that support the diagnosis and --
15 and GAF conclusion.

16 **Q. And why are those important to do for
17 this patient?**

18 A. Well, this is a patient who -- I mean,
19 it's important for all patients, but in this
20 particular case, this is a patient who presumably
21 will be going back to treatment with her -- at the
22 very least, with the doctor who has continued --
23 who has been prescribing medication for her panic
24 attacks. And it would be very significant for
25 that doctor to know that his patient has been



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 diagnosed with an acute stress disorder and what
2 the basis for that diagnosis is -- is for to him
3 continue providing effective patient care for her.

4 Q. Let's move on to Patient 8. Do you have
5 your expert report for Patient 8 in front of you?

6 A. Yes, I do.

7 Q. Do you have Doctor Neuhaus' patient
8 record for Patient 8 in front of you?

9 A. Yes, I do.

10 Q. And do you have Doctor Tiller's patient
11 record for Patient 8 in front of you?

12 A. Yes, I do.

13 Q. From a review of the records, could you
14 please describe Patient 3?

15 MR. EYE: Could you -- which one?

16 MR. HAYS: Oh, sorry. Patient 8.

17 MR. EYE: Thank you.

18 A. Patient 8 is a 13-year-old girl from
19 Englewood, New Jersey who became pregnant at age
20 12 after consensual sex with a 15-year-old and was
21 25 weeks pregnant at the time of evaluation in
22 Doctor Tiller's clinic.

23 BY MR. HAYS:

24 Q. And without being told who that record
25 came from, could you determine whose physician



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **record it is?**

2 A. No.

3 Q. **Why is that?**

4 A. Because Doctor Neuhaus' name appears in
5 only one place on this form, on -- in this -- on
6 these five pages and it's at the top of the
7 Patient Intake Form. It's handwritten in by
8 someone. It doesn't indicate why her name is
9 there. Doctor Tiller's name is also on that form,
10 so -- typed in. Again, the name appears -- it --
11 it does not appear to have been written by Doctor
12 Neuhaus. So it -- it -- again, you know, out --
13 outside the Authorization to Disclose Information
14 typed form, which we've discussed previously, it's
15 -- it's not personalized by Doctor Neuhaus in any
16 way nor does it contain clinical information
17 generated by an evaluation by Doctor Neuhaus.

18 Q. **Do you know when Doctor Neuhaus had the
19 appointment time and date for this patient?**

20 A. No, I do not.

21 Q. **What was the diagnosis that's documented
22 within this record?**

23 A. There is no diagnosis documented within
24 this record.

25 Q. **What is the GAF that's documented within**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **this record?**

2 A. There is no GAF documented in this
3 record.

4 Q. **Do you know whether Doctor Neuhaus came**
5 **up to a diagnosis for this patient?**

6 A. I do.

7 Q. **And how do you know that?**

8 A. Through her inquisition testimony.

9 Q. **Where is it at in her inquisition**
10 **testimony?**

11 A. It be -- page -- Bates number is -- I
12 can't read the Bates number -- 887. And that's
13 the transcript of the inquisition and there's four
14 pages on each page and it's page 248.

15 Q. **And what does she say on that page?**

16 A. Doctor Neuhaus testified that she
17 diagnosed her with a, quote -- diagnosed her with,
18 quote, suicidal ideation and acute stress
19 disorder.

20 Q. **And how were you able to identify that**
21 **Patient 8 was the one that she was talking about**
22 **in that transcript?**

23 A. Well, she was identified in the
24 transcript as 13-year-old from New Jersey, 25
25 weeks along viable pregnant. And this is a



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 13-year-old from New Jersey with a 25-plus weeks
2 of viable pregnancy, so I -- it is an assumption
3 on my part that it is the same patient.

4 **Q. Were there any other descriptions about**
5 **that patient's symptoms in that transcript?**

6 A. No.

7 **Q. What diagnostic information or what**
8 **possible diagnostic information is contained**
9 **within Doctor Neuhaus' record?**

10 A. Again, there is the MI screening form on
11 Bates 4 and 5.

12 **Q. And what information does it contain?**

13 A. This is -- this states that the patient
14 has known for about a week that she was pregnant.
15 She states that she doesn't think she -- she
16 thinks that she might die from this pregnancy.
17 That she thinks her life -- she states that she
18 would kill herself or die if she couldn't get an
19 abortion, or if that didn't happen, I would
20 neglect the child or beat it senseless. And then
21 there is the screening information with the
22 screening questions for depression.

23 **Q. And are there any indicators within that**
24 **screening for depression?**

25 A. Indicators for?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **Q.** Any diagnoses?

2 A. Potentially, yes.

3 **Q.** And what are those indicators?

4 A. Well, there's -- there are positive
5 findings under a number of symptoms. The issue is
6 that you're talking to a -- what sounds like a
7 very young 13-year-old who has only known for a
8 week that she is pregnant. And so a clinical
9 assessment would have to tease out whether this is
10 age-appropriate or developmentally-appropriate
11 communication, what this really means, what these
12 statements really mean. Is she really serious
13 that she would neglect a child or beat it
14 senseless or kill herself or die? And those are
15 -- again, when -- especially -- she's on -- you
16 know, without seeing this patient, it's hard to
17 know where she is in a developmental scale, but
18 she's either a very young teenager or still
19 developmentally, you know, a -- a child -- child.
20 And there's all kinds of indicators on here that
21 -- but it's -- it's hard to know what they mean
22 without further evaluation. And -- and you know,
23 again, this is a week's duration that she's known
24 she was pregnant, so --

25 **Q.** Is there any evidence within Doctor



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Neuhaus' patient record that any of that follow
2 along clinical assessment had occurred?

3 A. No.

4 Q. What about any clinical assessment by
5 Doctor Neuhaus herself?

6 A. No.

7 Q. Is there any evidence within that file
8 that indicates Doctor Neuhaus followed-up on the
9 suicide issues?

10 A. No.

11 Q. Can you tell me how many pages this file
12 is for patient record?

13 A. It's five.

14 Q. And that's Doctor Neuhaus' patient record
15 for this patient?

16 A. That's my understanding.

17 Q. From the record, can you determine
18 whether a evaluation of the behavioral or
19 functional impact of the patient's condition
20 occurred?

21 A. I'm sorry. Could you repeat the
22 question.

23 Q. From the record, can you tell -- can you
24 determine whether an evaluation of the patient's
25 behavioral or functional impact of the patient's



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 condition occurred with this patient?

2 A. By Doctor Neuhaus?

3 Q. Correct.

4 A. I cannot determine that, there's no
5 record of it.

6 Q. What would need to be documented?

7 A. There would need to be some indication of
8 an appointment, a date, how long this evaluation
9 took. This is another complex evaluation where,
10 you know, there would be a question about
11 referring to a specialist in child psychiatry
12 given the age and presentation of this child.
13 Again, I don't have enough information to know if
14 there are other complicating factors, but just
15 based on the MI Screening, this appears to be
16 someone who's at least talking about killing
17 herself or killing the baby if she should have it.
18 But there would have to be in the record some
19 documentation of an appointment, and evaluation,
20 including the mental status examination, including
21 a review of psychiatric -- current and past
22 psychiatric history, social history, psychosocial
23 history with -- the child's caretakers would need
24 to be involved. There would need to be some
25 documentation of all the elements -- some



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 documentation of any -- of elements of a
2 comprehensive evaluation. It wouldn't have to be
3 every single element of a comprehensive
4 evaluation, but there would have to be something.
5 There is, as far as I can tell, nothing in this
6 chart generated by Doctor Neuhaus, not even the
7 computer programs -- or the computer program
8 reports.

9 **Q. Now, based upon Doctor Neuhaus' testimony**
10 **describing how she generally performed mental**
11 **status examinations, do you have an expert opinion**
12 **as to whether she met the standard of care in the**
13 **-- in performing a mental status examination of**
14 **this patient?**

15 A. Doctor Neuhaus was -- did not describe a
16 mental status examination specifically for this
17 patient.

18 **Q. What about mental health evaluation?**

19 A. Doctor Neuhaus testified generally about
20 conducting mental health evaluations on all these
21 patients, but there's nothing specific here. She
22 acknowledges that she remembers the patient based
23 on the history, presumably the MI Statements, and
24 the fact that she was so young, but did not refer
25 specifically to her own evaluation of this



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 patient, acknowledges that the -- that she didn't
2 have any notes to go off of for herself specific
3 -- no specific information of her own.

4 Q. Do you have an expert opinion as to
5 whether Doctor Neuhaus met the standard of care in
6 documentation in regards to this patient record?

7 A. Yes.

8 Q. And what is that expert opinion?

9 A. Unfortunately, she did not.

10 Q. Why is that?

11 A. There is no documentation in this chart
12 generated by Doctor Neuhaus that would indicate an
13 evaluation or a diagnosis of this patient.

14 Q. Why is it important to document that
15 information for this patient?

16 A. That was why the patient was referred to
17 Doctor Neuhaus for a consultation, for a mental
18 health evaluation. So if -- if she hasn't
19 documented a mental health evaluation, it's not --
20 she hasn't performed the task with which
21 medically, psychiatrically, she was undertaking by
22 agreeing to see the patient. And this is
23 potentially a very serious situation that would
24 need -- based on the information I have available,
25 that would need even a specialist evaluation to



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 determine whether there's an underlying
2 psychiatric disorder and what the appropriate
3 treatment would be for it.

4 MR. HAYS: I have no further questions
5 for this witness. If we can take a short break
6 in-between so the witness can -- because she may
7 be on the stand for a little bit longer.

8 PRESIDING OFFICER: How long are you
9 going to be, do you have any idea? And I'm not
10 holding you to it, but how long?

11 MR. EYE: It's -- it's going to be
12 awhile.

13 PRESIDING OFFICER: Do you want a break
14 before he starts?

15 THE WITNESS: Sure. Thank you.

16 (THEREUPON, a recess was taken.)

17 CROSS-EXAMINATION

18 BY MR. EYE:

19 Q. Doctor Gold, you maintain your private
20 practice, correct?

21 A. Yes.

22 Q. In psychiatry?

23 A. Yes.

24 Q. And you spend about 40 percent of your
25 time currently seeing patients, correct?



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Currently, yes.

2 Q. And you spend about 40 percent of your
3 time in litigation or forensic-related activities,
4 correct?

5 A. Correct.

6 Q. And you spend about 20 percent of your
7 time in academic pursuits, correct?

8 A. Teaching and writing, correct.

9 Q. Now, it's accurate that you've never seen
10 a pregnant adolescent for the purpose of
11 evaluating her for an abortion, correct?

12 A. I don't quite understand the question.

13 Q. It's correct that -- that you've never
14 professionally counseled a -- an adolescent girl
15 to determine whether she was a suitable candidate
16 for an abortion, correct?

17 A. There is no kind of specific psychiatric
18 category for assessing whether someone is suitable
19 for an abortion, so it's not possible to do that.
20 It's not a real world event, so, no.

21 Q. In fact, you've never evaluated any woman
22 in the course of your practice for the purpose of
23 determining whether her mental health would be
24 preserved by virtue of having a late-term
25 abortion, correct?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. I'm sorry. Could you repeat the question?

2 Q. Sure. In your practice, since -- or
3 since you've been out of medical school, you've
4 never val -- evaluated any woman for the purpose
5 of determining whether her mental health would be
6 preserved by virtue of having alert -- late-term
7 abortion, correct?

8 A. A late-term abortion is not a treatment
9 or intervention for any psychiatric disorder, so
10 it would not be -- those two things are not
11 connected. So, no.

12 MR. EYE: Okay. Well, I'm going to move
13 to strike the part of her answer that preceded the
14 no, Your Honor -- Your Honor, as being
15 unresponsive to the question.

16 PRESIDING OFFICER: Sustained.

17 BY MR. EYE:

18 Q. You would agree that of the 11 patient
19 charts that we've covered -- that you've covered
20 during your direct examination, all of those dealt
21 with children or adolescents, save for one,
22 correct?

23 A. Yes. The -- except that the one is 18
24 years old and technically still counts as an
25 adolescent, although legally, 18 is an adult. So



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 for psychiatric purposes, I would consider that
2 person still an adolescent.

3 Q. And so for purposes of your review, did
4 you consider any of the -- the 10 patients that
5 were under 18 years old as women?

6 A. Well, they're all women.

7 Q. In the female sense. How about in the
8 developmental sense?

9 A. Well, if by women, you mean adults, then,
10 no, none of them are, psychiatrically speaking,
11 adults in a developmental sense.

12 Q. You've never testified in a case that had
13 anything to do with abortion, have you?

14 A. No.

15 Q. Other than this one?

16 A. Correct.

17 Q. And other than this case, you've never
18 been a consultant for -- in a litigation context
19 that involved abortion, correct?

20 A. Correct.

21 Q. In -- in a nontestifying capacity?

22 A. Correct. Well, ex -- except more --
23 except broadly in the sense that when patients --
24 when women and adolescents find themselves
25 pregnant, the question of abortion can arise.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 And, so in the general treatment, it may come up
2 for a discussion with a patient, but not
3 specifically as a specific focus of treatment.

4 **Q. In your capacity as a part-time clinical**
5 **professor of psychiatry at Georgetown, you've**
6 **never dealt with anything related to abortions,**
7 **correct?**

8 A. That is correct.

9 **Q. And you have been a -- a course director**
10 **for writing in forensic psychiatry, is -- is that**
11 **correct?**

12 A. At Georgetown, yes.

13 **Q. Yes. And you've never had an -- an**
14 **occasion to review or edit a paper, a professional**
15 **paper that dealt with abortion services, correct?**

16 A. That is correct.

17 **Q. You would agree that at no time during**
18 **the process of you receiving a board certification**
19 **in psychiatry or neurology, did you deal with**
20 **anything that related to abortions, correct?**

21 MR. HAYS: Objection, relevance.

22 MR. EYE: Well, we're going to the weight
23 that should be afforded this witness' testimony,
24 Your Honor. Your Honor has admitted her testimony
25 and I believe even counsel for petitioner



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 acknowledged that it would be up to you to
2 determine what weight to give it -- to give that
3 testimony and that's the reason for these
4 questions.

5 PRESIDING OFFICER: Objection overruled.
6 You may answer the question if you know the
7 answer.

8 THE WITNESS: Could -- could you repeat
9 the question? I'm sorry.

10 BY MR. EYE:

11 Q. In the process of getting your board
12 certifications, you didn't study about abortions,
13 did you?

14 A. No.

15 Q. And you weren't tested on that either,
16 correct?

17 A. Correct.

18 Q. It -- it -- it's correct that you are --
19 that you don't consider yourself a specialist in
20 the evaluation of -- of psychiatric disorders in
21 adolescents or children, correct?

22 A. That is correct.

23 Q. And you don't consider yourself a
24 specialist in the diagnosis of disorders in
25 adolescents or children, correct?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Correct, I -- I don't consider myself a
2 certified subspecialist in those areas.

3 Q. And you don't consider yourself a
4 specialist in the treatment of psychiatric
5 disorders in adolescents or children, correct?

6 A. Correct.

7 Q. And you went to Boston U, Boston
8 University for residency training, correct?

9 A. Correct.

10 Q. And nothing in that training dealt with
11 abortions, correct?

12 A. Correct.

13 Q. And you were designated as a Ginsberg
14 Fellow, correct?

15 A. Yes.

16 Q. And that's a -- that's a -- a -- a
17 credential, isn't it?

18 A. Yes.

19 Q. But that credential doesn't have anything
20 to do with providing abortion or abortion-related
21 services, correct?

22 A. Correct.

23 Q. When you were at medical school, you
24 didn't have any class work that dealt with
25 abortions, did you?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Not that I can recall specifically. It
2 -- there might have been, but I can't recall it.

3 Q. There was a clinical component in your
4 medical education, correct?

5 A. Correct.

6 Q. And none of that involved abortions or
7 abortion services, did it?

8 A. It -- it might have, but only
9 tangentially.

10 Q. Do you remember your deposition being
11 taken on June 24 of this year?

12 A. Yes.

13 Q. Do you recall being asked a question
14 about during your medical education at New York
15 University, did you have a clinical component to
16 that medical education, and do you -- you recall
17 your answer being yes?

18 A. Yes.

19 Q. And then do you recall the question, and
20 can you tell us whether any of that clinical
21 experience at NYU involved abortion services, and
22 do you recall your answer was, it did not?

23 A. Not -- yes. Not -- I -- I thought I had
24 also said that during the course of an OB/GYN
25 rotation, there were a number of D & Cs performed.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Sometimes, those D & Cs, they're -- D-- capital D
2 and C -- sometimes, those are actually abortion
3 procedures that the medical students would not be
4 privy necessarily to the fact that they were early
5 -- you know, first trimester abortions. I thought
6 I said that somewhere. So -- so that's what I
7 meant by tangentially.

8 Q. You observed some of these D & C
9 procedures?

10 A. Correct.

11 Q. But you didn't -- but a D & C procedure
12 can be done for purposes other than termination of
13 a pregnancy, correct?

14 A. Yes, yes.

15 Q. And you don't know whether any D & C
16 procedure that you observed was for purposes of
17 terminating a pregnancy, correct?

18 A. Correct.

19 Q. You had privileges at hospitals in New
20 Hampshire at one point, correct?

21 A. Correct.

22 Q. And you never admitted a patient for any
23 abortion-related services at any of those
24 hospitals, did you?

25 A. It would be inappropriate for a



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 psychiatrist to admit a patient for an
2 abortion-related service.

3 MR. EYE: Move to strike as being
4 unresponsive.

5 PRESIDING OFFICER: Sustained.

6 A. No.

7 BY MR. EYE:

8 Q. And when you had privileges in
9 Massachusetts, you didn't ever admit a patient for
10 abortion services, did you, at any hospital there
11 -- in Massachusetts?

12 A. No.

13 Q. At no time in the course of your private
14 practice have you ever provided an opinion to a
15 patient concerning whether she should receive a
16 late-term abortion in order to preserve her mental
17 health, correct?

18 A. Correct.

19 Q. And you've never provided any such
20 opinion to any other physician, correct?

21 A. Correct.

22 Q. You are an attending psychiatrist at
23 Columbia HCA Reston Hospital, correct?

24 A. I -- I was.

25 Q. And that's in Virginia?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Yes.

2 Q. In the course of being an attending
3 psychiatrist -- or when you were an attending
4 psychiatrist there, you didn't deal with an -- any
5 patients who were seeking abortion services,
6 correct?

7 A. Correct.

8 Q. In fact, at no time during your work with
9 the -- with a -- a -- strike that.
10 You have a relationship with the Psychiatric
11 Institute of District of Columbia, correct?

12 A. I did. I don't -- well, it's the
13 Psychiatric Institute of Washington.

14 Q. I'm sorry.

15 A. That's okay. And I don't any longer, but
16 I did.

17 Q. All right. And during the course of that
18 relationship, you didn't have any occasion to
19 evaluate per -- patients for purposes of late-term
20 abortions, correct?

21 A. Correct.

22 Q. And in the course of your entire
23 practice, you've never evaluated a patient to
24 determine whether an abortion would be consistent
25 with preserving the mental health -- health of a



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **mother, correct?**

2 A. Correct.

3 Q. And you've never done an evaluation to
4 determine whether an abortion would preserve the
5 physical health of a mother, correct?

6 A. Correct.

7 Q. A little geography lesson here, I guess.

8 Nashua is in New Hampshire, correct?

9 A. Correct.

10 Q. And so we already asked about your New
11 Hampshire hospitals and you didn't admit patients
12 for abortions or any abortion-related services
13 there, correct?

14 A. Correct.

15 Q. And Hampstead, is that in Massachusetts?

16 A. No, that's in New Hampshire.

17 Q. Okay. And so we've already answered that
18 question, correct?

19 A. Correct.

20 Q. Charles River, that sounds like a
21 Massachusetts geographic location if I remember my
22 rivers in Boston correctly?

23 A. That is correct.

24 Q. And you had -- you were a -- designated
25 as an attending psychiatrist at Charles River



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Hospital, correct?

2 A. Correct.

3 Q. And you didn't do anything related to
4 abortion services with patients at Charles River
5 Hospital, correct?

6 A. Correct.

7 Q. Now, of all the hospitals that you've
8 been affiliated with, you don't know whether any
9 of them provided abortion services, do you?

10 A. I -- I assume that some of them did not,
11 because they were Catholic hospitals. Other than
12 those, I don't know whether they did or did not.

13 Q. So it'd be fair to say that in terms of
14 your professional affiliations, you've never had
15 any relationship with an institution or health
16 care facility that is included -- as far as you
17 know, included anything -- strike that.

18 You've never had a relationship with any
19 institution or facility --

20 MR. HAYS: Objection, asked and answered.

21 MR. EYE: I'd like to ask the rest of the
22 question perhaps.

23 PRESIDING OFFICER: Fine. Ask the
24 question and then we'll see.

25 BY MR. EYE:

Appin & Biggs

Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. In terms of any facility -- I mean, we
2 haven't listed every institution or facility that
3 you've ever been affiliated with, have we?

4 A. No.

5 Q. Okay. Of all the institutions and
6 facilities that you've had an affiliation with,
7 you've never done anything professionally that
8 would have related to the evaluation of patients
9 for purposes of late-term abortions, correct?

10 A. Correct.

11 Q. You have a long list of articles that you
12 have either authored or been a coauthor on in your
13 CV, is that correct?

14 A. Well, I have --

15 Q. Relatively long?

16 A. -- I have a list, yes.

17 Q. All right. None of those deal -- none of
18 those writings cover abortions or abortion
19 services, correct?

20 A. Correct.

21 Q. You have -- or had, and perhaps you still
22 do, editorial work for **Psychiatric Times Special**
23 **Report on Forensic Psychiatry?**

24 A. Well, that was a one-time edition, but I
25 did that whatever year it says I did it.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. Okay. Would it be 2000 -- and strike
2 that. I'm not sure exactly what year it was. But
3 --

4 A. Yeah.

5 Q. -- none of that had anything to do with
6 abortions or abortion services, correct?

7 A. Correct.

8 Q. You've reviewed a number of books in the
9 course of your professional life, correct?

10 A. I've reviewed some books, yes.

11 Q. And none of those covered abortions or
12 abortion-related services, correct?

13 A. Correct.

14 Q. You were invited to do presentations at
15 various programs and symposiums, correct?

16 A. Correct.

17 Q. And you've never done a -- a
18 presentation, an invited presentation that had
19 anything to do with abortion or abortion-related
20 services, correct?

21 A. Correct.

22 Q. And in the totality of your writings,
23 you've never -- other than related to the reports
24 in this case, you've never had an occasion to
25 produce any material related to late-term



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 abortions, correct?

2 A. Correct.

3 Q. In the course of your practice in any
4 capacity, you've never recommended a termination
5 of a pregnancy for mental health purposes,
6 correct?

7 A. Correct.

8 Q. You've never performed an abortion,
9 correct?

10 A. Correct.

11 Q. And before engaging this matter, you've
12 never done a standard of care analysis for some --
13 for a physician who was providing abortion
14 services or abortion-related services, correct?

15 A. Correct.

16 Q. Now, as I understand it, the -- the --
17 the definition of standard of care that you
18 applied in this case was something that you didn't
19 develop on your own, correct?

20 A. Correct.

21 Q. It was provided to you, correct?

22 A. Correct.

23 Q. Did you do anything independently to
24 determine whether that standard of care that was
25 provided to you accurately reflected the standard



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 of care in Kansas?

2 A. No, not independently.

3 Q. You've never practiced medicine in
4 Kansas, have you?

5 A. No, I have not.

6 Q. You were provided a series of Kansas
7 statutes by counsel for the Board of Healing Arts,
8 correct?

9 A. Correct.

10 Q. And in re -- did you use those statutes
11 as a basis to determine what you believe is the
12 standard of care in Kansas?

13 A. As -- legal statutes, I don't know how to
14 answer the question yes or no. Legal statutes
15 inform the medical standard of care, but do not
16 establish the medical standard of care. So I've
17 used the statutes to understand what the legal
18 requirements are for the -- the elements of
19 medical care that were covered by those statutes,
20 but of themselves, they -- so they inform my
21 opinion, but they were not the basis of my
22 assessment of standard of care.

23 Q. You've never had a patient referred to
24 you from another physician or healthcare provider
25 for purposes of evaluating that patient for a



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 late-term abortion related to mental health
2 reasons, correct?

3 A. Correct.

4 Q. You would agree that the -- after having
5 reviewed the materials that were provided to you
6 for standard of care related to late-term
7 abortion, does not refer or require the finding of
8 an acute psychiatric emergency to justify a
9 late-term abortion, correct?

10 A. Well, the material provided to me didn't
11 specify the standard of care for a late-term
12 abortion.

13 Q. My question was: Did it refer to or
14 require a finding that a patient was suffering
15 from an acute psychiatric emergency in order to
16 justify a late-term abortion for mental health
17 purposes?

18 MR. HAYS: Objection, relevance.

19 PRESIDING OFFICER: Overruled.

20 A. I would have to look at the statute to
21 refresh my memory, because I don't think it
22 mentioned mental health at all, but I could be
23 wrong. As a matter in fact, it says, for
24 substantial and irreversible impairment of a major
25 organ.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 BY MR. EYE:

2 Q. Is -- is it your understanding that that
3 would include a mental health under -- a mental
4 health reason for performing an abortion?

5 A. I understand that it was interpreted that
6 way. I don't know what the intent or the under --
7 of the law was.

8 Q. And you were told that it's been
9 interpreted that way by counsel for the board?

10 A. No. It's -- it's clearly been
11 interpreted that way by reading through Doctor
12 Tiller's and Doctor Neuhaus' records.

13 Q. So you relied on that to -- to determine
14 that mental health -- preserving the mental health
15 of a woman can be a reason for obtaining a
16 late-term abortion, correct?

17 A. I -- I inferred from that, that Doctor
18 Neuhaus and Doctor Tiller considered it to meet
19 the definition that was provided in the statute.

20 Q. And -- and you don't have any reason to
21 differ with that, do you, as a -- as a -- an
22 expert witness in this matter?

23 A. Differ with what specifically?

24 Q. That mental health -- preserving the
25 mental health of a woman can be a reason for



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **performing a late-term abortion?**

2 A. I'm not -- I mean, in rare situations
3 possibly, but it would be extremely rare and
4 unusual. I -- I -- it's very hard to come up with
5 circumstances that would -- of a mental illness
6 for which a late-term abortion or any kind of
7 abortion would be a treatment.

8 **Q. In your opinion?**

9 A. In my opinion.

10 **Q. Does the statutory -- do the statutory
11 provisions that you look at talk about abortion as
12 a treatment? In the statutes that you referred
13 to?**

14 A. In the statutes, they do not refer --
15 refer to abortion as a treatment or an
16 intervention for a mental illness.

17 **Q. You've never counseled or -- or dealt
18 professionally with a 10-year-old pregnant girl,
19 correct?**

20 A. That is correct.

21 **Q. You've never counseled professionally an
22 11-year-old pregnant girl, correct?**

23 A. That is correct.

24 **Q. In fact, the youngest pregnant girl
25 you've ever counseled was 16 years old, correct?**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. That is correct.

2 Q. And that was not for the purposes of
3 seeking an abortion, correct?

4 A. That is correct.

5 Q. You referenced in your direct testimony,
6 practice parameters generated by the American
7 Academy of Child and Adolescent Psychiatry, do you
8 remember that reference?

9 A. Yes, I do.

10 Q. Those are not a standard of care,
11 correct?

12 A. They do not by -- of themselves establish
13 a standard of care. They inform it, but do not
14 establish it.

15 Q. Now, it's your opinion that even with a
16 complete psychiatric evaluation, a mental --
17 strike that.

18 A healthcare provider could never conclude that
19 there was irreversible mental harm that would be
20 caused by carrying a pregnancy to term, correct?

21 A. I'm sorry. Could you repeat the
22 question?

23 Q. Sure. It's -- it's your opinion that
24 even with a complete evaluation, a healthcare
25 provider could never conclude that irreversible



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 mental harm would result from carrying a pregnancy
2 to term, correct?

3 A. Mental harm from a psychiatric disorder,
4 no, it could not.

5 Q. All right. Okay. I want to make sure
6 our -- that -- that our record is clear here.

7 A. Okay.

8 Q. Do -- do you agree that -- that your
9 position is that even with a complete evaluation,
10 a healthcare provider could never conclude
11 irreversible mental harm that would result from
12 carrying a pregnancy to term?

13 A. Yes.

14 Q. You agree with that?

15 A. Yes. Sorry.

16 Q. It's all right. No, it's --

17 A. I got confused.

18 Q. -- sometimes the record gets a little bit
19 unclear and I just want to make sure --

20 A. Uh-huh.

21 Q. -- that we do our best to clarify.

22 It is your opinion that a late-term abortion is
23 not a treatment or intervention for any
24 psychiatric disorder under any circumstances,
25 correct?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. That is correct.

2 Q. And, your view is it even if a healthcare
3 provider concludes that a patient is severely
4 psychiatrically ill, an abortion would not be
5 recommended, correct?

6 A. Well, an abortion might be recommended,
7 but not for the psychiatric disorder. If -- if
8 that woman had a -- or girl had a, you know,
9 physical life-threatening condition in addition to
10 a psychiatric disorder, then somebody might
11 recommend a late-term abortion, but it wouldn't be
12 for the psychiatric disorder.

13 Q. My question was strictly the psychiatric
14 part.

15 A. Okay.

16 Q. And you would agree that your position is
17 that even if -- even if a physician concluded that
18 a patient was severely psychiatrically ill, an
19 abortion would not be, in your judgement, an abort
20 -- an abortion would not be recommended?

21 A. It would not be recommended as a
22 treatment for psychiatric illness or disorder.

23 Q. And, you -- in -- in your view, there is
24 no significance in terms of determining mental
25 impairment -- strike that.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 You're not an expert in any state statutes or
2 policies regarding late-term abortions, correct?

3 A. That is correct.

4 Q. And you are not an expert on the standard
5 of care in Kansas, correct?

6 A. Standard of care for what?

7 Q. Anything. Medical practice in Kansas.

8 A. Nonpsychiatric medical practice?

9 Q. Let's start with the global. Are you an
10 expert in the standard of care for any aspect of
11 medical practice in the state of Kansas?

12 A. I believe -- well, psychiatry is a
13 subspecialty of medicine. I believe I am an
14 expert in the practice of psychiatry.

15 Q. Do you remember your deposition testimony
16 on June 24, 2011 where you were asked the
17 question, quote, so do you know of any legal or
18 policy -- legal reason or policy reason that says
19 you have to have an emergency to justify a
20 late-term abortion based on health -- mental
21 health considerations, and your response was,
22 yeah, I mean, I'm not an expert in all the state
23 statutes and policies regarding late-term
24 abortions, so I don't know. Do you remember that
25 testimony?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Yes.

2 Q. And then the question that followed up
3 was, are you an expert on any of those, and your
4 answer was no. Are you -- do you stand by that
5 testimony?

6 A. Well, the -- my understanding of the word
7 "those" was statutes and policies. So if -- if
8 that is what those refer to, then I do stand by
9 that.

10 Q. And you -- then you -- the next question
11 was, and you don't consider yourself to be an
12 expert on standard of care in Kansas, correct?
13 And your answer was only in the sense that Kansas
14 is part of the United States of America and I
15 believe that there is a national standard about
16 doing evaluations regardless of whether someone is
17 pregnant or not. So if things are done
18 differently in Kansas, then, no, I'm not an expert
19 in Kansas. Do you remember that testimony?

20 A. Yes.

21 Q. And then the following question was, and
22 you've never undertaken an inquiry to determine
23 what the standard of Kansas -- standard of care is
24 in Kansas, correct? And your answer was no. Do you
25 remember that?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Yes.

2 Q. So you -- you are not an expert on the
3 standard of care in Kansas, correct?

4 MR. HAYS: Objection, misstates the
5 testimony.

6 PRESIDING OFFICER: Well, I -- I don't
7 know that it misstates it, but it doesn't -- it
8 doesn't include all of it.

9 BY MR. EYE:

10 Q. Do you consider yourself to be a -- an
11 expert on the standard of care in Kansas?

12 A. Insomuch as that there is a national
13 standard of care for the conduct of psychiatric
14 evaluations regardless of what the purpose of the
15 evaluation is. And Kansas is part of the United
16 States. So I believe that I am in that sense.

17 Q. But you've never done an -- an inquiry
18 specifically to determine how practitioners in
19 Kansas perform mental health evaluations, correct?

20 A. My -- I have never done an inquiry into
21 that.

22 Q. You've never done any research period
23 into that specific question, have you?

24 A. Not into that specific question. Board
25 certification, training practices, residency



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 requirements are the same everywhere in the United
2 States in terms of their being national standards
3 that must be met.

4 Q. Is there a national standard of care that
5 applies to doing a mental health evaluation for a
6 late-term abortion, that you know of?

7 A. There -- there is no such specified
8 entity and therefore, there can't be a standard of
9 care for that kind of specific evaluation.

10 Q. Would you agree that clinical judgment
11 that's based on the physician's best efforts to
12 understand the presenting problems of a patient
13 and the state of medicine as it bears on those
14 problems as they're presented constitute clinical
15 judgment?

16 A. I'm sorry. You're going to have to
17 repeat the question.

18 Q. Would you agree that clinical judgment is
19 based on the physician's best efforts to
20 understand the presenting problems of a patient
21 and the state of medicine as it bears on those
22 problems as they're presented?

23 A. Not exclusively, but that would be part
24 of it.

25 Q. You would agree that there are examples



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 where best medical judgment is exercised in the
2 absence of documentation that you would consider
3 to be adequate?

4 A. It's possible that it could be.

5 Q. You would agree that in the evaluation of
6 -- of a patient for purposes of rendering a
7 medical opinion or a medical judgment, that there
8 are both subjective and objective parameters that
9 should be considered?

10 A. Correct.

11 Q. Would you agree that in doing a mental
12 health evaluation for purposes of determining
13 whether there would be substantial and
14 irreversible harm to the mental health of a female
15 by carrying a pregnancy to term that both
16 objective and subjective standards come into play?

17 A. They would come into play in any mental
18 health evaluation.

19 Q. So the answer is yes?

20 A. Yes.

21 Q. Now, when you wrote the reports related
22 to the 11 patients in this case that you've
23 testified about the last day or so, you wrote
24 those without consulting the testimony of -- of
25 anybody, particularly Doctor Neuhaus, that derived



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 from the inquisition or the criminal trial of
2 Doctor Tiller, correct?

3 A. Correct.

4 Q. And so when you testified earlier in this
5 proceeding that those materials had some bearing
6 on your opinion, you didn't take that into account
7 when you wrote your reports, correct?

8 A. Correct.

9 Q. And so those transcripts did not form a
10 basis for your medical opinions in this case -- or
11 the information in those transcripts, I should
12 say?

13 A. Didn't form a basis for the opinions in
14 the reports, that is correct.

15 Q. You referenced a -- as we discussed
16 earlier, the American Academy of Child and
17 Adolescent Psychiatry and -- and the -- the
18 guidelines that were generated by that body,
19 correct?

20 A. Well, they're -- they're actually called
21 practice parameters, but I think it's the same.

22 Q. All right.

23 A. For all intents and purposes, it's the
24 same thing.

25 Q. Now, those practice parameters as they



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 were -- the -- the latest version of that -- of
2 those parameters is 2007, correct?

3 A. No.

4 Q. What's the -- what's the most recent?

5 A. The most recent general parameters are 19
6 -- were 1997. The 2007 parameters were for the
7 assessment -- or evaluation of anxiety disorders.

8 Q. Now, in -- in the compendium of -- of
9 those parameters, there's no attempt, is there, to
10 provide guidance to a professional, a -- a
11 healthcare professional as to how to conduct a --
12 an evaluation for purposes of determining whether
13 carrying a pregnancy to term would cause
14 substantial and irreversible health to the female,
15 correct?

16 A. In -- in a general guideline, you would
17 not expect to see such a thing and there is not
18 such a thing.

19 Q. So we couldn't pull those parameters and
20 find guidance on how to conduct such an
21 evaluation, correct?

22 A. We could.

23 Q. That specific kind of evaluation for
24 those specific purposes?

25 A. Well, yes, I think that they would still



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 be relevant.

2 Q. Is there anything in those parameters
3 that -- that cites the late term abort -- or -- or
4 rather, doing an evaluation for purposes of
5 determining whether carrying a pregnancy to term
6 would be -- would cause substantial and
7 irreversible harm to the mental health of the
8 female?

9 A. It does not cite that specific very
10 extraordinarily narrow circumstance. There are
11 general guidelines that are there to be adapted
12 for whatever specific circumstances as per the
13 clinical judgment of the individual. They are a
14 starting point, not a -- not a finishing point.

15 Q. Now, you would agree that whether a
16 patient's mental health would be harmed if they
17 carried a pregnancy to term is not properly a
18 psychiatric question in most circumstances,
19 correct?

20 A. Yes, it's not properly a psychiatric
21 question as framed by that language.

22 Q. You would agree that the late-term
23 abortion issue is not a psychiatric issue,
24 correct?

25 A. I don't know that I -- can you rephrase



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 the question?

2 Q. You would agree that the late-term
3 abortion issue is not a psychiatric issue,
4 correct?

5 A. I -- I don't know that I can answer that
6 question as asked.

7 Q. Again, in your deposition of June 24,
8 2011, do you recall the question that says, have
9 you ever reviewed the literature to determine
10 whether there is empirical evidence to support the
11 statements you've just made, and that statement
12 was, you've never heard -- or there's no research
13 on a circumstance when a psychiatrist would make a
14 recommendation for a late-term abortion? Your
15 answer continues, quote, I have reviewed -- having
16 an issue in gender and psychiatry and reproductive
17 and biological psychiatry, reviewed. One can't
18 say all because that would be unreasonable, but an
19 extreme amount of the literature regarding
20 psychiatric interventions and problems regarding
21 pregnancy, psychiatric illness during pregnancy,
22 adoption issues, postpartum issues, lactation in
23 postpartum, the effects of maternal illness on
24 pregnancies on children already born -- born,
25 there is a huge amount of literature out there and



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 I have reviewed quite a bit of it. I have written
2 about some of it. The late-term abortion issue is
3 not a psychiatric issue. Do you remember that
4 testimony that you gave?

5 A. Yes.

6 Q. Do you agree that the late-term abortion
7 issue is not a psychiatric issue?

8 A. It's -- it's not a psychiatric -- it's
9 not a focus of psychiatric practice or research,
10 no.

11 Q. Would you agree that therapeutic abortion
12 is defined as any of various procedures resulting
13 in the termination of a pregnancy in order to save
14 a life or preserve the health of the mother?

15 A. Yes, I think that is the definition of a
16 therapeutic abortion.

17 Q. But you would agree that as far as your
18 practice of psychiatry, that's not an area that
19 comes up in your practice, that is, the area of
20 the -- the question about therapeutic abortions
21 and their efficacy?

22 A. Well, it can -- the question does come up
23 because pe -- women occasionally undergo -- or
24 more than occasionally, therapeutic abortions and
25 that becomes a mental health issue for them, but



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 not the reverse. It is not a customary practice
2 to conduct a therapeutic abortion for mental
3 health reasons.

4 **Q. You would agree that the law authorizes**
5 **such to happen however, correct?**

6 A. I'm not an expert in the law and I don't
7 know whether it authorizes it or not.

8 **Q. So you proceeded through this entire case**
9 **without any idea about whether -- whether there is**
10 **a right to a therapeutic abortion for -- to**
11 **preserve the mental health of a mother?**

12 MR. HAYS: Objection, relevance.

13 MR. EYE: It -- it -- it goes to the
14 whole question of -- of how she analyzed this
15 case.

16 PRESIDING OFFICER: Well, I'm not sure it
17 does, so the objection is sustained.

18 BY MR. EYE:

19 **Q. Do you recall this testimony?**
20 **Question: Would you agree with the following,**
21 **that a therapeutic abortion is defined as any of**
22 **various procedures resulting in the termination of**
23 **a pregnancy in order to save a life or preserve**
24 **the health of a mother? Answer: You know, again,**
25 **I know there is such a thing as a therapeutic**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 abortion. I know that there are a variety of
2 reasons that people have abortions. I don't know
3 specifically where and how those are defined
4 because that is not an area that comes up in
5 psychiatry under the kinds of circumstances that
6 you're talking about. End quote.

7 Do you remember that testimony?

8 A. Yes.

9 Q. And is that an accurate statement of your
10 view?

11 A. I've -- I've become quite confused about
12 what we're discussing at the moment.

13 Q. Was that your testimony, that --

14 A. That -- you're reading it, I -- I'm
15 assuming you're reading it correctly, it was my
16 testimony.

17 Q. And you had a chance to review this
18 transcript, didn't you?

19 A. Yes, I did.

20 Q. And you made some changes in it, didn't
21 you?

22 A. Yes, I did.

23 Q. But you didn't make any changes in that,
24 did you?

25 A. Well, but I'm not sure out of -- I'm not



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 sure what you're referring to by that.

2 Q. When I -- when we took your deposition,
3 we made an agreement up front in that deposition
4 if there was a question I asked you that you
5 didn't understand, you would ask me to repeat it
6 and make it a -- and make it understandable,
7 correct?

8 A. Yes.

9 Q. And you didn't ask me to repeat that
10 question, did you?

11 A. No. And I'm not asking you to repeat it
12 now, I'm asking you to repeat the question you
13 just asked me, not the question from the
14 deposition. I've become lost as to what you are
15 asking me.

16 Q. Well, just answer the questions that I --
17 that I -- that I ask you.

18 A. I'm trying. I -- I've lost the question.

19 Q. Now, you -- in your view, there is no
20 such thing as a psychiatric consult that would
21 relate to an abortion, correct?

22 A. No.

23 Q. It -- it -- I'm sorry. You -- you -- you
24 believe that there are psychiatric consults that
25 relate to abortions?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. There could be.

2 Q. Your -- in your deposition testimony, I
3 asked you a question. It said, have you ever
4 referred a patient of yours to an abortion
5 provider for abortion services or an abortion
6 consult? And your answer is?

7 A. No.

8 Q. Quote, in my experience, in my practice,
9 there is no such thing as an abortion consult. Do
10 you remember that testimony?

11 A. Yes.

12 Q. So is that the case, that there's no such
13 thing as an abortion consult?

14 A. Didn't that question say referred to
15 another practitioner for an abortion consult or
16 did it say --

17 Q. Have you ever referred a patient -- this
18 is the question.

19 A. Okay.

20 Q. Have you ever referred a patient of yours
21 to an abortion provider for abortion services or
22 an abortion consult? And your answer was, in my
23 experience, in my practice, there is no such thing
24 as an abortion consult. If you have -- if you --
25 you say -- if you have a pregnant patient and the



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 patient has issues or problems, refer them to the
2 appropriate person to help them address those
3 problems. Have you ever referred a patient for
4 purposes of getting a consultation about an
5 abortion?

6 A. Not specifically about an abortion.

7 Q. Okay.

8 A. But about concerns regarding a pregnancy
9 and an abortion may arise as an intervention
10 that's necessary.

11 Q. But you've never done such, a -- a con --
12 a re -- a -- a referral for that purpose, correct?

13 A. It's hard -- I -- not specifically for an
14 abortion.

15 Q. Now, in your work on this case, you came
16 to it with a -- a view that the question about the
17 -- the appropriateness of a late-term abortion is
18 not a psychiatric issue, correct?

19 A. Again, I -- I don't know -- when you say
20 appropriateness, I'm not sure what you mean.

21 Q. Whether an -- an abortion would be a -- a
22 -- a -- an appropriate intervention?

23 A. It's not a -- it's not a therapeutic
24 intervention for any psychiatric disorder or
25 diagnosis. It is not a standard intervention in



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 -- for those reasons.

2 Q. But you would agree, wouldn't you, that a
3 woman has the right to choose an abortion if she
4 meets the legal requirements for such, correct?

5 A. As a choice, certainly.

6 Q. It's just not something you personally
7 would recommend, correct?

8 A. It's not -- it's not a -- a -- a
9 psychiatrist's place to recommend a specific
10 course of action for any individual.

11 Q. Such as to get an abortion?

12 A. Yes. That it -- it would be highly
13 inappropriate to -- as a doctor, direct someone
14 who is puzzled about what to do to specifically an
15 abortion, outside a discussion of all of the
16 possible options of -- of how to address their
17 issues about their pregnancy.

18 Q. I think we covered this a moment ago, but
19 I -- I want to make sure that the record's clear.
20 Would you agree that an unwanted teenage pregnancy
21 carries a lot of risk with it?

22 A. Can you define risk?

23 Q. Would you agree with the statement that
24 unwanted teenage pregnancy carries a lot of risk?

25 A. Can you define risk?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. Can you answer my question?

2 A. Not as presented.

3 Q. Do you remember your deposition testimony
4 when you were asked, quote, can you think of any
5 circumstance when it would be advisable for the
6 mental health of a 14-year-old to carry a
7 pregnancy to term? And your answer was, when
8 you're talking about mental health and you're
9 talking about psychiatric disorders, you're
10 talking about two overlapping spheres, but they
11 are not congruent. Okay? You continue, there are
12 all kinds of emotional stress and distress that
13 does not rise to the level of a psychiatric
14 disorder or a psychiatric emergency. You
15 continued, I am highly empathetic to a 14-year-old
16 who wants to get an abortion. I don't think that
17 14-year-olds having babies adds to the quality of
18 their lives or the babies' lives. However, a
19 14-year-old having a pregnancy, an unwanted
20 pregnancy, is not in of itself an indication that
21 they're going to have a major psychiatric disorder
22 or that they have a major psychiatric disorder.
23 And there is no evidence that having an unwanted
24 baby creates an irreversible impairment or
25 substantial impairment that results in a



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 psychiatric disorder. And the question then
2 followed, at least none you know of? And your
3 answer, none that I ever -- have ever seen
4 reviewed in the literature. And postpartum
5 disorders is something that I have expertise in.
6 Unwanted teenage pregnancy carries a lot of risk
7 to it. Most of them are social risks and medical
8 risks, but they are not acute psychiatric
9 emergencies. Do you remember that testimony?

10 A. Yes.

11 Q. So you were able in -- in that testimony
12 to articulate that teen -- unwanted teenage
13 pregnancies carry risks?

14 A. Well, I defined the categories of risk
15 and I differentiated between them.

16 Q. So unwanted teenage pregnancy doesn't
17 carry any psychological -- risk of psychological
18 harm, is that your testimony?

19 A. In the sense that it is not a risk factor
20 for the development of psychiatric disorders. In
21 the sense that it creates problems for an
22 individual and problems cause distress, yes. If
23 you define it as distress, yes. It's distressing,
24 but it doesn't cause a psychiatric disorder
25 typically, it's not a risk factor.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. Would you agree that a medical risk can
2 be the cause of a mental health impairment?

3 A. It would be -- I don't know that I could
4 agree with that statement, you'd have to be much
5 more specific.

6 Q. I believe we've established that -- at
7 least, that the standard of care that you're
8 familiar with in Kansas, that there is no
9 requirement that there be an acute psychiatric
10 emergency to justify a late-term abortion,
11 correct?

12 A. I understand that the statute does not
13 require that. I don't know if the statute creates
14 the legal standard of care, but the statute
15 doesn't require it.

16 Q. In your work in this case, did you come
17 at it with the presumption that late-term abortion
18 could only be justified on mental health grounds
19 if there was an acute psychiatric emergency?

20 A. No.

21 Q. So there are other reasons other than
22 acute psychiatric emergencies that would justify a
23 late-term abortion, correct?

24 A. Psychiatric reasons?

25 Q. Yes.



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Possibly.

2 Q. All right. In terms of doing mental
3 health evaluations for purposes of determining
4 whether the -- carrying a pregnancy to term would
5 cause substantial and irreversible harm to a woman
6 -- to a female's mental health, would you agree
7 that to do those evaluations, at least in your
8 opinion, it requires somebody that has the same
9 degree of skills a mental health specialist?

10 A. I think to do any complex psychiatric or
11 mental health evaluation, you need the same degree
12 of skill as a mental health specialist would bring
13 to a set of unique circumstances that constitute a
14 complex evaluation.

15 Q. So is -- is your testimony that a -- an
16 internal medicine specialist does not have the
17 same degree of skill as a mental health
18 specialist?

19 A. They could if they had the appropriate
20 clinical training and experience.

21 Q. And in terms of doing a comparison of
22 those skills, you would agree that in order to
23 make that comparison, you would either observe
24 that physician or ask the physician what they've
25 done or look at the documentation or some



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 combination of -- of two of those three or all
2 three, correct?

3 A. Not -- no.

4 Q. Do you remember your testimony in your
5 deposition when you were asked, and how would you
6 determine the level of skill of an OB/GYN who sees
7 patients compared to a mental health specialist
8 who sees patients, how do you make that comparison
9 of skill levels? And your answer was, quote,
10 well, you either observe them or you ask them what
11 they've done or you look at their documentation of
12 what they've done or any of the combin -- of -- of
13 the above in combination. Do you remember that
14 testimony?

15 A. Yes, I do.

16 Q. And doesn't that testimony imply that you
17 would have to do at least two of those three in
18 order to assess the skill level of a physician who
19 is conducting a mental health evaluation for
20 purposes of determining whether a woman is an
21 appropriate candidate for a late-term abortion?

22 A. Whoa.

23 MR. HAYS: Objection, misstates her
24 previous testimony.

25 MR. EYE: Well, I'm asking a question,



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 it's -- it's not quoting her testimony.

2 PRESIDING OFFICER: Ask the question
3 again.

4 A. You -- you went a little too fast for me
5 to follow.

6 BY MR. EYE:

7 Q. Would you agree that in order -- that --
8 that in your view, to evaluate the skill levels of
9 a nonmental health specialist, a psychiatrist,
10 let's say, but whose -- but that nonmental health
11 specialist, let's say an OB/GYN, is cast in the
12 role of doing a mental health evaluation. You
13 would agree that in order to come -- to determine
14 whether that person's skill levels, the
15 nonspecialist health -- mental health specialist,
16 that is, were appropriate, you would either
17 observe them or ask them what they've done or look
18 at their documentation or any of the above in
19 combination? The above being those three factors.

20 A. Yes, that -- that was not a complete
21 answer.

22 Q. That was the answer you gave though,
23 wasn't it?

24 A. That -- that is correct.

25 Q. And you had an opportunity to review this



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 transcript, didn't you?

2 A. Yes, I did.

3 Q. And you didn't make any changes to that
4 part of the transcript, did you?

5 A. No, I didn't.

6 Q. And you read the transcript?

7 A. Yes, I did.

8 Q. And I think we've already -- I think it's
9 -- it goes -- I think we -- we know, but I think
10 for purposes of the record, we need to establish
11 that you never spoke with Doctor Neuhaus about any
12 of these 11 patients that -- whose charts you've
13 reviewed, correct?

14 A. That is correct.

15 Q. And you've never observed her practice,
16 correct?

17 A. That is correct.

18 Q. So you evaluated her practice related to
19 these 11 patients by considering only one of the
20 three parameters that you cited as a way to
21 determine whether her skills were adequate,
22 correct?

23 A. That is correct as stated, but the answer
24 was not correct -- not complete.

25 Q. And you didn't evaluate her for her skill



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 level as a practice -- that is, Doctor Neuhaus as
2 a practicing physician as a obstetrics and
3 gynecologist person, correct -- practitioner?

4 A. I'm sorry. Can you repeat that again?

5 Q. You -- you didn't evaluate Doctor
6 Neuhaus' skills as -- as an OB/GYN, did you?

7 A. No, I did not.

8 Q. And do you -- you agree that physicians
9 who practice in obstetrics and gynecology do
10 provide mental health evaluations for pregnant
11 women, correct?

12 A. At times, they do.

13 Q. And so you would agree that it's within
14 the scope of an OB/GYN's skills to counsel
15 patients about mental health issues related to
16 pregnancy, correct?

17 A. It -- it can be.

18 Q. The -- all the -- the patient charts that
19 you reviewed came from 2003, correct?

20 A. Correct.

21 Q. Do you happen to recall how many times
22 Doctor Neuhaus went to Women's Health Care
23 Services in Wichita to do consultations in 2003?

24 A. From her testimony?

25 Q. Yes, or whatever source, but I presume



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 it's from her testimony.

2 A. Yes. I think she said 40 to 50 times and
3 I think people pretty much settled it at
4 approximately once a week, and there may have been
5 some weeks she didn't go.

6 Q. And that at each time that she went there
7 on the average, she would evaluate five or six
8 patients? Again, on the average.

9 A. I thought it said seven or eight, but
10 that's --

11 Q. Okay.

12 A. -- we're in the ballpark.

13 Q. All right. Now, you -- it's your
14 position that there is really not a justifiable
15 abortion based on the preservation of the mental
16 health of the mother, except in extreme
17 circumstances, correct?

18 A. I'm sorry.

19 MR. HAYS: Asked and answered.

20 PRESIDING OFFICER: I --

21 MR. HAYS: It's been a while back, but he
22 already went through this.

23 MR. EYE: I -- I don't think we got into
24 the circumstances that she would -- that she would
25 make such a recommendation. I don't think I -- I



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 think I carved that part out.

2 PRESIDING OFFICER: Overruled.

3 A. I'm sorry. Could you ask the question
4 again?

5 BY MR. EYE:

6 Q. Sure. It's your position that there's
7 really not a justification to an -- to do an
8 abortion based on preservation of the mental
9 health of the mother, correct?

10 A. Again, there would have -- have to be
11 extreme circumstances.

12 Q. Now, that's -- that's your view as a
13 psychiatrist, correct?

14 A. I am a psychiatrist and that is my view.

15 Q. But it's ultimately the female's choice
16 or in consultation with her physician, and if it's
17 the case of a minor, with her parent or guardian,
18 correct, whether to have that procedure?

19 A. If she's legally entitled to it, she, you
20 know -- for whatever reason, if she's legally
21 entitled, she should be able to have it.

22 Q. And it's just not something you
23 personally recommend?

24 A. As --

25 Q. Ever?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. -- as an intervention or treatment for a
2 psychiatric disorder, no.

3 **Q. Nor to preserve the mental health of the**
4 **mother, correct?**

5 A. Well, you would have to define that on a
6 case-by-case basis as to what exactly the
7 intervention would be pre -- be averting or
8 creating. What does preserving the mental health
9 mean? And that is going to be very specific on a
10 case-by-case basis. So --

11 **Q. So case-by-case is -- is -- is your -- is**
12 **your testimony, that you'd have to evaluate these**
13 **on a case-by-case basis?**

14 A. You -- you -- yes.

15 **Q. Do you remember your deposition testimony**
16 **in response to this question? So is it your**
17 **position that there really is not a justifiable**
18 **abortion based on preservation of mental health of**
19 **the mother? Your answer, no, there has can be**
20 **some extreme circumstances, but they would be**
21 **really extreme. For example, someone -- someone**
22 **who is acutely suicidal who might be saying, you**
23 **know, if I have this baby, then I will kill**
24 **myself, period. Then you continue, now, to me as**
25 **a psychiatrist, that would call for psychiatric**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 hospitalization, not necessarily for late-term
2 abortion. Late-term abortion is not an
3 intervention that any psychiatrist would recommend
4 for any reason other than, I think, immediate
5 medical danger. Because for any suicidal patient,
6 regardless of the answer, you would try to
7 hospitalize them, psychiatrically hospitalize
8 them. Then you continue, so I can't think of too
9 many. You say, then, I mean, there is no
10 psychiatric reason I can really think of for which
11 hospitalization wouldn't be an intervention rather
12 than a late-term abortion to preserve the mental
13 health of the mother. Do you remember that
14 testimony?

15 A. Yes.

16 Q. So that's -- that sounds pretty
17 categorical in terms of when you say you can't
18 really think -- you can't really think of any
19 psychiatric reason that would be justified to do a
20 late-term abortion rather than hospitalization,
21 correct?

22 A. The circumstances that I can think of as
23 I was thinking through that answer, constitute a
24 psychiatric emergency. I -- I can't think of any
25 circumstances, absent a psychiatric emergency.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 When someone has a psychiatric emergency, the
2 typical intervention is to consider
3 hospitalization. So as I try to think of
4 circumstances which -- for which you would refer
5 somebody for a late-term abortion to preserve
6 their mental health, the first thing I come up
7 with over and over again is psychiatric
8 hospitalization. So, I -- I mean, I don't know
9 how to answer it better than that.

10 Q. Yeah. How about this? That's really a
11 choice of -- of treatment modalities, isn't it,
12 between referring a patient for a late-term
13 abortion or hospitalizing the patient, correct?
14 That's a choice that --

15 A. For --

16 Q. -- that a physicians would -- would
17 recommend or would posit to a patient?

18 A. No, I can't imagine.

19 Q. So notwithstanding the fact that there's
20 -- if you accept the premise that a woman has a
21 constitutional right to a late-term abortion under
22 certain circumstances, you wouldn't ever find it
23 psychiatrically justified, correct?

24 A. No. I -- I would be willing to consider
25 any given set of circumstances, I just can't think



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 of one. But if I were to evaluate someone and it
2 became clear that the only intervention that would
3 avert permanent harm or damage was an abortion, I
4 would certainly think about that as an
5 intervention. I just can't think of what those
6 circumstances might be. I -- I'm not
7 categorically denying that there might be some set
8 of circumstances out there in the world.

9 **Q. Because you're certainly not omniscient**
10 **on this --**

11 A. Correct.

12 **Q. -- in this, correct? Okay.**

13 MR. EYE: Your Honor, I apologize. I --
14 I've -- I've managed to lose my place and I'm --
15 I'm attempting to -- to track back and -- and find
16 it. I -- and I apologize for the delay. I'll --

17 BY MR. EYE:

18 **Q. Doctor, would you agree that an unwanted**
19 **teenage pregnancy has the potential to cause harm**
20 **to the female who's pregnant?**

21 A. It's a -- it's a very broad term, harm.
22 Can you --

23 **Q. I -- I -- I just -- the -- the -- in --**
24 **in a general sense, would you agree that an**
25 **unwanted teenage pregnancy has the potential to**



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 harm the mother?

2 A. Any pregnancy has the potential to harm a
3 mother, so, yes.

4 Q. Let's deal with the -- some of the
5 evaluation techniques that were used on this -- on
6 -- on many of the patients that -- that you
7 reviewed the charts for in this case. Let's start
8 with the -- the global assessment of functioning,
9 the so-called GAF or GAF.

10 A. GAF.

11 Q. Okay. You use the GAF in your practice,
12 don't you?

13 A. Yes, I do.

14 Q. And the GAF is not used in isolation,
15 it's used as a -- as a part of other -- or as a
16 part of evaluation techniques, correct?

17 A. Correct.

18 Q. Or assessment techniques?

19 A. Correct.

20 Q. Now, is the DSM that we've referred to --
21 or DSM-IV, does that axis system that you've
22 described, does that set out a standard of care?

23 A. It informs a standard of care, it does
24 not of itself create or set a standard of care.

25 Q. And it would be your opinion that the



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 standard of care for evaluating a patient for a
2 late-term abortion can be satisfied without using
3 the GAF, correct?

4 A. Correct. The standard of care for a
5 psychiatric evaluation of any kind can be
6 satisfied without using a GAF.

7 Q. And you recognize that there are
8 physicians who do mental health evaluations who
9 don't use the GAF at all, correct?

10 A. Yes, I -- I'm sure there are.

11 Q. And you testified about that in your
12 deposition, correct?

13 A. Yes.

14 Q. And in terms of looking at the -- or
15 using the -- the axes in DSM, one could arrive at
16 a justifiable diagnosis by using only Axis I and
17 II, correct?

18 A. I'm sorry. When you say justifiable
19 diagnosis, can you --

20 Q. A -- a -- a diagnosis that's supportable?

21 A. A supportable diagnosis, you could.

22 Q. I'm sorry. What?

23 A. Yeah. I mean, you could. It would not
24 -- depending on the circumstances that might or
25 might not meet the standard of care, but you



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 could.

2 Q. And you could prescribe -- you could
3 prescribe medicine for a psychiatric disorder or
4 illness using only Axis I and II to arrive at a
5 diagnosis, correct?

6 A. Well, you could, but that definitely
7 might not meet the standard of care.

8 Q. But one could do that?

9 A. One can do anything, but it doesn't
10 necessarily mean it's a good idea.

11 Q. But it would be within the standard of
12 care?

13 A. It depends on the circumstances.

14 Q. And a practitioner could use Axes I, II
15 and III and not do any further evaluation other
16 than just what -- what would apply under those
17 three axes, correct, and arrive at a supportable
18 diagnosis?

19 A. Okay. Well, the axes are the conclusion,
20 they are not the assessment tools. So that the
21 way you're asking the question implies that you're
22 only using Axis I, II -- or I, II and III. The
23 way it works is, you do the evaluation and then
24 you document your assessments using -- the
25 assessments are your -- the diagnoses and the axes



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 are your conclusions and -- and often the support
2 for those conclusions can be notated there. So
3 the way you're asking the question assumes a
4 process that doesn't actually happen.

5 Q. Well, in -- in terms of evaluating a
6 patient from the perspective of Axes I, II and
7 III, using whatever assessment techniques would be
8 -- whatever techniques might be used to assess a
9 patient for Axes I, II and III, one could do those
10 assessments under those three axes and arrive at a
11 supportable diagnosis, correct?

12 A. The evaluation doesn't preclude -- the
13 evaluation is the same regardless of how many axes
14 you fill out, it's just that some people don't
15 bother or it's not necessarily relevant to use the
16 other ones to describe a psychiatric disorder.
17 But you could not, for example, get to a
18 diagnostic conclusion about the presence of a
19 psychiatric diagnosis without some assessment of
20 functioning, even if you didn't actually document
21 it with the GAF rating. So I'm not quite with
22 you.

23 Q. I guess the point of my question is that
24 irrespective of whether one makes an attribution
25 to DSM, if the functional purposes that are



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 anticipated to be evaluated under those various
2 axes, if they're done, even without saying, this
3 is pursuant to DSM, that's really consistent with
4 the standard of care, isn't it, in doing an
5 evaluation for, in this case, a late-term
6 abortion?

7 A. I'm sorry. I -- I don't understand your
8 question.

9 Q. Well, let's move on. You agree that a
10 distressing psychosocial situation can create a
11 situation where a person could develop a
12 psychiatric disorder, correct?

13 A. It's possible.

14 Q. In fact, you agree that life stressors
15 can result in psychiatric disorders, correct?

16 A. Typically, they contribute, they can
17 contribute to the development of the disorder.
18 There are only certain disorders where there's a
19 direct causal relationship. But they certainly
20 can contribute to the develop -- development of
21 disorders.

22 Q. And you would agree that an unwanted
23 pregnancy could result in a psychiatric disorder,
24 correct?

25 A. It could. A wanted pregnancy could



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 result in a psychiatric disorder.

2 Q. My question was: An unwanted pregnancy
3 could result in a psychiatric disorder, correct?

4 A. Any disorder can, so any -- any pregnancy
5 can result in a psychiatric disorder potentially,
6 so, yes.

7 Q. But in your view, treatment of that
8 psychiatric disorder is not -- it -- it would not
9 be -- it would not be consistent, in your view,
10 with standard of care for a late-term abortion to
11 be performed because there's a psychiatric
12 disorder that has had its genesis, its org -- its
13 origin from an unwanted pregnancy, correct?

14 A. That is a -- an abortion of any kind,
15 late term or not, is not a psychiatric treatment
16 for any psychiatric disorder regardless of it's
17 genesis. An abortion that resolves distress
18 related to a pregnancy is a situational
19 intervention for a situational problem, but not
20 necessarily a psychiatric disorder.

21 Q. But it could be a psychiatric disorder --

22 A. It --

23 Q. -- that's being addressed?

24 A. Not by an abortion.

25 Q. So the fact that a -- a woman seeks an



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 abortion to preserve her mental health, if a
2 practitioner agrees that that should be done, you
3 would consider that to be outside the standard of
4 care?

5 A. Again, I am open to considering
6 circumstances on a case-by-case basis. I simply
7 cannot think of the circumstances that would lead
8 to that chain of events as you describe them.

9 Q. We deviated from the GAF for a moment,
10 but let me resume that. Would you agree that the
11 GF -- GAF has both objective and subjective data
12 that are a -- a part of it?

13 A. Yes.

14 Q. Have you acquired any knowledge in the
15 course of working on this case or any other
16 source, for that matter, about how practitioners
17 in Kansas utilize the GAF for purposes of
18 assessing the mental health of a patient?

19 A. Not specific to Kansas, no. The -- the
20 GAF is in the DSM. The DSM is the same DSM in
21 Kansas as it is anywhere else.

22 Q. Would you agree that a physician can
23 diagnose and treat a psychiatric disorder without
24 relying on the DSM-IV for purposes of treating a
25 patient?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Could you say that again?

2 Q. Sure. Would you agree that a -- a
3 physician can make a diagnosis of a psychiatric
4 disorder and treat, including prescribe drugs for
5 that, without specifying that their diagnosis
6 relates back to the DSM?

7 A. You mean without actually citing the DSM?

8 Q. Well, let's -- let's do that first,
9 without actually citing the DSM?

10 A. Okay. You don't have -- you don't have
11 to cite the DSM as a reference for every time you
12 make a diagnosis, no.

13 Q. And, in fact, a -- a physician could,
14 based upon subjective evaluation of a patient,
15 arrive at a -- at a supportable diagnosis based on
16 subjective factors, arrive at a diagnosis of a
17 psychiatric disorder and treat it accordingly,
18 correct, based on subjective data alone?

19 A. They could, but typically, that would be
20 outside the standard of care.

21 Q. And it would be your position that that
22 would have to be augmented by some sort of
23 objective data, such as blood pressure and body
24 temperature and vital signs, correct?

25 A. Well, in subjective data, it refers



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 primarily to what the person tells you and not to
2 what is observable or reported or documented by
3 other people. So for someone to come in and say,
4 doctor, I'm depressed, and for that person to say,
5 okay, based on you're what you're telling me, I
6 diagnose a major depression and prescribe a
7 medication, that would not be a psychiatric
8 evaluation or a supportable diagnosis and should
9 not form the basis of treatment. That's
10 subjective information only --

11 **Q. Right. And --**

12 A. -- without consideration of any other
13 factors that might be contributing.

14 **Q. So in your view, it would require at**
15 **least some inquiry from the physician to the**
16 **patient to essentially determine the nature of the**
17 **symptoms to determine whether they are consistent**
18 **with the diagnosis of, let's say, major**
19 **depression?**

20 A. Well, as a starting point, they would
21 have to be consistent or -- they -- should be
22 consistent for -- to come up with a diagnosis as a
23 starting point.

24 **Q. Is it your view that the standard of care**
25 **is based on what the average practice -- what the**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 average skilled practitioner in the field does,
2 whether it's in a general field or a specialized
3 field, average care?

4 A. My understanding of the standard of care
5 is that if you undertake a certain type of medical
6 practice, that the standard of care is that you
7 have to perform that practice with the degree and
8 skill of a specialist if it's a specialized area
9 of care.

10 Q. Do you remember testifying, quote, my
11 understanding of the standard of care is based on
12 my understanding that it is the average care
13 provided by the average skilled practitioner in a
14 field, whether it's a general field or a
15 specialized field? Do you remember that
16 testimony?

17 A. Yes, that is true.

18 Q. And you agree with that?

19 A. I do agree with that.

20 Q. The DTREE tool, for lack of a better
21 description at this point, had you had any
22 experience with it at all prior to this case?

23 A. No, I'd never seen it.

24 Q. And the DTREE, as I understand your
25 description of it, has its origins or the authors



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 of the -- the DSM-IV have some -- have had some
2 role in developing the DTREE as well, correct?

3 A. It appears so, yes.

4 Q. And you would consider that the authors
5 of the DSM-IV are competent, I presume?

6 A. Yes.

7 Q. And so if they develop the DTREE as a
8 diagnostic tool, does that affect your -- your
9 opinion about its usefulness as a -- as a
10 technique of analysis for mental health disorders?

11 A. The fact that they are the authors of it,
12 does that affect my opinion of it?

13 Q. Yes.

14 A. No.

15 Q. And at any rate, you've never used the
16 DTREE in your practice, correct?

17 A. No.

18 Q. It's a teaching tool -- and I think you
19 described it as a teaching tool?

20 A. Well, it can be either used for teaching
21 or as an mnemonic device to help people remember
22 the kinds of questions they're supposed to ask.

23 Q. And in -- in that regard, as a mnemonic
24 device, it does have the capacity then to cover
25 parameters of information that would be useful in



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **arising at a diagnosis, correct?**

2 A. Yes.

3 Q. **And the -- the DTREE is an algorithm,**
4 **correct?**

5 A. Correct.

6 Q. **And it can then be used to help rule out**
7 **certain indications of a diagnosis, correct?**

8 A. If -- if the -- if the answers are
9 accurate to the yes or no questions.

10 Q. **Accurate meaning truthful?**

11 A. No, just accurate meaning correct.

12 Q. **Accurate meaning correctly recorded by**
13 **the practitioner as to the binary yes or no?**

14 A. They have to be accurate, I don't know
15 how else to say it. I mean, these are not really
16 yes or -- I mean, the way they're put in there is
17 as a yes or no question, but they're not really
18 yes or no questions clinically. Because just to
19 use a typical example, a question with the
20 conjunction "or" in it is not ultimately a yes or
21 no question except in the broadest sense.

22 Q. **Your view is that a person that has a**
23 **diagnosis of a psychiatric disorder should be**
24 **treated with, for example, counseling?**

25 A. Possibly.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. Medication?

2 A. Possibly.

3 Q. Psychosocial support?

4 A. Possibly.

5 Q. Is it your view that if the diagnosis
6 that -- that is made that a -- a practitioner
7 would make has in -- includes the consideration of
8 carrying a pregnancy to term would have adverse
9 consequences for the mother and so that an
10 abortion would be recommended, is that a -- in
11 that circumstance, would the -- would you view a
12 late-term abortion as a reasonable intervention or
13 as an appropriate intervention?

14 A. I'm sorry. Could you re --

15 Q. Sure. In the instance when a
16 practitioner determines that the carrying -- that
17 carrying a pregnancy to term would have an adverse
18 effect -- let's be more specific -- would have an
19 irreversible substantial adverse consequence to a
20 mother's mental health, would you agree that in
21 that circumstance, an abortion would be an
22 appropriate and reasonable intervention?

23 A. If -- if who determined that?

24 Q. A practitioner, a -- a medical
25 practitioner.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Again, it would depend on the
2 circumstances and -- and the -- and the
3 qualifications and the -- and the training, et
4 cetera, of the practitioner. I mean, by virtue of
5 -- of practice, that doesn't make one's
6 recommendation necessarily reasonable. Again. It
7 really depends on the circumstances. So it
8 possibly -- it's possible.

9 Q. **Is it your view that you don't believe**
10 **that it is within a standard of care for**
11 **psychiatrists in some instances to refer a patient**
12 **for an abortion?**

13 A. It's not within the standard of care for
14 a psychiatrist to direct a patient to any course
15 of action, whether it's an abortion, a divorce, a
16 marriage, cosmetic surgery, anything.

17 Q. **It's still up to the patient to choose,**
18 **if the patient's competent to do so, correct?**

19 A. Correct. It is the psychiatrist's
20 obligation to help the patient think through and
21 consider the options that are available to them.
22 Those options might be an abortion, might include
23 an abortion and the patient might choose to pursue
24 that option. But to use one's standing as a
25 doctor to recommend a life-altering action, a



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 wedding, marriage, divorce, giving up a child for
2 adoption, having an abortion, undergoing an
3 elective surgery, et cetera, it would be
4 inappropriate to use your role as a care provider
5 to influence someone in that way by saying, I'm
6 referring you for an abortion, I'm referring you
7 for cosmetic surgery, because you have an issue
8 that you don't like the way your nose looks, I'm
9 going to refer you for cosmetic surgery. You
10 discuss what their issues are and what their
11 options are and what they'd like to do about it
12 and discuss the pros and cons of cosmetic surgery
13 in the context of all the other options they might
14 have.

15 **Q. Let's not talk about other cosmetic
16 surgeries, let's talk about abortions.**

17 A. Oh, okay.

18 **Q. You've never advised a patient that it
19 would be medically recommended that an abortion
20 would be a treatment option, correct?**

21 A. Not for a psychiatric disorder.

22 **Q. In other words, a mental health reason?**

23 A. Correct. Mental health, meaning on the
24 level of a psychiatric disorder and not on the
25 level of a psychosocial or situational stress.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. Well, but we've already established that
2 you agree that psychosocial stressors can -- can
3 include an unwanted pregnancy, correct?

4 A. It can include a wanted pregnancy.

5 Q. We established -- my question is: It
6 includes an unwanted pregnancy, correct?

7 A. A -- an -- an unwanted pregnancy is
8 certainly almost by definition a psychosocial
9 stressor.

10 Q. And a -- a psychosocial distress --
11 stressor can cause a psychiatric disorder,
12 correct?

13 A. No. Typically, it can contribute to the
14 development of a psychiatric disorder, except in
15 -- except in, again, very unusual circumstances.
16 I shouldn't say very unusual, but absent a direct
17 -- a direct -- for example, a -- an assault by a
18 parent, okay, that's a psychosocial stressor, but
19 it also includes an assault, okay?

20 Q. Do you remember this testimony at your
21 deposition? You said, quote, life stressors can
22 result in psychiatric --

23 THE REPORTER: I'm sorry. Psychiatric?

24 BY MR. EYE:

25 Q. Sure. Quote, life stressors can result



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 in psychiatric disorders, and certainly an
2 unwanted pregnancy could result in a psychiatric
3 disorder, end quote. Do you remember that
4 testimony?

5 A. Yes. And I -- I think I repeated it. It
6 could.

7 Q. Let's talk a little bit about Patient 2
8 for -- at this point. Patient 2 is a 10-year-old
9 girl, correct?

10 A. Is it okay if I --

11 Q. Oh, absolutely.

12 A. -- refer --

13 Q. Of course.

14 A. -- somewhere?

15 THE WITNESS: Would it be okay if we took
16 a quick break before we dive in?

17 MR. EYE: Yeah, that's fine with me.

18 (THEREUPON, a recess was taken.)

19 BY MR. EYE:

20 Q. Doctor Gold, we -- just before we broke,
21 we were looking at the characteristics of Patient
22 2. You would agree that Patient 2, at the time in
23 2003 when evaluated by Doctor Neuhaus, that
24 Patient 2 was a 10-year-old and had been the
25 victim of incest and rape, correct?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. That is what her record indicated, yes.

2 Q. **Speaking of records, digress for a**
3 **moment. Do you know where these records that --**
4 **that you looked at for this case, where they**
5 **originated?**

6 A. Well, I got them from the Kansas board.

7 Q. **Do --**

8 A. Beyond that, I don't know their
9 providence, so to speak.

10 Q. **So you don't know how it came to pass**
11 **that the -- the charts that you reviewed were**
12 **selected?**

13 A. No, I do not.

14 Q. **Or how they were obtained by the Board of**
15 **Healing Arts?**

16 A. No, I don't know what their process is
17 for obtaining records.

18 Q. **Or anybody else who may have obtained**
19 **these records properly or improperly, correct?**

20 A. I -- I don't understand that last part.

21 Q. **Yeah. Do you know whether there was any**
22 **-- whether there were any improprieties associated**
23 **with acquisition of these particular records that**
24 **you've reviewed?**

25 MR. HAYS: Objection, outside the scope



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 of direct.

2 MR. EYE: Well, we're dealing with --
3 we're dealing with records generally, so I think
4 --

5 PRESIDING OFFICER: Overruled.

6 BY MR. EYE:

7 Q. Do you -- are you aware of any
8 improprieties associated with these records as to
9 how they came to be known to anybody outside the
10 practitioners that were dealing with these
11 patients?

12 A. No, I'm not aware of anything.

13 Q. Again, Patient 2. And I apologize for
14 the -- for the break in that. Would you agree
15 that -- that a 10-year-old carrying a pregnancy to
16 term carries with it the risk of substantial and
17 irreversible damage to that child's mental health?

18 A. I -- I cannot categorically agree to
19 that, although I -- I mean, it's clearly a -- a
20 horrifying situation. I cannot categorically
21 agree that carrying the child to term causes
22 irreversible and substantial harm to their mental
23 health.

24 Q. With a 10-years-old?

25 A. Of -- if 10, 20, 40, 50.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. No, I'm just -- I'm just talking about
2 the 10-year-old in this case.

3 A. Yes. Categorically, I cannot state that.
4 There's a -- a high possibility, but I cannot
5 absolutely cat -- is it a good thing? No. But
6 that doesn't mean that it's the same thing as
7 substantial and irreversible harm to their mental
8 health.

9 Q. You would agree that a specific child
10 could develop severe emotional problems from -- a
11 10-year-old child as a result of carrying a
12 pregnancy to term, correct?

13 A. It's -- it's certainly possible.

14 Q. And you've never had an occasion to treat
15 a 10-year-old pregnant girl, correct?

16 A. I would not undertake such a -- a
17 patient. It requires a level of skill that -- and
18 -- and clinical training that I don't have.

19 Q. But --

20 A. In this particular case, the rape and
21 incest is -- is at least equally, if not more
22 likely, to be damaging than the pregnancy, which
23 adds a level of complexity to the evaluation and
24 treatment of this patient, aside from her age.

25 Q. And the rape and -- and incest that



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 caused this 10-year-old girl to be pregnant, would
2 there -- would that be a so-called gatekeeper
3 incident or event?

4 A. It -- it could be, depending -- yes, I
5 mean, it -- it could be, without question.

6 Q. And you would agree that -- that in some
7 cases, a 10-year-old child carrying a pregnancy to
8 term would cause substantial and irreversible harm
9 to her mental health?

10 A. It's possible.

11 Q. I want to talk a little bit about the --
12 the MI and -- and again, sort of general terms
13 here.

14 A. Okay.

15 Q. The purpose of the MI is to survey
16 various categories of behaviors to determine
17 whether any of those indicate that there might be
18 abnormalities in a person's mental health,
19 correct?

20 A. Well, I've never seen this MI screening
21 previously, but my understanding of what this
22 particular format is is that it is a screening
23 tool that can be used in person or by phone by a
24 member of Doctor Tiller's staff who is not a
25 trained mental health professional to screen for



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 symptom -- for -- I shouldn't say symptoms -- for
2 changes in emotional or behavioral functioning
3 that could represent symptoms of a psychiatric
4 disorder.

5 Q. And you would agree that -- that not
6 necessarily in isolation, but in conjunction with
7 other techniques of analysis, that the use of the
8 SIGECAPSS -- again, it's an mnemonic device, but
9 --

10 A. Correct.

11 Q. -- surveying those particular categories
12 or parameters, that that would be within the
13 standard of care to rely on that information to
14 help form a diagnosis, correct?

15 A. Well, rely depends on one's own
16 evaluation.

17 Q. In other words, if -- if the SIGECAPSS
18 were used by the practitioner, and I -- and I'm --
19 I'm going to assume the SIGECAPSS was completed by
20 one of the staff people -- that document is handed
21 off or record is handed off to practitioner,
22 Doctor Neuhaus, that that would be -- it would be
23 within the standard of care for her to utilize
24 that in conjunction with other methods to arrive
25 at a supportable diagnosis, correct?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. It could be, yes.

2 Q. And that's within the standard of care?

3 A. That could be, yes.

4 Q. And, in fact, the SIGECAPSS covers the
5 minimum level of information that you would need
6 to know to screen for depression, correct?

7 A. As a screening tool, yes.

8 Q. And then the practitioner can use the
9 SIGECAPSS record as a means by which to conduct a
10 face-to-face interview or evaluation?

11 A. Well, it -- one's own -- whether there
12 was a SIGECAPSS or not, that information should be
13 reviewed in a mental health evaluation anyway.
14 But because one has some clues in terms of
15 directions to follow, one would then expand upon
16 the SIGECAPSS information in conjunction with all
17 of the other information that you would get in an
18 evaluation.

19 Q. Now, as I understand your testimony, a
20 proper mental health evaluation would include a --
21 a -- obtaining or reviewing a history of a
22 patient, correct?

23 A. Current and past history, yes.

24 Q. Right. Well, history assumes a
25 retrospective view, correct?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Well, yes, but you can have a history of
2 their current problems started last week and
3 includes this, and then a past history, I had this
4 problem once before two years ago. So there's a
5 current history that's the problem under -- that
6 -- that's brought that person in for treatment or
7 evaluation and then there is their past history,
8 and the two are not necessarily the same.

9 **Q. All right. So a history broken down into**
10 **--**

11 A. Right.

12 **Q. -- past and the history of any present**
13 **presenting problems?**

14 A. Correct.

15 **Q. And it would require in addition to the**
16 **history -- well, what -- in addition to the**
17 **history, what would it require, Doctor?**

18 A. The history, the psychosocial
19 circumstances, family, social functioning, medical
20 history, mental status examination, medical
21 records or treatment records and information from
22 care providers, which becomes increasingly --
23 which is critical in the evaluation of children
24 and adolescents.

25 **Q. And conceivably, all of that information**



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 can be derived through a face-to-face interview?

2 A. I mean, potentially.

3 Q. Okay.

4 A. Again, one of the issues with evaluating
5 children and adolescents is that their
6 developmental levels often preclude getting the
7 kind of good verbal information that you might
8 need to form an opinion. They're often not the
9 best describers, for a variety of reasons, of
10 their own emotional state or mental history.

11 Q. So one would rely on the observations or
12 information from an adult who had familiarity with
13 the child?

14 A. One -- one might and one -- it -- it
15 frequently does, and after assessing the agenda of
16 the adult to the extent possible.

17 Q. And when you say assess the agenda of the
18 adult, I presume you mean to -- to try to detect
19 whether there are ulterior motives for presenting
20 the child for an evaluation --

21 A. Correct.

22 Q. -- for abortion?

23 A. Cor -- well, presenting a child for any
24 evaluation.

25 Q. But in this case, for an abortion?



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. In -- in --

2 Q. **That's what we're talking about here,**
3 **isn't it?**

4 A. Yes, but -- yes, so it -- when I say
5 ulterior, I don't mean ulterior motives in terms
6 of something nefarious, but just parents sometimes
7 have an agenda that's not always in the child's
8 best interest, unfortunately, and you want to make
9 sure that that's not necessarily the case. Or
10 there are other problems going on and the child
11 becomes an identified patient, as they say, when
12 the problems are really elsewhere.

13 Q. **So if a -- if a parent determines that**
14 **it's in the child's best interest to obtain a**
15 **therapeutic abortion based on a mental health**
16 **evaluation that's been done, would you be**
17 **deferential to the parent's choice in that regard,**
18 **even though you don't consider it to be an**
19 **appropriate intervention?**

20 A. If peop -- if someone is legally entitled
21 to an abortion, then whether they are children or
22 adults, they are entitled to the abortion. And
23 the reason -- if they're legally entitled, they're
24 legally entitled, that's -- that's it. I -- I
25 wouldn't have an opinion in such a case.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **Q. No medical opinion at all?**

2 A. I don't know about a medical opinion.

3 There might be a medical opinion that -- in terms
4 of psychiatric opinion --

5 **Q. Okay. Psychiatric opinion?**

6 A. Would I have -- okay -- I'm -- maybe I'm
7 confused and don't understand the question. Could
8 you repeat it?

9 **Q. Would you be deferential to a parent who
10 would choose to have an abortion performed for a
11 minor child subsequent to a mental health
12 evaluation that indicated that carrying the
13 pregnancy to term might cause substantial and
14 irreversible harm to the child's mental health?**

15 **Even though you don't believe --**

16 A. Would I be deferential --

17 **Q. -- abortion is --**

18 A. -- to the parent? I mean, it's
19 ultimately, if -- if it's a minor child, then a
20 decision is ultimately a parent's decision and I
21 would have no -- they're the legal decision-maker.
22 I don't understand about -- about the deferential
23 part.

24 **Q. Even though you might disagree with that
25 choice?**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. It -- it's not a question of disagreeing
2 with the choice. It's do -- my opinion would --
3 if I was involved psychiatrically in that case,
4 which I would say typically, I would not be
5 because such a case requires evaluation by a
6 specialist in the evaluation of children, my
7 opinion would be based on such an evaluation and
8 if there are circumstances in that case that
9 indicate that that's one of those extreme cases,
10 then that -- my opinion might support that, might
11 support a late-term abortion or an early abortion
12 or whatever. But again, the -- these generic --
13 you know, an age by itself doesn't indicate
14 anything, a diagnosis by itself doesn't indicate
15 anything. You have to have the specific
16 circumstances.

17 **Q. That can frequently be drawn out during
18 the face-to-face interview?**

19 A. Often, not always. But, and, again,
20 depending on the communication skills and the
21 developmental level of the child or adolescent,
22 but typically, you need somebody else.

23 **Q. And -- and I think that you've testified
24 and I think you would agree that -- that the
25 face-to-face interview can yield a wealth of**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 information about a patient's mental health
2 status, correct?

3 A. Correct.

4 Q. And the face-to-face interview is, in
5 large measure, an exercise in subjectivity or --
6 or judging subjective parameters of -- of -- that
7 the patient presents, correct?

8 A. Well, there's some subjectivity in --
9 involved in it, there's some objectivity involved
10 in it. Someone -- just to use an extreme example,
11 someone's not maintaining their personal hygiene,
12 that, you know -- and you can smell, you know,
13 body odor, et cetera, that would be, I think, an
14 objective type of observation, an example of an
15 objective type of face-to-face observation. If
16 they can't sit still. There are -- there are
17 certain objective elements to it.

18 Q. Of course, sitting still is -- is sort of
19 in the eye of the beholder, isn't it? Some people
20 would judge conduct as sitting still, others would
21 -- would not, correct?

22 A. Well, yes, but if you're talking about a
23 psychiatric evaluation, you're not just talking
24 about necessarily someone whose more or less
25 sitting still, you're talking about someone who's



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 agitated, has extreme psychomotor behavior, can't
2 stop moving, tapping, et cetera. It's not -- it's
3 not -- the observations are not supposed to be for
4 subtle signs necessarily, that kind of stuff.

5 Q. Let's clarify the nomenclature here for
6 just a moment. Do you use synonymous
7 psychiatric evaluation and mental health
8 evaluation?

9 A. Yes.

10 Q. And is it your view that a psychiatric
11 evaluation is necessary under the standard of care
12 in Kansas to justify a late-term abortion?

13 A. My understanding of the statute is that
14 it -- it does not say that a psychiatric
15 examination is necessary, that's the statute.

16 Q. In order to -- to meet the statutory
17 requirements?

18 A. No, it's not necessary.

19 Q. All right. Let's -- let's go back to the
20 mental health evaluation. During the -- a -- a
21 clinical interview, there is no specific time that
22 it -- that it must last in order to be considered
23 within the standard of care, correct? I mean,
24 there's no hard and fast rule that says a -- a
25 clinical inter -- the clinical interview must have



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 a specific duration to be within the standard of
2 care?

3 A. That is correct.

4 Q. And would you agree that some clinical
5 interviews will be longer because of the
6 complexity of issues or the -- the amount of
7 information that's -- that's required to be
8 covered in order to arrive at a diagnosis?

9 A. That would be correct.

10 Q. And some could be appreciatively shorter?

11 A. Within certain reasonable limits.

12 Q. And -- and you've never specified a
13 minimum time that's required in order to do an --
14 an adequate clinical interview, correct?

15 A. Correct.

16 Q. And there is no specific time that's
17 designated as a minimum for conducting a proper
18 clinical interview, correct?

19 A. There is no specific numerical
20 designation of a time, no.

21 Q. Thank you. In -- in terms of the history
22 that is part of the medical -- or the -- the
23 medical health evaluation rather, that would
24 include a -- social characteristics, correct?

25 A. Correct.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. Pertinent medical considerations or
2 medical history?

3 A. Correct.

4 Q. School or academic involvement if you're
5 talking about a school-age girl?

6 A. Correct.

7 Q. Interactions with family members, is that
8 part of the history?

9 A. Yes.

10 Q. And if it's a person who works, their
11 occupational characteristics or their functioning
12 in their occupation?

13 A. Yes.

14 Q. And there may be other categories, but
15 those are representative of the kinds of things
16 that -- that would be covered during the course of
17 a typical mental health interview that's being
18 done to cover the history of a patient?

19 A. That is correct.

20 Q. And the history really is broken down
21 into medical and nonmedical, correct? In other
22 words --

23 A. Broad --

24 Q. -- if certain -- and I'm sorry. Go ahead

25 A. -- broadly.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. All right. And then the fourth category
2 would be a mental status evaluation, correct?

3 A. It's technically a mental status
4 examination, but --

5 Q. Okay.

6 A. -- yes.

7 Q. Mental status examination.

8 A. Yes.

9 Q. And that's broken into two subparts, the
10 psychiatric aspect and the cognitive aspect, is
11 that --

12 A. More or less correct, yes.

13 Q. And it is the case that in terms of --
14 and I think we've already discussed that medical
15 history is something that can be derived through
16 the interview, correct?

17 A. Assuming that you have someone who can
18 communicate that information.

19 Q. And because it's the case that physicians
20 frequently do mental health interviews without the
21 benefit of the -- of the -- all the medical
22 records that are -- records that have ever been
23 generated regarding a certain patient, correct?

24 A. That is correct.

25 MR. HAYS: Objection, assumes facts not



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 in evidence.

2 MR. EYE: I'm just asking in terms of the
3 general, almost kind of a hypothetical, I suppose.

4 PRESIDING OFFICER: Overruled.

5 BY MR. EYE:

6 Q. **That's the case, isn't it?**

7 A. That is the case. Depending on the
8 evaluation and what the evaluation is going to be
9 used for, the standard of care may require at
10 least an attempt to access those records, even if
11 that attempt is unsuccessful.

12 Q. **Otherwise, it's permissible to rely upon
13 the verbal recapitulation of a patient's medical
14 history in order to complete the mental health
15 evaluation?**

16 A. It depends on the quality of -- of the --
17 of the clinical information you're getting. If
18 you're just not getting the information you need,
19 then, no, it would be below the standard of care
20 to rely on it exclusively.

21 Q. **Now, in terms of the mental status
22 evaluation -- or examination -- I'm sorry --**

23 A. Yes.

24 Q. **-- mental status examination, the -- the
25 psychiatric aspect of that, is that part of the**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 face-to-face interview process that one can -- can
2 do the psychiatric aspect of that mental status
3 evaluation during a face-to-face interview?

4 A. Yes.

5 Q. And likewise, with the cognitive aspect,
6 isn't that something that can be covered during
7 the face-to-face interview?

8 A. Yes.

9 Q. Because the cognitive aspect would
10 include questions regarding whether a patient is
11 oriented times three, correct?

12 A. That's one question that's asked.

13 Q. And orientation times three means what?

14 A. That they know their name, their date and
15 -- name, date and where they are, I believe.

16 Q. And that could be derived pretty quickly
17 in terms of understanding whether the -- the
18 patient is cognizant of their current place and
19 time and -- and their identity, correct?

20 A. Correct.

21 Q. And if the cognitive function that the
22 physician observes, Doctor Neuhaus observes, is --
23 does not reflect any abnormalities, there would
24 not be a necessity to document those negatives,
25 correct?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. I don't know that that's true. A -- a
2 standard evaluation and documentation documents
3 significant positive and negative findings.
4 Again, when you're dealing with children and
5 adolescents, because there's always going to be a
6 question of their developmental level and stage,
7 you need to document the positive finding that
8 show their cognitive capacity, as well as what
9 their cognitive impairments might be. Now -- now,
10 orientation is pretty basic, but it also goes on
11 to ask some other --

12 **Q. Was it your testimony under direct that
13 -- that you don't document negatives?**

14 A. I don't think so. Negatives can be just
15 as significant as positive findings.

16 **Q. True. But in terms of determining that
17 there was no -- in a particular patient, no
18 cognitive impairments, would it be necessary to
19 document -- to -- to use words to the effect,
20 there were no cognitive impairments observed?**

21 A. Right. But --

22 **Q. That would be a co --**

23 A. That would be adequate documentation
24 assuming there was some evidence of a clinical
25 evaluation that you could under -- you could



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 understand what that -- no -- no cognitive
2 impairments is a conclusion. You need at least
3 some data to understand how the physician arrived
4 at that. So if you stopped at just orientation
5 and the person could give you person, place and
6 time, you could write, no cognitive impairments,
7 but you haven't really done a full evaluation and
8 the person reading the document would not know
9 that.

10 **Q. And you agreed, I think, earlier, that**
11 **standard of care for mental health evaluation and**
12 **exam -- or examination can be met in the absence**
13 **of adequate documentation, correct?**

14 A. Anything is possible and the absence of
15 -- as they say, the absence of documentation isn't
16 the documentation of absence, so, yes.

17 **Q. Right.**

18 A. People can do things and not write down
19 that they did them.

20 **Q. Correct. Thank you. It's permissible**
21 **for Doctor Neuhaus in the course of doing mental**
22 **health examinations, to rely upon the observations**
23 **of other physicians of a particular patient that's**
24 **being evaluated, correct?**

25 A. It depends what you mean by rely upon.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **Q. Re --**

2 A. She can are rely upon them to inform her
3 own evaluation, but she could not necessarily rely
4 upon them as a sole basis for her diagnosis.

5 **Q. Can she use them as a sort of a**
6 **corroborative tool?**

7 A. Yes.

8 **Q. All right. So if in the course of doing**
9 **a mental health evaluation, it would be**
10 **permissible for Doctor Neuhaus to review, for**
11 **example, Doctor Tiller's mental health evaluation**
12 **and use that as a means by which to conduct at**
13 **least part of the face-to-face interview?**

14 A. One -- one would hope that if Doctor
15 Tiller had done such an evaluation, that Doctor
16 Neuhaus would be able to review it.

17 **Q. Because that's part of the history, isn't**
18 **it?**

19 A. Well, it -- it's part of the record
20 review and it's a recent evaluation from a -- a
21 physician. And you want -- and that would be part
22 of what you would want to review, yes.

23 **Q. Okay. Doctor Gold, in -- in reviewing**
24 **the statutes that you were provided, in terms of**
25 **performing a -- an evaluation as to whether or not**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 a patient would qualify for a late-term abortion,
2 that statute doesn't require that the evaluation
3 be done by a psychiatrist, does it?

4 A. No, it does not. I don't think it
5 specifies anything about evaluation, it only
6 specifies a certain conclusion.

7 Q. And there's no specification as to how
8 that conclusion is reached in the statute?

9 A. That is correct.

10 Q. From the perspective of an average prac
11 -- practitioner that we were talking about earlier
12 in terms of evaluating standard of care or
13 establishing standard of care, an average
14 practitioner, would you agree that practitioners,
15 medical practitioners that are not psychiatrists
16 make diagnoses of depression that are the product
17 of a face-to-face interview with a patient?

18 A. I -- I'm not sure I understand the
19 question.

20 Q. Would you agree that practitioners make
21 diagnoses of depression, for example, and
22 prescribe treatment for it that don't necessarily
23 do everything that you've specified that would be
24 required in a mental health evaluation?

25 A. Yes.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. And would you -- do you know whether
2 that's the practice in Kansas?

3 A. I would assume that it is. It's --

4 Q. And that's --

5 A. -- not uncommon among -- I'm sorry --
6 it's not uncommon among family practitioners,
7 primary care practitioners, OB/GYNs.

8 Q. That aren't necessarily specialized in
9 psychiatry?

10 A. That -- that is correct. They -- yes.

11 Q. And they can do that and still be within
12 the standard of care?

13 A. Up to a point, yes. And the more complex
14 the evaluation becomes and the less they adhere to
15 established guidelines for those kinds of
16 evaluations or for general psychiatric
17 evaluations, the further away from standard of
18 care they're running the risk of moving.

19 Q. But it -- it really is left up to the
20 practitioner's clinical judgment during the course
21 of the face-to-face interview to determine whether
22 a patient -- whether a -- a --a diagnosis of a
23 mental health problem is justified, correct?

24 A. I mean, if they're make -- if they're
25 doing the assessment, then it is their -- they can



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 do their own assessment. And those categories of
2 doctors and perhaps some others off -- will often
3 do that.

4 Q. So it would be within the standard of
5 care?

6 A. Again, it depends on the particular
7 evaluation. The more complicated the patient is,
8 the more the standard of care -- you know,
9 standard of care also requires that you don't
10 treat things that you're not qualified to treat.
11 And that's broadly pretty much everywhere and
12 there are exceptions for things like if you're the
13 only doctor within, you know, 1,200 miles, you may
14 be called upon to do things that a specialist
15 would do if that person -- patient were in an
16 urban area and had easy access to an emergency
17 room. But absent resource issues, the standard of
18 care typically requires that if you're not
19 qualified or trained or have the expertise to
20 treat something, you refer it to somebody who
21 does. Okay? So something that's relatively
22 simple and straightforward, you could do an
23 assessment and not be outside the standard of
24 care. And something that's very, very,
25 complicated would almost de facto put you outside



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 the said -- standard of care if it requires an
2 expertise that you don't have and you don't refer
3 it.

4 Q. **Doctor, what is your -- it -- it -- it is**
5 **the case that patients that Doctor Neuhaus**
6 **evaluated, the 11 patients that -- whose charts**
7 **that you reviewed, they were there to determine**
8 **whether or not they could obtain a late-term**
9 **abortion, correct?**

10 A. They were where?

11 Q. **At the -- at -- at -- present in front of**
12 **her at Women's Health Care Services in Wichita?**

13 A. The -- my understanding was that they
14 were there in order for Doctor Neuhaus to provide
15 a second opinion regarding whether they would
16 suffer -- suffer substantial and irreversible harm
17 to a major organ.

18 Q. **So that was a -- that -- that's a fairly**
19 **specific kind of objective in terms of the**
20 **evaluations that Doctor Neuhaus was doing,**
21 **correct?**

22 A. Correct.

23 Q. **And you do evaluations for things like**
24 **disability, correct?**

25 A. Correct.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. You do evaluations as far as determining
2 whether somebody's competent to stand trial,
3 correct?

4 A. Correct.

5 Q. And those are fairly focused kinds of
6 evaluations, the disability and competency,
7 correct?

8 A. Sometimes.

9 Q. Yeah. I mean, you go into it with the
10 idea of you're judging a patient -- or not
11 necessarily a patient --

12 A. Yes.

13 Q. -- but a person to determine whether or
14 not they have or don't have a disability, for
15 instance?

16 A. Well, based on a psychiatric problem. So
17 determining -- people can have impaired
18 functioning or lack competency for all kinds of
19 reasons. My job is to determine whether those
20 reasons are psychiatric. And if they're not, to
21 say, gee, move on to something else.

22 Q. Would it be the case that you use the
23 same evaluation techniques to determine the
24 competency of a person to stand trial as you would
25 to determine whether somebody has a disability



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 related to a psychiatric disorder?

2 A. To some degree, but of course, it's not
3 exactly the same.

4 Q. There are some overlaps, but there are
5 some distinctions as well, correct?

6 A. That is correct.

7 Q. And would it be the case -- although
8 you've never done a mental health examination for
9 purposes of determining whether a -- carrying a
10 pregnancy to term would cause a substantial and
11 irreversible harm to a -- a female's mental
12 health, would it be reasonable to expect that that
13 kind of evaluation might have some common ground
14 with other kinds of mental evaluations -- or
15 examinations rather, but would also have some
16 specific characteristics?

17 A. Yes.

18 Q. Although you've never done them?

19 A. Yes. I -- any evaluation is tailored to
20 the circumstances of the evaluation, particularly
21 a consultation.

22 Q. And you've never received any training
23 about how to conduct an -- a mental health
24 examination for a woman who -- or for a female
25 rather, whose pregnancy carried to term might



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 cause substantial and irreversible harm, correct?

2 A. No.

3 Q. You've never been trained on that?

4 A. I -- I -- I don't know anyone whose ever
5 been trained on that.

6 Q. You've never consulted with -- you never
7 knew Doctor Tiller, of course, did you?

8 A. No, I did not.

9 Q. And you didn't review any of the
10 materials that he developed in the course of his
11 practice to help provide some guidance in that
12 regard, correct?

13 A. That is correct.

14 Q. And you've never consulted an attorney,
15 for example, to determine exactly what would be
16 required under a standard of care to make a -- a
17 justifiable conclusion regarding whether carrying
18 a pregnancy to term would cause substantial and
19 irreversible harm to a female's health, correct?

20 MR. HAYS: Objection, relevant --
21 relevance.

22 MR. EYE: Goes to the basis of her
23 knowledge.

24 PRESIDING OFFICER: Overruled.

25 A. No, I've never consulted an attorney for



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 that reason.

2 MR. EYE: Your Honor, this is probably as
3 good a time to break as any for -- for me, at
4 least.

5 PRESIDING OFFICER: Okay.

6 (THEREUPON, a recess was taken.)

7 BY MR. EYE:

8 Q. Doctor, a -- a couple of items that I'd
9 like to talk -- ask you about concerning Doctor
10 Tiller's mental health examination that he did and
11 that you testified about -- or -- or some of the
12 ones that he did you testified about. It was your
13 opinion that the ones that you at least were asked
14 about, met the standard of care, correct?

15 A. Yes.

16 Q. Okay. And the -- the standard of care in
17 terms of those meant the -- the recordation, the
18 documentation of the -- the mental health
19 examination. Does that include determining the
20 duration of the examination, duration of time?

21 A. Not specifically.

22 Q. Okay. Because it's the case that Doctor
23 Tiller's don't specify the duration of time that
24 those mental health examinations that he did
25 required, correct?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. That is correct.

2 Q. So any inference that there's a
3 requirement for documentation purposes that it
4 include the duration of time that a mental health
5 examination took is not part of the standard of
6 care, correct?

7 A. No.

8 Q. So it is part of the standard of care?

9 A. I'm sorry.

10 Q. I -- let me start over. It -- you said
11 that Doctor Tiller's examinations, mental health
12 examinations met the standard of care, correct?

13 A. Correct.

14 Q. And you could go back and look at the
15 ones you testified about, but my review of them
16 indicated that they did not include a
17 specification as to the duration of time that the
18 mental health examination required.

19 A. That is -- that is also my recollection.

20 Q. Right. And yet, in spite of the absence
21 of that, that report -- or his reports, I should
22 say, met standard of care?

23 A. Yes.

24 Q. So would we -- we infer from that, that
25 there is no standard of care requirement that



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **there be a documentation as to the duration of**
2 **time that a mental health examination requires?**

3 A. No. There -- there's a requirement as to
4 content, which implies that enough time has to be
5 given to obtain that content, but it doesn't
6 specify how much time it's going to be because
7 that's obviously going to differ.

8 Q. **My question was though as far as the**
9 **documentation is concerned, not necessarily that**
10 **there's a preconceived idea that, you know, a -- a**
11 **mental health examination takes a particular**
12 **amount of time. My question's about the**
13 **documentation aspect of it. You don't have to**
14 **record the duration of time that the mental health**
15 **exam took in order to meet standard of care for**
16 **documentation, correct?**

17 A. No. Not -- not if the content reflects
18 that an adequate examination was undertaken. In
19 -- in response to your previous question, for
20 example, if someone documents that they spent an
21 hour evaluating the patient, but then doesn't
22 document specific clinical information, there is
23 at least an inference that's -- that they spent
24 that time talking about clinical information.

25 Q. **An inference that they did take that time**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 or that they spent the time speaking about
2 clinical information?

3 A. That's correct.

4 Q. **Okay.**

5 A. But if there is --

6 THE REPORTER: Hold on. If they spent
7 the time speaking?

8 BY MR. EYE:

9 Q. -- about clinical information?

10 A. Right. But if there's no specific
11 clinical information and no documentation about
12 the amount of time spent with the patient, then
13 there's no way even to tell that an actual
14 clinical evaluation occurred.

15 Q. Well, there's a difference between
16 whether one occurred and the duration that -- that
17 one required, correct?

18 A. Correct.

19 Q. Okay. And I -- I'm -- I'm not dealing
20 with whether one occurred or not, I'm dealing
21 simply with the standard of care required to
22 documenting the duration of time that these exams
23 took.

24 A. Okay.

25 Q. And there is no standard of care to



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 record the dur -- duration of time that these
2 exams took, because Doctor Tiller didn't do that?

3 A. No.

4 Q. And yet, you found his to be within the
5 standard of care?

6 A. Correct.

7 Q. In terms of the process that was used in
8 Doctor Tiller's office to evaluate parents --
9 parents -- patients for purposes of -- of
10 abortions, is it your understanding that the --
11 that the intake was handled by nonmental health
12 trained staff?

13 A. Yes.

14 Q. Is it also your understanding that they
15 were directed to ask the questions from the
16 SIGECAPSS and then record the responses that they
17 got from patients or patients' guardians and
18 parents?

19 A. Well, the outline indicator also had
20 some other questions on it besides the SIGECAPSS,
21 but it's my impression, understanding that they
22 were basically directed to ask these questions and
23 record the answers.

24 Q. Was it your understanding that they were
25 required to record the answers verbatim or as



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 close to verbatim as they could get it?

2 A. That, I don't have an understanding.

3 Q. And to the extent that this was the
4 routine that Tiller's staff engaged as far as
5 asking those questions and then writing down
6 responses in a verbatim way, is -- is reliance on
7 the MI and the SIGECAPSS reasonable to use as a
8 part of a mental health examination?

9 A. At -- yes, as -- as a document to review
10 and draw your attention to areas that need further
11 elucidation.

12 Q. Let's talk a little bit about the
13 aftercare aspect of your opinions. Is -- is it
14 your opinion that in order to meet after -- in
15 order to meet standard of care, that Doctor
16 Neuhaus was required to make referrals to other
17 health care providers when she concluded that
18 there was a mental health diagnosis or a mental
19 health-based diagnosis?

20 A. Not necessarily.

21 Q. So it was a judgment call as to whether
22 there would be a recommendation for follow-up by
23 Doctor Neuhaus?

24 A. No. If one is diagnosing a psychiatric
25 disorder, and especially if there is a question of



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 it being something of a urgent, emergent or crisis
2 issue, it -- which it is if the con -- if the idea
3 of suicide arises, then even as a consultant, one
4 is obligated to make certain that somebody is
5 following up. Now, that may not require a
6 specific referral to a specific counselor, but
7 there has to be some follow-up of the psychiatric
8 care.

9 Q. Now, when your deposition was taken back
10 in June of this year, I believe you testified that
11 you were not familiar with the WHCS aftercare
12 provisions?

13 A. WH --

14 Q. Women's Healthcare Services, the -- the
15 -- the George Tiller clinic.

16 A. I was not.

17 Q. Have you familiarized yourself with any
18 of -- with anything related to the Women's
19 Healthcare Services process or procedures for
20 follow-up care since your deposition?

21 A. And when we're talking about follow-up
22 care, we're talking -- I'm referring to follow-up
23 psychiatric care.

24 Q. I'm -- I'm -- my question is -- right now
25 is generalized to any follow-up care.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Okay. There -- there is in some of
2 Doctor Tiller's records, a form that discusses
3 aftercare for the patients. And usually, that is
4 -- or -- or when that form is present, that's
5 exclusively OB/GYN care follow-up for the
6 abortion. So there is nothing in Doctor Tiller's
7 charts about follow-up psychiatric care.

8 Q. Is -- is -- is it your understanding that
9 in the -- in the hierarchy of treatment as related
10 to the 11 patients that -- whose charts you
11 reviewed, that Doctor Tiller would have been the
12 primary caregiver or primary treater in that
13 circumstance?

14 A. Not really, because he's a -- he is not
15 going to be following -- he's performing the
16 procedure, so he's the primary caregiver for that.

17 Q. And that's what I was referring to.

18 A. For -- for the procedure.

19 Q. Right.

20 A. But not necessarily the primary caregiver
21 for these young ladies, some of whom come from
22 other parts of the country and --

23 Q. The world?

24 A. Yes.

25 Q. Right. But as to Doctor Neuhaus and



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **Doctor Tiller, Doctor Tiller was the primary
2 treater of those -- of -- of those two physicians?**

3 A. That would be correct. However, the
4 standard of care would still require that the
5 consultant advise, ensure, particularly if it's a
6 question of life and death, suicide, that there is
7 going to be some follow-up care. You can't simply
8 send a patient back to someone and say, I think
9 there's a risk of suicide and not ensure that
10 something is going -- somebody -- some
11 professional is going to be following up on that,
12 and it could be Doctor Tiller and it could be
13 somebody else.

14 **Q. Do you know of any process or procedure
15 that was in place that would have put the burden
16 for follow-up care, of whatever variety, on Doctor
17 Tiller rather than the consulting physician,
18 Doctor Neuhaus?**

19 A. Well, the burden would have been on -- on
20 both of them. The burden of one doesn't obviate
21 the burden of -- doesn't remove the burden from
22 the other one. They both, as doctors of someone
23 with a potential life and death situation are
24 required to ensure that the appropriate steps are
25 taken. Now, Doctor Neuhaus' obligation may only



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 have extended to ensuring that Doctor Tiller was
2 going to follow up on it.

3 **Q. Right.**

4 A. But she still had an obligation.

5 **Q. That -- that was the essence of my**
6 **question, is it --**

7 A. Okay.

8 **Q. -- is it -- is that something that can**
9 **be, on a collaborative basis essentially, Doctor**
10 **Tiller's responsibility by agreement or by process**
11 **and practice as it developed within his clinic?**

12 A. It -- it could.

13 **Q. All right.**

14 A. But again, it -- it would have to be --
15 it could not be implicit. That would not meet the
16 standard of care. It -- it would have to be
17 explicit.

18 **Q. Does the fact that Doctor Tiller's clinic**
19 **had a form that was specific to each patient that**
20 **related to follow-up care be indicative --**

21 MR. HAYS: Objection, facts not in
22 evidence.

23 MR. EYE: Well, his records are in
24 evidence and it includes follow-up care.

25 MR. HAYS: In what form are you talking



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 about?

2 MR. EYE: Well, there's -- there are
3 forms in his records that indicate follow-up care.

4 PRESIDING OFFICER: Did she testify that
5 she saw them?

6 MR. EYE: Right.

7 PRESIDING OFFICER: Doctor, did I
8 misunderstand your testimony?

9 A. Yes. There -- there's a one-page form
10 that says aftercare.

11 BY MR. EYE:

12 Q. Is that indicative to you of Doctor
13 Tiller's clinic realizing that the provision for
14 aftercare was something that they would be
15 responsible for? Is that a manifestation of that
16 obligation?

17 A. I can't really -- it's not psychiatric
18 aftercare, so I don't know if there's a division
19 of labor. There can be after -- you know, again,
20 it just is -- generally says aftercare and it's
21 focused on the surgery, so clearly, they felt an
22 obligation to do that. I don't know if you could
23 extend that to include an obligation to -- for
24 aftercare for the psychiatric problems since
25 that's not addressed.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. Did it -- did it exclude psychiatric
2 aftercare in the -- as -- as a matter of the after
3 -- the follow-up care?

4 A. What do you mean by exclude?

5 Q. Did it explicitly say that this does not
6 in -- cover psychiatric care or mental health?

7 A. No, but it excluded it by omission. I
8 mean, it didn't say, we're not going to do it and
9 so someone else has to do it. It said -- it just
10 simply didn't address it, which doesn't tell you
11 whether they understood what their obligation was
12 or not.

13 Q. If the Women's Healthcare Services staff
14 or Doctor Tiller, for that matter, didn't
15 follow-up on aftercare, you know, for mental
16 health purposes, it -- and they were the -- the
17 office that was responsible for follow-up care in
18 a global sense for these patients, wouldn't it be
19 reasonable for Doctor Neuhaus to rely on Women's
20 Healthcare Services to do referrals or follow-up
21 care as necessary?

22 A. It depends on the case and the
23 circumstances. When you have a question of
24 suicide, it is not the standard of care to assume
25 that somebody else is going to take care of it.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. All right.

2 A. Even as a consultant.

3 Q. Let's talk a little bit about the -- you
4 would agree that the term "mental harm" is a
5 nebulous concept, correct?

6 A. Correct.

7 Q. And that mental harm is, essentially, a
8 lay person's term, correct?

9 A. Yes.

10 Q. But it has -- and when you use -- or when
11 you hear the term mental harm, you have a -- a
12 constellation of things that it would include,
13 correct?

14 A. Correct.

15 Q. And that that would include an impact or
16 -- or symptoms that would have a significant
17 impact on life combined with -- or strike that.
18 It would have a significant impact on life and it
19 could be the basis for a psychiatric disorder,
20 that is, what is commonly termed -- termed in the
21 lay world as a mental harm?

22 MR. HAYS: Objection compound.

23 BY MR. EYE:

24 Q. Could that also refer to a psychiatric
25 disorder, mental harm?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Yes. I -- I assume as -- in the same way
2 that the term "nervous breakdown" can refer. It
3 -- it's -- it is very nebulous.

4 **Q. All right.**

5 A. It certainly encompasses, I think, to the
6 lay understanding, more than the presence of a
7 psychiatric diagnosis.

8 **Q. And whether a person -- whether a --**
9 **female qualified for a late-term abortion because**
10 **it could -- because carrying a pregnancy to term**
11 **could carry substantial and irreversible**
12 **consequences to the health of the woman -- strike**
13 **that. I'm not -- I've forgot exactly where I was**
14 **going with that question, so never mind.**
15 **Would you agree then that there is a role for**
16 **subjectivity in doing these mental health**
17 **examinations?**

18 A. To some degree, there is, yes.

19 **Q. And that it is also the case that social**
20 **factors can play a role in determining whether a**
21 **diagnosis of a -- of a mental health problem**
22 **exists, correct?**

23 A. That is correct.

24 **Q. And that to a certain extent, even**
25 **statistical probabilities of -- of -- that bear on**



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 a particular patient situation can inform a
2 diagnosis?

3 A. Up to a point, yes.

4 Q. You testified in relation to Patient 7
5 that you did not have a basis to -- to disagree
6 with the GAF score of 15. Do you remember that
7 testimony?

8 A. Not specifically.

9 Q. Well, yeah, it's patient-

10 A. Oh.

11 Q. -- Patient 7.

12 A. Okay. I'm on 8, so this would be --
13 okay.

14 Q. Do you have a basis to disagree with the
15 GAF of 15 in the case of Patient 7?

16 A. There's no specific clinical data for me
17 to agree or disagree with the GAF gathered by
18 Doctor Neuhaus --

19 Q. And --

20 A. - in the assignment of this --

21 Q. Sorry.

22 A. -- number.

23 Q. And would -- would that be your testimony
24 as to all the GAF scores that you looked at for
25 these patients? I guess there would be 10 of



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **them.**

2 A. Well, there's -- yes, there's 10 of them.
3 I would think so. And without going through each
4 one specifically, broadly, I would say, yes. As a
5 general rule, there is no data collected by Doctor
6 Neuhaus to indicate how she arrived at her
7 conclusion of the GAF rating scale.

8 Q. **At least no data that are -- that are**
9 **reported?**

10 A. In the record, that is correct.

11 Q. **Those data may have been gathered, but**
12 **they are not reported?**

13 A. That -- that's always a possibility.

14 Q. **And would the same -- would the same hold**
15 **true for the DTREE process?**

16 A. To the extent that -- well, yes, it would
17 -- it would hold true.

18 Q. **Okay. Is the -- in relation to Patient**
19 **8, as I recall your testimony, that there was some**
20 **indication in the MI -- and I'll let you get to**
21 **that.**

22 A. Yeah, I'm there.

23 Q. **-- in the MI, that there was a -- that**
24 **the patient disclosed enough information to**
25 **indicate that there was the potential for harming**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 herself or the baby if -- if the pregnancy was
2 carried to term, correct?

3 A. That is correct.

4 Q. Is that information, that she would harm
5 herself or possibly the baby, that's clinically
6 subjective, correct?

7 A. Certainly, yes.

8 Q. And it's something that you would take
9 seriously?

10 A. Yes.

11 Q. And it's indicative of a patient who is
12 extremely distressed, isn't that a fair --

13 A. That would be a fair statement.

14 Q. And that -- is -- is it also fair to
15 extrapolate from that that the distress has its
16 origins in the unwanted pregnancy?

17 A. Well, it certainly would appear so and
18 you'd probably be right, but it -- it could be
19 something else and you wouldn't know unless you
20 dug around.

21 Q. And that digging around is what may
22 happen during the course of the face-to-face
23 interview or evaluation?

24 A. Correct.

25 Q. Between physician and patient?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Correct.

2 MR. EYE: May I, Your Honor?

3 PRESIDING OFFICER: (Nods head.)

4 BY MR. EYE:

5 Q. Once a clinician understands in the case
6 of Patient 8 that there -- that there is fairly
7 specific suicide thoughts or ideation, I guess is
8 the proper term, would that be sufficient to
9 conclude that there was a mental health disorder
10 with the patient as it was pre -- as the patient
11 was presented that day?

12 A. It would be enough to conclude that there
13 was a -- no, is -- is the answer, as unlikely as
14 that sounds.

15 Q. So that by itself, in your judgment,
16 would not be sufficient to conclude that
17 continuation of the pregnancy to term might have a
18 substantial and irreverse -- irreversible harmful
19 consequence to the patient?

20 A. That is correct. Tomorrow, she might
21 feel differently.

22 Q. Is it your -- is it your view that the
23 mental health examination that Doctor Neuhaus
24 performed for the patients that -- whose charts
25 you reviewed was to determine treatment



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **alternatives?**

2 A. I'm not -- I'm not sure I understand the
3 question.

4 Q. **Is it your understanding that when**
5 **patients consulted with Doctor Neuhaus, that her**
6 **purpose was to determine treatment alternatives**
7 **for whatever problems might be presented to -- to**
8 **her from a patient?**

9 A. My -- well, my -- patients -- doc -- my
10 understanding is Doctor Tiller referred patients
11 to Doctor Neuhaus for the evaluation of whether
12 there would be significant and irreversible harm
13 on the basis of mental harm, psychiatric disorder,
14 whatever term the statute -- you -- you know,
15 irreversible harm of a major body organ. In this
16 particular case, the implicit or explicit object
17 of that evaluation was the mental health.

18 Q. **So I --**

19 A. So -- so the answer to the question is
20 that it -- it was an eval -- it was a mental
21 health evaluation in terms of severity and
22 permanence of a mental harm. It's -- it's hard to
23 understand how a mental harm would be severe -- is
24 significant and irreversible if it didn't rise to
25 the level of a psychiatric disorder. If it's a



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 psychiatric disorder and it's an urgent matter,
2 then treatment alternatives would not necessarily
3 be part of that evaluation. But if it's an urgent
4 or emergent matter, again, the standard of care
5 requires that there be an intervention directed
6 towards that urgent or emergent matter.

7 **Q. And the nature of that intervention could**
8 **range from -- or could include -- not necessarily**
9 **would range, but could include hospitalization?**

10 A. Yes.

11 Q. Pharmaceuticals, drugs could be part of
12 that intervention?

13 A. Possibly.

14 Q. Psychotherapy?

15 A. Possibly.

16 Q. Could be abortion? You don't think so?

17 A. I -- I don't think so, no. It's not a
18 treatment for a psychiatric disorder or an
19 intervention for a psychiatric disorder. And it
20 could include referral to a specialist, a child
21 and adolescent eval -- mental health specialist to
22 further elucidate the nature of the -- of the
23 problem. I mean, there could -- again, there
24 could be circumstances. There was nothing I saw
25 in the 11 charts that I evaluated that indicated



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 that a late-term abortion would be a treatment for
2 a diagnosis of major depression or acute stress
3 disorder.

4 Q. But you went into the evaluation of these
5 charts with the idea that -- that abortion
6 wouldn't be a treatment in -- in -- in any event,
7 correct, except in the -- kind of the outlier
8 situation where you get --

9 A. Well, based on my clinical training and
10 experience in the diagnosis and treatment of
11 psychiatric disorders, generally, in psychiatric
12 disorders in pregnancy, the medical standard of
13 care generally does not acknowledge that abortion
14 is a treatment for any psychiatric disorder, it's
15 just more intervention, except under extraordinary
16 circumstances.

17 Q. And so if a woman chooses to get an
18 abortion after going through the mental health
19 evaluation process, if she chooses to -- or a
20 female chooses to get an abortion, it would not
21 necessarily have to comport with or -- or hurt --
22 her condition would not necessarily have to be
23 such that it would require intervention by another
24 healthcare provider, a follow-up? In other words,
25 she could still get the abortion without the



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **necessity of -- of other kinds of intervention?**

2 A. You've lost me. I'm sorry.

3 Q. A woman could still get an -- after going
4 through the evaluation process and determined to
5 be qualified to -- to get an abortion --

6 A. Competent to agree.

7 Q. -- competent to agree, meets the
8 requirements that --

9 A. Right.

10 Q. -- that -- that are set out in -- in the
11 records and so forth, and the abortion occurs,
12 there's not a, per se, requirement that would have
13 that woman necessarily be followed up by another
14 physician, correct?

15 A. Followed up for what?

16 Q. **For anything?**

17 A. The woman herself -- the patient is not
18 required to do anything. It's the physicians who
19 are required to do something. So the burden of --
20 of action, so to speak, is on the physicians
21 providing care, not on the patient. Any patient
22 can choose to do or not do anything they want to
23 do, regardless of how many doctors recommend that
24 they do it, you know, that they follow certain
25 health procedures. So if you have a woman --



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 let's take the mental health out of it -- who has
2 an abortion and the doctor says to her, you really
3 should -- you know, you're going back home, you're
4 going to be somewhere else, you should see your
5 regular OB/GYN two weeks from now to follow up to
6 make sure that, you know, everything's okay,
7 there's nothing that says that she has to do that,
8 that's her choice.

9 **Q. All right?**

10 A. You know. But the physician has to tell
11 her to do it. There is a burden on the physician
12 to provide guidance regarding aftercare treatment.
13 And to ensure that if she chooses to avail herself
14 of it, that aftercare treatment is available to
15 her.

16 **Q. Is there any assumption about capacity to
17 -- to be able to afford that aftercare treatment?**

18 A. Not in the standard of care, no.

19 **Q. Because you dealt with -- or you covered
20 some charts of people I think we -- your testimony
21 was that they were obviously -- I mean, you know,
22 in sort of an objective sense, pretty
23 poverty-stricken.**

24 A. There was one chart, yes, where that was
25 clearly a consideration.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. So follow-up care in that instance would
2 have been problematic in terms of being able to
3 afford it absence of some sort of state support or
4 -- or state payment of -- for that care?

5 A. That, I could not answer directly.

6 Whether the patient can afford it or not, again,
7 doesn't relieve the physician of taking the
8 appropriate steps regarding aftercare.

9 Q. Now, you used the term a little while
10 ago, emergent situation or emergent condition.
11 Would that be, in your judgment, if a patient
12 presented with an emergent condition, that that
13 would justify a late-term abortion based on mental
14 health reasons?

15 A. It's possible. Again, the -- the -- I --
16 the circum -- the mental health circumstances that
17 would create a situation of significant and
18 irreversible harm, I -- again, I can't -- I have
19 not been able to come up with those cir -- those
20 circumstances. That may be a failure of
21 imagination on my part. I would like to believe
22 that I could recognize them when I see them.

23 Q. But you don't really have any experience
24 in that anyway, do you, in terms of evaluating
25 women for abortions?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. No, I don't have any -- it's -- it's --
2 it's not a -- a real life event in the practice of
3 psychiatry.

4 Q. Well, it's a real life event in the --
5 the patients who went to Women's Healthcare
6 Services in Wichita, correct, to be evaluated for
7 an abortion, correct?

8 A. It was a real life event to be evaluated
9 for significant and irreversible harm of a major
10 body organ -- or a body organ, but it didn't
11 specify that it was mental or brain or
12 neurological.

13 Q. Well, if -- if it's a case that a -- that
14 that has been -- that statute has been interpreted
15 by -- including the United States Supreme Court to
16 include preservation of the mental health of a
17 woman, would that be enough to --

18 MR. HAYS: Objection, facts not in
19 evidence, and it's also not relevant.

20 MR. EYE: Well, the -- the facts are in
21 evidence in terms of the statute that was provided
22 to the -- to Doctor Gold.

23 PRESIDING OFFICER: Objection overruled.
24 You better reask the question, I don't think the
25 doctor followed it. I don't.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 BY MR. EYE:

2 Q. Does the -- the reality that late-term
3 abortions are available for mental health
4 purposes, as the statute -- and I won't belabor
5 the term again -- but as the statute K.S.A.
6 65-6703 specifies, is the fact that there's a
7 legal right to that procedure to prevent permanent
8 irreversible -- rather irreversible and
9 substantial harm to the woman, does that matter to
10 you from a medical standpoint?

11 A. Well, that's what I'm saying. I mean,
12 I'm -- I -- I can't imagine that there could be
13 circumstances where irreversible harm could occur,
14 but it's not possible to say that there is
15 irreversible harm absent treatment. So if you're
16 talking about a psychiatric disorder or mental
17 disorder, the standard treatments for those which
18 have been found to be in many, many people
19 effective, would imply that it's not a permanent
20 or irreversible harm to develop depression or
21 anxiety, or even a posttraumatic distress
22 disorder, people recover from those.

23 Q. But it's the -- the patient's choice --
24 or the patient and their parent or guardian, in
25 the case of a minor, it's their choice as to what



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **treatment modality to choose?**

2 MR. HAYS: Objection, relevance.

3 MR. EYE: Well, we've been talking about

4 --

5 PRESIDING OFFICER: Well, I -- I -- we
6 plowed that field.

7 MR. EYE: May the witness answer that
8 question, though?

9 PRESIDING OFFICER: She's answered it
10 before.

11 MR. EYE: All right.

12 BY MR. EYE:

13 Q. In the case of Patient 11, Doctor Gold,
14 you couldn't -- based on what you reviewed, you
15 couldn't rule out a major depressive disorder,
16 correct?

17 A. No, I could not rule out a major
18 depressive disorder.

19 Q. And that was partly because you didn't
20 evaluate the patient, correct?

21 A. I'm not sure how to answer that. I -- I
22 -- that's not -- I mean, I suppose if I had
23 evaluated the patient myself, I would have an
24 opinion as to what diagnoses to rule in or rule
25 out, but that's not the basis for my opinion, that



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 I couldn't rule it in or rule it out.

2 Q. I -- I -- I'm just asking the question.
3 You couldn't rule it out based upon what you
4 reviewed?

5 A. That is correct.

6 Q. Is it accurate to characterize the DTREE
7 as a rule-out process or can -- can it be used as
8 a rule-out process?

9 A. It -- it can be used as a diagnostic aid
10 in a variety of ways.

11 Q. And -- and one of them is to rule out
12 some --

13 A. Yes and no.

14 Q. It -- so, yes, it -- it --it can be used
15 that --

16 A. It could be used that way. Again, it
17 depends on the accuracy of the data that -- of the
18 data that's being entered.

19 Q. Assuming the data are accurate, it could
20 be used as a rule-out process, correct?

21 A. With medical certainty, within in a
22 reasonable degree of medical certainty?

23 Q. Well, that kind of depends on, again, the
24 data.

25 A. Yeah.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. Okay.

2 A. But I -- I -- I -- I have a -- it's -- I
3 really don't think it can be used to rule in or
4 rule anything out in and of itself regardless of
5 the accuracy of the data.

6 Q. It -- it -- it's part of the overall --
7 it's part of the evaluation, it's not any one
8 definitive part of the evaluation, it's just a --
9 one of the components of the evaluation?

10 A. The DTREE?

11 Q. The questions that are asked from the
12 DTREE that -- that yield responses? I believe
13 your testimony was that it could be used as an
14 evaluation tool?

15 A. Tool, or an assist, yes. But that
16 doesn't -- a tool or assist doesn't lead to a
17 definitive rule-out of anything.

18 Q. No, but it's assists in -- it -- it's one
19 way to get to a rule-out?

20 A. In the context of a broader evaluation,
21 yes.

22 Q. Which the rule-out process, whether it's
23 done using DTREE and other methods or GAF and
24 other methods, that's another way of -- of
25 arriving at a differential diagnosis, isn't it?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 MR. HAYS: Objection, compound.

2 A. Well --

3 MR. EYE: Okay. I'll just go with it.

4 BY MR. EYE:

5 Q. Using the DTREE and other methods, like
6 the face-to-face interview, is a way to arrive at
7 a differential diagnosis, correct?

8 A. I would say that's correct. The object
9 of any evaluation is to -- is to arrive at a
10 differential diagnosis, what -- regardless of what
11 tools you use.

12 Q. When you -- when you reviewed the -- the
13 charts for purposes of writing your opinion, you
14 kept track of your hours, didn't you?

15 A. I did.

16 Q. Okay. And that was so that you could
17 bill for your services, correct?

18 A. That is correct.

19 Q. And there wasn't any other reason you
20 kept track of your hours, was there?

21 A. No.

22 Q. And while I'm at it, what is your fee?

23 A. It's \$400 an hour.

24 Q. Is that for anything that you do on the
25 case?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Yes, anything and everything.

2 Q. I want to make sure I get some of these
3 loose ends. You've never had any experience as an
4 **office practitioner in primary care, correct?**

5 A. Not outside my medical school and
6 internship, no.

7 Q. Same question for a family physician,
8 which may be very close to the same thing --

9 A. Yeah.

10 Q. -- but just --

11 A. Yes. Medical school and internship.

12 Q. You've never been in an office to
13 practice that on a day-to-day basis?

14 A. No.

15 Q. All right. And you've never practiced as
16 an OB/GYN?

17 A. That is correct.

18 MR. EYE: Your Honor, may I have just a
19 few moments to --

20 (THEREUPON, a discussion was had off the
21 record.)

22 MR. EYE: That concludes my cross
23 examination, Your Honor. Thank you, Doctor Gold.

24 THE WITNESS: Thank you.

25 PRESIDING OFFICER: Any redirect?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 MR. HAYS: Yes, sir. And I'm just going

2 --

3 REDIRECT EXAMINATION

4 BY MR. HAYS:

5 **Q. Doctor Gold, for the review of the**
6 **patient records for Doctor Neuhaus, could you tell**
7 **us what her purpose was that was documented in**
8 **there for doing that mental health evaluation for**
9 **each patient?**

10 A. No, I could not.

11 **Q. Is there any reference to a referral for**
12 **a late-term abortion located within those records?**

13 A. In the MI Statements, sometimes there are
14 references to obtaining an abortion and also
15 references to how far along the pregnancy is.
16 That's as close as it gets.

17 **Q. What about any information documented**
18 **within those patient records about her referring**
19 **those patients to anyone?**

20 A. There is no -- there is no information
21 regarding referrals from Doctor Neuhaus to anyone.

22 **Q. Now, for a re -- strike that.**
23 **What is the difference between the mental health**
24 **evaluation that is documented within Doctor**
25 **Neuhaus' patient records and any other mental**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **health evaluation?**

2 A. Any other? I mean, they all differ from
3 each other to some degree.

4 **Q. Are there basic requirements that need to
5 be met in order to meet the standard of care?**

6 A. Well, there are basic elements that
7 should be present. They can vary -- in other
8 words, it -- you don't need to have necessarily
9 all of the elements that would comprise a -- a
10 mental health evaluation present to indicate that
11 the standard of care has been met, but you have to
12 have at least some of them. And so it varies from
13 doctor to doctor what they choose to document.
14 The reason Doctor Neuhaus' failed to meet the
15 standard of care is because, essentially, she
16 doesn't have any of them. But Doctor Tiller's,
17 for example, also don't have all the elements
18 necessarily, but he has enough of them so that
19 looking at his documentation, it would meet the
20 standard of care. But it certainly doesn't have
21 all of them that you would see in a fully, you
22 know, comprehensive mental health evaluation, and
23 it's not required to, to meet the standard of
24 care.

25 **Q. Now, would it be appropriate for a**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **psychiatrist to admit a patient for an abortion?**

2 A. Patients who are admitted for abortions
3 are usually admitted to an OB/GYN service through
4 a medical doctor such as an OB/GYN or a general
5 practitioner or a surgeon. Psychiatrists would
6 never be in a position, again, absent any other
7 resources, medical resources in the area of
8 admitting a patient for a surgical procedure that
9 -- again, just not --

10 Q. **And is that why you have not admitted a
11 patient for an abortion?**

12 A. Yes. If I was an OB/GYN, I probably
13 would have admitted a patient for an abortion.
14 I'm a psychiatrist, psychiatrists don't do that,
15 it's not part of their practice. So I've also
16 never admitted a patient for an appendectomy or a
17 brain tumor removal.

18 Q. **Is there any indication within Doctor
19 Neuhaus' patient records that she admitted these
20 patients in for abortions?**

21 A. That she?

22 Q. **That she admitted these patients in for
23 abortions?**

24 A. Admitted them into a hospital?

25 Q. **Or admitted them anywhere for an**



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **abortion?**

2 A. These are not admission records, no,
3 there's no evidence of an admission for a medical
4 procedure.

5 Q. **Are any of patient -- are Doctor Neuhaus'**
6 **patient records pertaining to mental health**
7 **evaluations?**

8 A. Where the records exist, they are
9 pertaining to mental health evaluations.

10 Q. **Now, let's talk about the standard of**
11 **care just briefly. You spoke about the standard**
12 **of care for the mental health evaluation being**
13 **national. Why is that?**

14 A. Because the resource -- because the
15 training programs are nationally accredited and
16 must meet national standards. Every training
17 program has to meet the same standards to be
18 accredited. They're all based on training and use
19 of the DSM, which is a national and international
20 resources -- resource. Board certifications are
21 nationally administered examinations. There may
22 be regional differences along the lines, for
23 example, of having certain minority populations or
24 cultural populations for whom slightly different
25 -- or adaptations of the standard process may be



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 required. But, generally speaking, the elements
2 of a mental health evaluation are relatively
3 standardized across the United States at this
4 point.

5 Q. And do you have an opinion as to whether
6 Kansas would be different for any reason?

7 A. I know of no reason that Kansas would be
8 different and -- and I would hope it wouldn't be
9 unless there was a really good reason.

10 Q. Now, taking the standard of care out of
11 the mental health evaluation portion and generally
12 speaking about it, why would a standard of care be
13 different in some other -- in one locality in
14 comparison to another locality?

15 A. The primary reason these days is access
16 to medical resources. So, for example, in an
17 urban area, presumably, there are going to be
18 specialists in various types of medical and
19 surgical practice. If you go out to a very rural
20 area, even in Kansas, that there might be -- not
21 be an OB/GYN and babies might all be delivered by
22 family practitioners, for example. But in rural
23 areas, again, even in Kansas, there should be
24 access to various kinds of medical specialists and
25 practitioners. So presumably, there are



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 psychiatrists in Wichita and even child
2 psychiatrists or psychologists if you want to use
3 a psychologist or social workers in -- in Wichita
4 who could, theoretically, perform these
5 evaluations. Whereas, out in the middle of a very
6 rural area, there might not a psychiatrist for,
7 you know, hundreds of miles. So that would --
8 that would affect the standard of care.

9 **Q. Now, you spoke about using the**
10 **transcripts of the trial and also the inquisition.**
11 **How did you use those transcripts in your review?**

12 A. Well, I had already reviewed the records
13 before I had read the testimony transcripts, but
14 the testimony transcripts strengthened and -- and
15 my opinions by deepening my understanding of the
16 process that seemed to have occurred. Excuse me.

17 **Q. And through those transcripts, what did**
18 **you get a deeper understanding of?**

19 A. Of -- of the -- of how an evaluation
20 might be conducted when referred to Doctor Neuhaus
21 from Doctor Tiller's clinic. So, based on Doctor
22 Neuhaus' records and even on Doctor Tiller's
23 records, how the referral came about and what
24 kinds of evaluations were -- what the nature of
25 the evaluations were was not a hundred percent



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 clear, the testimony made that much clearer, and
2 also clarified the -- well, let me just stop there
3 -- I'm going to just say it made it much clearer.

4 Q. Now, were you made aware of Doctor
5 Neuhaus' training?

6 A. Yes, I was.

7 Q. And how did you become familiar with
8 that?

9 A. I, at some point, reviewed Doctor
10 Neuhaus' CV and I also read her testimony where
11 she delineated her training in -- well, her -- her
12 --her mental health training, the CV included all
13 of her training.

14 Q. Now, how would you go about determining a
15 doctor's qualification to perform a mental health
16 evaluation?

17 MR. EYE: Objection, I think it's beyond
18 the scope of cross.

19 MR. HAYS: I believe he went into the
20 comparison of skills of a surgeon and mental
21 health specialist and went down that road and had
22 her actually try to make a difference between
23 those two abilities and I believe he even asked
24 her this very question.

25 MR. EYE: I -- I don't recall that, but



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 --

2 PRESIDING OFFICER: I don't recall it.

3 Do you recall approximately when and where?

4 MR. HAYS: It was when he was doing the
5 comparison of the skills of the surgeon and the
6 mental health specialist. That's about as close
7 as I can get now, Your Honor.

8 MR. EYE: I don't really remember him
9 using a surgeon as a comparison, but --

10 PRESIDING OFFICER: I'm sorry. I -- I
11 don't -- ask your question again. And, Mr. Eye,
12 jump in if you need to.

13 MR. EYE: Okay.

14 BY MR. HAYS:

15 Q. How would you go about determining a
16 doctor's qualification to perform a mental health
17 evaluation?

18 MR. EYE: I'm going to object on the
19 basis it's beyond the scope of cross.

20 PRESIDING OFFICER: How -- again, how do
21 you claim that this is --

22 MR. HAYS: It's when he went into you
23 either have to observe, talk to or review the
24 records of the physicians to be able to determine
25 how to evaluate how they -- how well they perform



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 their mental health.

2 PRESIDING OFFICER: That was her
3 deposition testimony that she gave three things
4 you do.

5 MR. HAYS: And he asked questions of --
6 based off that, correct?

7 PRESIDING OFFICER: And he -- and that
8 she only did one of these things.

9 MR. HAYS: It was the -- the observe,
10 speak to or review doc -- documentation.

11 PRESIDING OFFICER: And -- and then
12 you're claiming Mr. Eye went where?

13 MR. HAYS: Well, that goes to how you
14 would evaluate a performance of a physician's
15 qualification of a mental health evaluation.

16 MR. EYE: No. Sir, the -- the genesis of
17 that -- I'm sorry -- I don't -- the --

18 PRESIDING OFFICER: The objection is
19 sustained.

20 MR. HAYS: Okay.

21 BY MR. HAYS:

22 **Q. From your experience, what type of mental**
23 **health evaluations do OB/GYNs perform?**

24 A. Relatively basic evaluations. Generally,
25 they will die -- evaluate and dying -- do an



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 evaluation to diagnose for depression and anxiety.
2 And if they think there's anything else going on,
3 they will refer for a consultation. Or if they
4 begin treatment for those disorders and the
5 patient doesn't respond or continues to have -- to
6 -- or -- or worsens, again, they will refer to a
7 psychiatrist.

8 **Q. And why do they refer out?**

9 A. Because generally, their training and
10 expertise limits them to very basic mental health
11 evaluation and treatment and they are not
12 comfortable providing anything more in-depth. And
13 if they feel their patient needs it -- needs
14 something that's more complex than just the basic
15 straightforward evaluation and treatment for
16 depression and anxiety or they provide that and
17 it's not yielding the desired results, then they
18 refer out. They -- they just don't feel that they
19 have the expertise and training to do it.

20 **Q. Now, let's talk about Patient 2. What
21 was Patient 2 diagnosed with?**

22 A. Major depressive disorder, single
23 episode, severe without psychotic features.

24 **Q. And does that diagnosis have a gatekeeper
25 requirement?**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. It does. You have to have one of the
2 first two listed criterion in the DSM in order to
3 make -- make this diagnosis for a major depressive
4 episode.

5 **Q. Let's look at that patient's MI
6 Statement. Is there not one located within there?**

7 A. I don't -- we're talking about Patient 2?

8 **Q. Correct.**

9 A. No, I don't see one.

10 **Q. Okay. Let's talk about the MI Statements
11 generally.**

12 A. Okay.

13 **Q. Was there any evidence of Doctor Neuhaus
14 using those MI statements within her mental health
15 evaluations for any of the patients?**

16 A. Some of them had initials on them which I
17 interpreted to be not Doctor Neuhaus' possibly,
18 giving her the benefit of the doubt, since they
19 were in what's purported to be her file. Which
20 would indicate that she -- usually, when a doctor
21 initials something, it means that they've read it.

22 **Q. Do you know whether the initials, in
23 fact, were Doctor Neuhaus'?**

24 A. I do not, but I assume they were.

25 **Q. Now, let's talk a little bit about**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 documentation. Why would you want to document the
2 positive and also the negative implications or
3 indications within a patient's record?

4 A. Because both positive and negative
5 findings can be significant, so -- and can inform
6 a diagnostic assessment and a -- and a --
7 treatment issues.

8 Q. Would it -- no, strike that.

9 Can you tell me what ANO times three means to you?

10 A. Alert and oriented in -- to person, place
11 and time.

12 Q. And how do doctors normally document
13 that?

14 A. Well, again, it varies, but at a minimum,
15 you see a notation ANO times three, and usually,
16 it's in either handwriting or on a signed
17 document. So the signature implies that -- that
18 the evaluation was done. And if it's handwritten
19 in, that implies that the evaluation was done. So
20 you ask the person their name and what the date is
21 and what the time is and --

22 Q. Is it usually documented --

23 THE REPORTER: I'm sorry. What was the
24 end of that?

25 A. I'm sorry. Time of year or -- or



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 something along that line.

2 BY MR. HAYS:

3 Q. Is it usually documented if they were
4 alert and oriented times three?

5 A. If you are formally documenting a mental
6 status examination, then, yes, it is. If you're
7 not formally documenting it, then not necessarily.

8 Q. Now, in the course of a mental health
9 evaluation, how can a physician rely upon another
10 physician's records?

11 A. Well, if they form an -- an element of
12 the data that's being reviewed, it can figure in
13 in a variety of ways. One is it can direct a
14 physician to -- if there have been positive
15 findings in the other physician's evaluation, it
16 can direct the current physician to look for those
17 problems and perhaps evaluate them further, expand
18 upon them. If there are none, then it might be an
19 indication that if the new physician -- or the
20 current physician is finding problems, it's new,
21 which isn't a significant piece of information.
22 If the for -- physician's records document an
23 evaluation and then also document treatment and
24 now the new physician is evaluating it and the
25 person's better, there's an implication that the



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 treatment was effective. If they're not better,
2 it -- there's an implication that the treatment
3 was not effective. So there are many ways that
4 you can rely upon that documentation. But the --
5 the significant thing -- the significant caveat
6 about relying on anyone else's documentation,
7 whether it's a physician or not a physician, is
8 that that was an evaluation at that moment in
9 time, whether it was yesterday or a week ago or a
10 year ago. You're seeing that patient today, and
11 what happened yesterday or a week ago or a year
12 ago may not be what's going on with that patient
13 today. And so you need to do your own evaluation
14 because people's mental status change, their
15 physical status change. Pregnancy, by definition,
16 is a changing -- a rapidly changing physiological
17 state in a variety of ways.

18 **Q. Does relying upon those -- of the first**
19 **physician's evaluation relieve the second**
20 **physician's duty to document their mental health**
21 **evaluation?**

22 A. No.

23 **Q. Why not?**

24 A. For the reasons I just explained, that
25 evaluation was good for, you know, that time of



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 that day. Even if it was an hour ago, it may or
2 may not have changed.

3 **Q. And in Doctor Neuhaus' records, could you**
4 **determine what patient records of Doctor Tiller's**
5 **she reviewed?**

6 A. In -- in her testimony, Doctor Neuhaus
7 stated that she would review what Doctor Tiller's
8 clinic provided to her, which was if -- typically,
9 if -- the intake sheet and the MI Statements. She
10 also testified that she reviewed other physician's
11 records if they were available and accompanied the
12 patient. However, she also testified that when
13 she reviewed records, she would copy them into her
14 file. And although there are copies often of
15 Doctor Tiller's -- you know, there's always -- I
16 think all of them have an intake form and most of
17 them have at least one MI form, none of them have
18 a copy of -- of any other physician's records.

19 **Q. Is there any documentation within any of**
20 **her patient records how she used those documents?**

21 A. No, there is not.

22 **Q. Now, you also indicated that a mental**
23 **health evaluation would be tailored to a specific**
24 **situation. Why is that?**

25 A. Because every evaluation is done for a



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 purpose and if you don't tailor the evaluation
2 towards that purpose, you may miss the significant
3 elements relevant to the goal of the evaluation.

4 **Q. So how would you tailor a mental health**
5 **evaluation for a specific purpose?**

6 A. It depends -- it very much depends on the
7 purpose.

8 **Q. How would one be tailored for the**
9 **Patients 1 through 11?**

10 MR. EYE: I -- I would object, it lacks
11 foundation because this witness doesn't have the
12 requisite experience or training to establish that
13 she would know what the mental health examination
14 for a late-term abortion would consist of.

15 PRESIDING OFFICER: I believe that's
16 correct. The doctor has testified she has no
17 experience -- correct me, Doctor, you tell me if
18 I'm wrong -- she basically has no experience of
19 any type of counseling for abortions and so forth.

20 THE WITNESS: That is correct, I mean, in
21 the --

22 BY MR. HAYS:

23 **Q. What is the purpose of -- indicated**
24 **within the patient records of that mental health**
25 **evaluation was performed for?**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. In the patient records, there is no
2 indication of the purpose of the evaluation.

3 **Q. Are there diagnoses in that patient**
4 **record?**

5 A. Yes, there are -- in all of them, but
6 one.

7 **Q. Now, how would you tailor a mental health**
8 **evaluation to come to a diagnoses for each one of**
9 **those patients?**

10 MR. EYE: Same objection as I stated
11 before just a few minutes ago, lacks foundation
12 and no qualifications.

13 MR. HAYS: Sir, the patient records that
14 are included within Doctor Neuhaus' patient
15 records are specifically the only evidence you
16 have as to diagnoses. There is no referral
17 indication within those, there's no purpose of
18 what is occurring in those patient records?

19 PRESIDING OFFICER: Correct.

20 MR. HAYS: So I'm asking her what the
21 mental health evaluation, the -- how to tailor a
22 mental health evaluation to come to the diagnoses
23 that are present within those patient records.

24 THE REPORTER: I'm sorry. How to tailor
25 a mental health evaluation?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 MR. HAYS: -- to come to the diagnoses
2 that are present within those patient records.

3 MR. EYE: Same objection.

4 PRESIDING OFFICER: How to tailor her?

5 MR. HAYS: How you would tailor a mental
6 health evaluation for the purpose of coming to
7 diagnosis.

8 MR. EYE: Well --

9 PRESIDING OFFICER: I --

10 MR. EYE: I'm sorry.

11 PRESIDING OFFICER: I don't think you do
12 that. Do you tailor your mental health evaluation
13 so you can get a specific diagnosis?

14 THE WITNESS: Sometimes you -- well, not
15 to get a specific one, but to come to a diagnostic
16 conclusion, sometimes you do.

17 PRESIDING OFFICER: Well, of course, a
18 conclusion.

19 THE WITNESS: Yeah.

20 MR. HAYS: But for the specific purpose
21 to come to a diagnosis.

22 MR. EYE: Then I would object on the
23 basis that it's -- I think it's so vague that it
24 -- it doesn't really go to a point that is at
25 issue.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 PRESIDING OFFICER: Yeah. Can you
2 rephrase it, because I'm not following you a bit
3 here. I'm sorry. Maybe I'm just --

4 BY MR. HAYS:

5 **Q. For every mental health evaluation that's**
6 **performed, do you have to come to a diagnosis?**

7 A. No.

8 **Q. Now, if you were going to perform a**
9 **mental health evaluation to come to a diagnosis,**
10 **how would you tailor that mental health**
11 **evaluation?**

12 MR. EYE: Objection, it's vague, it
13 doesn't go to anything in particular related to
14 this case. And if it's intended to address the
15 mental health evaluation for a late-term
16 abortions, then I'd renew my objection that I made
17 a few minutes ago concerning foundation
18 qualifications.

19 PRESIDING OFFICER: I'm sorry, Mr. Hays,
20 I still don't understand where we're going here.

21 MR. HAYS: Well, the mental health
22 evaluations were for the -- if you take a look at
23 the record, there's no indication that the mental
24 health evaluations were for the referral. The
25 indication is that they were for a diagnosis.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 MR. EYE: I think he's free to argue
2 that, but I don't know that it forms the basis for
3 a proper question.

4 PRESIDING OFFICER: Objection sustained.

5 Move on.

6 BY MR. HAYS:

7 Q. Now, does an attorney set the standard of
8 care by which a doctor must meet?

9 A. No.

10 Q. Now, you spoke about Doctor Tiller's
11 mental health evaluation. Was your opinion that
12 he met the standard of care only for
13 documentation?

14 A. Yes.

15 Q. And do you have an opinion whether he met
16 the standard of care in the performance of his
17 mental health evaluation?

18 A. I do not.

19 Q. To meet the standard of care for
20 documentation, would any aftercare provisions need
21 to be documented?

22 A. It depends.

23 Q. What does it depend on?

24 A. It depends on the purpose of the
25 evaluation and the -- the level of urgency of the



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 need for care.

2 Q. Now, you also spoke about aftercare being
3 documented within Doctor Tiller's record. What
4 type of aftercare was documented within his
5 record?

6 A. Follow-up OB/GYN type care.

7 Q. Could you turn to page 85 of Patient 1's
8 record for Doctor Tiller.

9 A. Patient 1, yes.

10 Q. And was that an aftercare document that
11 you were talking about?

12 A. That's one of them. I saw -- I -- I saw
13 another one also that was different from this one.

14 Q. Do they contain the same information?

15 A. I -- I'd have to look. I mean, I'm --
16 I'm happy to look and see.

17 Q. Go ahead.

18 A. All right. So this is Patient 1. If you
19 -- let me just double-check before I say. Okay.
20 If you look at Patient 2, Bates 48 --

21 MR. EYE: Ma'am, is this from Doctor
22 Tiller's record?

23 THE WITNESS: Yes. I'm sorry. This was
24 the other type of document I was referring to,
25 which is -- it says at the bottom, final checkout



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 exam, the date, the time, the findings and -- and
2 some handwritten notes at the bottom, reviewed
3 breast care, uterine massage, DVT prophylaxis, I
4 can't read the second thing, something --
5 A-something, A, and then call referral source. So
6 that's -- that's not quite an aftercare plan that
7 one would provide for the patient, that's one for
8 the medical documentation of the last visit. So I
9 -- so that was the other document I was thinking
10 of.

11 BY MR. HAYS:

12 Q. **Is there any document within Doctor**
13 **Tiller's record that specifically pertains to**
14 **psychiatric care, aftercare?**

15 A. No.

16 Q. **Now, why would the presence of**
17 **suicidality not be enough to conclude a patient**
18 **has a mental disorder?**

19 A. Because people can have extraordinarily
20 strong brief reactions or temporary reactions to
21 adversity up to and including impulsive suicidal
22 thoughts and acts. Most psychiatric -- to qualify
23 for a psychiatric diagnosis such as the ones that
24 are in these charts, one would have to -- there's
25 a minimum amount of time that that reaction has to



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 be present or that -- that suicide -- that -- that
2 the distress, because suicidal thinking rarely
3 occurs in the absence of other kinds of distress
4 if, you know -- it would have to be present for a
5 longer time. Now, it certainly is an emergency
6 and it may even be an emergency that would qualify
7 for involuntary psychiatric hospitalization to
8 protect that person's life, but it doesn't
9 necessarily infer a standing psychiatric disorder.
10 You know, situational stress can be very, very
11 severe. And if a person is impulsive as children
12 and teenagers often are, can lead to very
13 unfortunate outcomes involving suicidality, even
14 though yesterday they may have been okay.

15 **Q. Now, let's talk about the DTREE and the**
16 **GAFs a little bit. Do you know how Doctor Neuhaus**
17 **was using those programs?**

18 A. Doctor Neuhaus stated in her testimony
19 that she was using them to document her
20 evaluations because it was faster and more
21 thorough. The automated process made it faster
22 and also, she said it was more thorough.

23 **Q. Was she using it as a diagnostic tool?**

24 A. There is one point in the testimony where
25 she seems to say that she is, but generally



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 speaking, she is emphatic about saying that she
2 was using it to document her own evaluation.

3 MR. HAYS: I have no further questions.

4 RECROSS-EXAMINATION

5 BY MR. EYE:

6 Q. Doctor Gold, I want to ask just a -- a
7 couple of questions about documentation. I think
8 that in your direct testimony from yesterday, you
9 mentioned that there wasn't any national or --
10 that you weren't trained on in med school on
11 documentation. I think it was something like you
12 learned by fire. I think maybe it's like trial by
13 fire?

14 A. Yeah. You learn when you screw it up.

15 Q. Okay. Right. Well, trial by fire?

16 A. Right, that's what I said.

17 Q. Yes. I mean, that's -- that's the
18 learning experience.

19 A. Right. The QA people come and get you.

20 Q. And in that regard, since it's not
21 formally taught as a subject in medical school,
22 there is at least a possibility for variation from
23 practitioner to practitioner in terms of what
24 documentation should be required in a particular
25 circumstance?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. And -- and there is variation.

2 Q. And to the extent that there are
3 variations, do you have an -- you haven't
4 undertaken to determine what variations might
5 apply in Kansas?

6 THE REPORTER: I'm sorry. I'm sorry.

7 MR. EYE: That's all right.

8 THE REPORTER: And to the extent that
9 there are variations --

10 BY MR. EYE:

11 Q. You haven't undertaken any sort of
12 inquiry to know what variations might be present
13 in Kansas as far as documentation for -- for
14 instance, a mental health evaluation?

15 A. Well, it's a -- the variations in my
16 experience in evaluating charts from -- and
17 documentation from all over the country are more
18 variations from doctor to doctor rather than from
19 region to region. So I would not be aware of a
20 regional variation in Kansas.

21 Q. More practitioner to practitioner
22 variation?

23 A. That -- that would be correct. But the
24 use -- but -- but the lack of specific clinical
25 data gathered by the doctor conducting the



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 consultation or evaluation is -- would not qualify
2 as a variation.

3 Q. And that actually brings it to my next
4 question --

5 A. Okay.

6 Q. -- about the -- you mentioned that there
7 were formal and informal documentation or could be
8 formal, could be informal. And I presume just by
9 the use of those terms, a formal anticipates a
10 more expansive documentation and informal assumes
11 a less expansive?

12 A. It -- it's not necessarily so much
13 expansive as it is how you collect and then
14 document it. So that, for example -- let me try
15 to give you an example. You can include
16 information about -- that -- information that
17 would be found or elicited in a mental status
18 examination in a formal way, you could write alert
19 and oriented times three, speech normal, behavior
20 normal, and go through every single element and
21 formally list positive and negative findings. Or
22 you could write a brief couple of statements
23 saying, no evidence of hallucinations, delusions,
24 patient was oriented, mood appeared good. That
25 would be informal. The information that you



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 collected, theoretically, should be approximately
2 the same. You could, for example, on cognitive
3 testing write, not formally tested, but grossly
4 within normal limits. So that would let someone
5 know that, you know, you didn't feel the need to
6 go through a whole process of cognitive testing
7 because I'm talking to you, you clearly did not
8 appear to be suffering any kind of impairment.
9 But that would be an informal report.

10 **Q. I just want to make sure that I**
11 **understand. Your testimony from yesterday was, at**
12 **least in some instances, there -- the necess --**
13 **there was not a necessity to document negative**
14 **findings. There were some instances where**
15 **negative findings are not necessary to be**
16 **documented, correct?**

17 A. I would have to see what the context of
18 that was -- I -- I -- of that particular statement
19 was and what I was responding to.

20 **Q. Okay. So you wouldn't necessarily agree**
21 **that in -- that in some instances, a negative**
22 **finding doesn't require documentation?**

23 A. A negative finding that's relevant to the
24 substance of the evaluation would require
25 documentation.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. Documentation. Okay.

2 And the -- whether it requires documentation is a
3 judgment that has to be made as the evaluation is
4 proceeding?

5 A. Or afterwards. But, you know, I mean,
6 documentation -- what you choose to document is
7 always a matter of -- of judgment. But relevant to
8 standard of care, certain things should be
9 documented. Again, and what those things are
10 depends upon the type of evaluation that you're
11 doing and how complex the presentation is.

12 Q. We were looking at Patient 1 records page
13 Bates 85 in Doctor Tiller's compilation. Could
14 you refer to that again, please.

15 A. Yep.

16 Q. That's the -- I think we referred to it
17 as a follow-up care or an aftercare note.

18 A. Correct.

19 Q. In this instance, right, I think you --
20 you mentioned that this appeared to you that she's
21 -- perhaps it was the other record we looked at --
22 that it was being directed to an OB/GYN or that
23 she was being -- it was recommended that she
24 follow-up with her OB/GYN, correct?

25 A. Well, it could be an OB/GYN, it could be



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 a -- it's a medical doctor --

2 Q. Oh.

3 A. -- as opposed to a psychiatric doctor.

4 And it's directed both towards the doctor and

5 towards the patient.

6 Q. Okay. And if the patient is compliant
7 and follows up and has a mental health problem at
8 that point, that's something they could take up
9 with a physician pursuant to this follow-up,
10 correct?

11 A. Depends on the problem.

12 Q. But they could present the problem, at
13 any rate?

14 A. If they haven't already killed
15 themselves, for example.

16 Q. For example?

17 A. Yeah.

18 Q. If they --

19 A. Or if they haven't already done something
20 else to harm themselves in the interim, short of
21 suicide or -- or developed another medical problem
22 relative to their psychiatric status.

23 Q. Now, you can't hold a physician
24 responsible for every time somebody commits a
25 suicide after an abortion, correct?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Absolutely not, no.

2 **Q.** **Okay. Thank you.**

3 A. But this form just is -- is, I will have
4 a pregnancy test one week and three weeks after my
5 abortion. So that implies a time span of at least
6 one week. And it does not suggest when the
7 follow-up doctor should be there if -- should see
8 her if there's a one-week -- in someone who's
9 acutely suicidal or who might take other action
10 because the abortion did not resolve the
11 situational stress. So, for example, the family
12 was still rejecting the adolescent even though she
13 had had an abortion simply because they still were
14 unhappy with her. A week is a long time to go
15 without follow-up, psychiatric follow-up in an
16 emergent or urgent situation.

17 **Q.** **Is there any -- for this patient, Doctor,**
18 **was there any indication she was suicidal -- or**
19 **the Patient 1?**

20 A. Patient 1, let's see.

21 **Q.** **You might -- let me just direct -- maybe**
22 **we can shorten this up a little bit -- direct your**
23 **attention to Bates 5 in Doctor Neuhaus' record,**
24 **that the -- the GAF. And underneath the GAF**
25 **rating is not in the range of one to 10 because**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 the following --

2 THE REPORTER: I'm sorry.

3 MR. EYE: I'm sorry.

4 THE REPORTER: Underneath the GAF
5 rating?

6 BY MR. EYE:

7 Q. -- the GAF rating is not in the range of
8 one to 10 because of the following criteria. And
9 one of those criterion is, it says, the patient
10 has not been suicidal or in danger of
11 intentionally hurting herself.

12 A. Well, I -- I -- I would rather -- I'm
13 splitting hairs, I suppose, but I would rather
14 base it on Doctor Tiller's evaluation. And in
15 Doctor Tiller's evaluation, there is no indication
16 of suicidality in this particular patient.

17 Q. So for the chart as a whole between
18 Doctor Neuhaus and Doctor Tiller, suicide wasn't
19 an indication of concern, correct?

20 A. As far as I can tell in Patient 1.

21 Q. Now, back on page 85 again, could you
22 just flip to that?

23 A. Yes.

24 Q. Thank you. Down in the -- the lower
25 left-hand quadrant of the page, there are a number



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 of foils with initials next to them. Do you see
2 those?

3 A. Yes.

4 Q. Do you see the one for MHC consult?

5 A. Yes.

6 Q. Would that be -- that initial there,
7 would that be consistent with the other initials
8 you saw that you were giving the benefit of the
9 doubt that were Kristin Neuhaus'?

10 A. Yes.

11 Q. And MHC, is it reasonable to advance the
12 idea that that relates to the mental health
13 consult?

14 A. Yes.

15 Q. And this would be evidence that she
16 performed it, correct? It'd be some evidence of
17 it, correct?

18 A. It -- it would -- it -- it -- yes. I
19 mean, it would be -- it doesn't say what the
20 consult consisted of.

21 Q. Right. But just that it was done?

22 A. Just that something was done that was
23 described as a mental health consult.

24 Q. You mentioned that standard of care is a
25 legal concept, correct?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. Well, the -- well, there's a -- no, there
2 is a -- a medical standard of care.

3 THE REPORTER: I'm sorry. There is or
4 isn't?

5 A. Is -- I'm sorry -- a -- let me stop for a
6 second, because I'm a little --

7 MR. HAYS: Do you need to take a break?

8 PRESIDING OFFICER: Mr. Eye, how much
9 longer?

10 MR. EYE: Oh --

11 THE WITNESS: Yeah.

12 MR. EYE: -- I don't have a lot of
13 recross remaining --

14 THE WITNESS: Okay. Let me --

15 MR. EYE: -- but if this is a time --

16 THE WITNESS: -- let me -- no, let me --
17 if -- if we're going, we'll go. Standard of care
18 is a legal concept. It can also -- there are
19 statutes which define what is legally required,
20 which inform a medical standard of care, which is
21 what the average practitioner does when they
22 perform a general examination and a specialist
23 does when they perform a specialty examination or
24 when a general practitioner performs a specialist
25 evaluation or examination, they're held to what



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 the average specialist would do. And, determining
2 what those are are medical determinations, but the
3 concept of standard of care is a legal concept.

4 BY MR. EYE:

5 **Q. And, did your review of the statutes help**
6 **in -- the statutes that were provided -- provided**
7 **to you from the staff counsel for the petitioner,**
8 **did those help inform your idea of stand --**
9 **standard of care in this -- in this case?**

10 A. Well, they provided what the legal
11 requirements are for documentation and the legal
12 requirement for a late-term abortion. And the
13 documentation one is -- is certainly congruent
14 with reasonable standard of care documentation.

15 **Q. And is what you're referring to for the**
16 **-- this statute for documentation, was that**
17 **actually the Kansas Administrative Regulation**
18 **100-24 dash -- I can't --**

19 A. 100-20 --

20 **Q. 2?**

21 A. 100-20 -- well, I have 100-24-1.

22 **Q. Okay.**

23 MR. HAYS: Well --

24 BY MR. EYE:

25 **Q. So -- so that helped inform your idea of**



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **what the standard of care for documentation would
2 be?**

3 A. No. It told me what the legal
4 requirements were in Kansas. I understand from
5 years of training and personal trials by fire and
6 witnessing trials by fire, et cetera, and also
7 risk management training that doctors receive in
8 terms of adequate documentation, what is the
9 standard of care for documentation. A -- again
10 what's listed legally -- what's listed in the
11 legal statute is not necessarily everything the
12 average practitioner does even though they may be
13 legally required to do it, they don't always do
14 it. And the average practitioner is what -- the
15 practices of the average practitioner establishes
16 standard of care.

17 **Q. So that's actually kind of an experienced
18 based standard of care --**

19 A. Well, it's clinical --

20 **Q. -- aspect?**

21 A. -- well, it's clinical training, it's
22 experience and it's teaching and supervision of
23 residents and fellows. So it -- it's not only
24 experiential, but experience is the best teacher.
25 And, you know, the trial -- being either involved



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 in or witnessing other people's problems with
2 documentation is often one of the best teachers.

3 Q. The -- I -- I believe in -- in your
4 redirect, there was a question that -- that --
5 posed to you that was about the purpose for the
6 referral. Did you understand that question to be
7 the purpose for Doctor Tiller sending a patient to
8 Doctor Neuhaus, was that your understanding of the
9 question?

10 A. That was my understanding, yes.

11 Q. And did you find in Doctor Tiller's
12 records, a -- a correspondence that was attributed
13 to Doctor Neuhaus reporting her recommendation for
14 patients that she had evaluated?

15 A. Well, there was a letter from Doctor
16 Neuhaus, I don't recall whether it was in every
17 single file, but it was in -- if not in every
18 single one, then it was in almost all of them. It
19 was --

20 Q. And in that letter, you could certainly,
21 at the very least, infer the purpose that Doctor
22 Neuhaus was carrying out for her evaluation of
23 these -- of these patients? Let's take a look at
24 one.

25 A. Yeah. I have one from -- that's in



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Exhibit 37, Bates page 4. Will that do?

2 Q. Tell us which patient that's for.

3 A. Patient 4.

4 Q. Thank you. Hold on a second here. And
5 it was Bates 4?

6 A. Bates 4.

7 Q. And that letter carries a -- I mean, this
8 is a letter from Doctor Neuhaus to Doctor Tiller,
9 at least on its face, that's what it indicates,
10 correct?

11 A. Yes.

12 Q. And it refer -- references a specific
13 patient, correct?

14 A. Correct.

15 Q. And says, Dear Doctor Tiller, I am
16 referring the above named patient to your
17 organization for consultation regarding her
18 unwanted pregnancy. The patient may suffer
19 substantial and irreversible impairment of a major
20 physical or mental function if she were forced to
21 continue the pregnancy. Do you see that?

22 A. Yes.

23 Q. And it's signed by Doctor Neuhaus.

24 A. Correct.

25 Q. Is it reasonable to infer from the



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 verbiage in this letter that Doctor Neuhaus had
2 evaluated the patient for purposes of determining
3 whether the patient would suffer substantial and
4 irreversible impairment of a major physical or
5 mental function if the pregnancy were to continue?

6 A. Yes, that is the maximum that you could
7 infer from this, but, yes.

8 Q. All right. You were asked about the data
9 that were supplied for the -- we'll take it one
10 for one -- one by one. GAF, do you remember on
11 redirect being asked about the origin of the data
12 that were in -- in -- inserted into the GAF --

13 A. I no longer remember it, sir. I'm sorry.

14 MR. HAYS: Objection, I don't believe
15 that was in redirect.

16 BY MR. EYE:

17 Q. You -- you were asked questions about the
18 data for the GAF, correct?

19 PRESIDING OFFICER: She was asked about
20 the GAF and the DTREE and how Doctor Neuhaus was
21 dealing -- was using it. Doctor Neuhaus said the
22 way to document the evaluation of --

23 THE REPORTER: I'm sorry, Your Honor.

24 PRESIDING OFFICER: I'm sorry.

25 THE REPORTER: Doctor Neuhaus said?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **PRESIDING OFFICER:** The way to document
2 her evaluation, it was faster and more thorough
3 using as a diagnostic tool.

4 BY MR. EYE:

5 Q. The -- do you have any information one
6 way or the other that would tell you that the data
7 that were used to plug in to the GAF originated
8 with something other than interviews that were
9 conducted by Doctor Neuhaus? I'm -- I guess I'm
10 asking you, do you have any information to lead
11 you to believe that those data were falsified?

12 A. I -- well, I -- I -- falsified in the
13 sense of --

14 Q. Made up?

15 A. I -- I don't -- I don't think they were
16 necessarily made up or fabricated, but I --

17 Q. That's all I was trying to get to. Same
18 way for DTREE, same question.

19 A. I -- I don't think they were made up or
20 fabricated, they -- but they might not have come
21 from Doctor Neuhaus' own clinical evaluation.

22 Q. But there's no -- these -- the DTREE and
23 GAF were found within the -- the contents of
24 Doctor Neuhaus' records, correct?

25 A. That is -- that is correct.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. And I think you said you presumed that
2 because they were within Doctor Neuhaus' records,
3 that they originated with Doctor Neuhaus, correct?

4 A. That's correct. In many of these cases,
5 Doctor Neuhaus had access to these MI documents
6 which could have formed the basis for the data,
7 the yes -- the yes or no answers for the DTREE
8 without her own clinical evaluation. So when you
9 set-- so that's also possible. There's no
10 evidence to indicate that a specific clinical
11 evaluation of that specific patient was undertaken
12 by Doctor Neuhaus in her file.

13 Q. Okay. You were also and -- and I -- I'm
14 not sure I understood this altogether, but did you
15 find that there was the fact that there wasn't a
16 letter from Doctor Tiller to Doctor Neuhaus
17 saying, I'm sending this patient to you for
18 evaluation to be a documentation problem?

19 A. Not necessarily.

20 Q. You had patients referred to you over the
21 phone and/or face-to-face consults from -- with
22 another physician who refers a patient to you?

23 A. Yes.

24 Q. We were talking about Patient No. 2 and I
25 think you were asked a question about her major



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 depressive disorder and whether that required a
2 gatekeeper event.

3 A. Yeah. A gatekeeper criterion, yes.

4 Q. Would the rape and incest qualify as a
5 gatekeeper event?

6 A. Well, there isn't a gatekeeper event. A
7 gatekeeper criterion refers to the diagnostic
8 criterion in the DSM. Now, for a post-traumatic
9 stress disorder or acute stress disorder, which is
10 the early stages of a post-traumatic stress
11 disorder, typically, you have a traumatic event.
12 But, for depression, a traumatic event is not
13 required. The gatekeeper criterion refer to one
14 or two symptoms that must be met in order for a
15 diagnosis to be met.

16 Q. Could rape or in -- rape and incest be
17 the cause of -- of a mental -- strike that -- of a
18 psychiatric disorder?

19 A. It could.

20 Q. Which would include a major depressive
21 disorder?

22 A. Possibly, yes.

23 Q. Doctor, to the extent that there -- there
24 is DTREE and GAF information within Doctor
25 Neuhaus' file, that would at least imply that



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 **there had been an attempt by Doctor Neuhaus to**
2 **generate information to enter into the GAF and**
3 **DTREE, correct?**

4 A. Not -- not --

5 MR. HAYS: Objection, speculation.

6 MR. EYE: No. I'm -- I just asked if she
7 could infer that. It's --

8 PRESIDING OFFICER: You can answer it, if
9 you can.

10 A. Yeah. Not, not necessarily.

11 BY MR. EYE:

12 Q. **So the presence of the DTREE and -- and**
13 **GAF within the chart doesn't have any significance**
14 **as to the information that is -- that is used in**
15 **the GAF and DTREE as far as it coming from a**
16 **mental health exam? I mean --**

17 A. Well, if -- if there was specific -- if
18 there was information specific to that particular
19 patient -- if there was clinical information
20 specific to that particular patient included in
21 the DTREE and GAF, then I would say, yes, clearly.
22 But these documents do -- contain generic
23 statements from the DSM, many of which are
24 self-contradictory when answered with a yes answer
25 that don't necessarily indicate the generation of



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 in -- of specific clinical information by Doctor
2 Neuhaus.

3 Q. And is it the case that the GAF and DTREE
4 are correlated to axes -- for example, GAF is
5 related to Axis IV?

6 A. Correct.

7 Q. Okay. And DTREE could actually, I guess,
8 theoretically apply to the other axes?

9 A. No, it really -- I would have to look at
10 the program again to see if it includes Axis II,
11 but it definitely doesn't include Axis III,
12 specifically only by exclusion. And it certainly
13 doesn't include Axis IV. It does include Axis I,
14 and I'd have to look at the program about Axis II.

15 Q. So you're not familiar with it enough to
16 be able to know whether Axis II was covered by
17 DTREE?

18 A. I -- I would have to look again, no, I
19 don't remember.

20 MR. EYE: I think that's all my recross.
21 Thank you, Your Honor.

22 PRESIDING OFFICER: Okay.

23 REDIRECT-EXAMINATION

24 BY MR. HAYS:

25 Q. Doctor Gold, is there any letter of



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 referral from Doctor Neuhaus to Doctor Tiller
2 located in any of her patient records?

3 A. No.

4 Q. Let's take a look at Patient 11.

5 THE WITNESS: Can I --

6 MR. HAYS: Do you need a --

7 THE WITNESS: -- I need a break, yeah.

8 PRESIDING OFFICER: We'll take a
9 10-minute break.

10 (THEREUPON, a recess was taken.)

11 PRESIDING OFFICER: Back on the record.

12 Mr. Hays.

13 MR. HAYS: Thank you, sir.

14 BY MR. HAYS:

15 Q. Could you turn to Exhibit 44, Bates page
16 46 and in Doctor Tiller's record.

17 MR. EYE: Which patient?

18 MR. HAYS: Patient 11.

19 A. Bates -- I'm sorry -- which Bates page?

20 BY MR. HAYS:

21 Q. 46, the last page.

22 A. The last page. Yes.

23 Q. And is -- that's the same type of a
24 document you were talking about for Patient 1?

25 A. Correct.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. And if you look at the initials down at
2 the MHC consult --

3 A. Yes.

4 Q. -- are those the same initials that were
5 present on Patient 1's?

6 A. It doesn't look like it, but it's awfully
7 hard to tell. But it -- it doesn't look like it.

8 Q. Do you need to compare them?

9 A. That would help.

10 Q. Patient 1's was located at Bates 85 in
11 his record.

12 A. Can I take this out of here?

13 Q. Of course.

14 A. Easy to find since it's the last page.
15 All right. Patient 1 is 80 -- Bates 85. It does
16 not look like the same initials to me.

17 Q. So -- what's that?

18 A. To me. It's doesn't look like the same
19 initials to me, but --

20 Q. So if those are not the same initials,
21 does that indicate that someone else did the
22 mental health consult for Patient 11?

23 A. I don't know what it indicates. There's
24 nothing that says that the person who did -- did
25 the item referred to has to check off. I mean,



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 this may just be a check off that it's in the
2 chart, you know, like a utilization review person
3 going through a chart and saying, is this there,
4 is this there, is this there, and different people
5 are responsible for checking off different things.
6 I don't know what -- what that is. To me, it's
7 doesn't imply -- to me, what it implies is that
8 somebody was responsible for, at the very least,
9 making sure that whatever documentation they felt
10 constituted an MHC consult was in the chart. At
11 the most, you could speculate that the person who
12 was responsible for doing it checked -- had to
13 initial this when they did it. But, there's
14 really nothing to indicate either way what this
15 means. At a minimum, it means it's a utilization
16 review process.

17 **Q. So you don't know whether the initials
18 located on Bates 85 were Doctor Neuhaus' or not?**

19 A. Well, I -- no, I don't know. They appear
20 the same as some of the initials in her files, so
21 I'm inferring and giving, you know, the benefit of
22 the doubt that they are her's, but I don't know
23 for a fact that those are her initials. I -- and
24 -- and this one on Bates 46 from Patient 11 does
25 not look the same to me.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. And is there any reference on Bates 46
2 out of Patient 11's record to a referral for
3 psychiatric treatment?

4 A. No.

5 Q. Or -- let me rephrase. Is there any
6 indication to aftercare for a psychiatric
7 treatment?

8 A. No, there is not.

9 Q. And did Patient 11 have suicidality
10 within -- notated within Doctor Neuhaus' record?

11 A. Which would be Exhibit 33?

12 Q. Correct.

13 A. Okay. Yes. To the extent that the DTREE
14 documents it.

15 MR. HAYS: I have no further questions.

16 RECROSS-EXAMINATION

17 BY MR. EYE:

18 Q. Doctor Gold, I -- I have just one brief
19 line here. I'm looking at Patient 2 and it's
20 Bates page -- I think it's 30, although -- yeah,
21 it's page -- Bates page 30.

22 A. In -- it would be in Doctor Tiller's
23 then, right?

24 Q. Yeah, yeah, yes. Right.

25 A. I'm sorry. Bates -- I'm sorry.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 Q. Well, actually it's 29 and 30. I -- I --
2 it looks like it's maybe copied twice in here.

3 A. I'm sorry. Which patient?

4 Q. 2?

5 A. 2. Yes, 29 and 30.

6 Q. Do these look like cover sheets on a
7 chart, I mean, just kind of based on the -- what
8 the -- how it looks like and the -- and -- or
9 cover -- the cover on a chart, the stiffer --

10 A. Correct.

11 Q. And there's a -- a place where there's
12 three foils basically. It says MHC, Doctor
13 Neuhaus and Doctor Tiller. And it says, patients
14 are ready for consent when all three are finished.
15 Do you see that?

16 A. Yes, I do.

17 Q. And there's a checkmark for Doctor
18 Neuhaus. Oh, and there's a -- there's a checkmark
19 for MHC, Doctor Neuhaus and Doctor Tiller. Is
20 that some sort of documentation that would
21 indicate that there had been a -- a mental health
22 consult completed by Doctor Neuhaus?

23 MR. HAYS: Objection, speculation.

24 MR. EYE: Just if she knows.

25 PRESIDING OFFICER: If she knows.



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 A. I mean -- to get -- there is -- to give
2 the benefit of the doubt, I'd like to say yes. A
3 -- a strict interpretation, there's one thing --
4 one line that says MHC and the Doctor Neuhaus and
5 Doctor Tiller line could mean any task that Doctor
6 Neuhaus and Doctor Tiller were assigned including
7 just a review of the record. It -- it doesn't
8 indicate that they've done mental health
9 evaluations. A generous interpretation would be,
10 yes.

11 BY MR. EYE:

12 Q. Okay. And you don't know of any other
13 function that Doctor Neuhaus was carrying out
14 related to Women's Health Care Services, other
15 than the -- the mental health evaluations,
16 correct?

17 A. That is correct.

18 MR. EYE: That's all I have. Thank you.

19 MR. HAYS: I have no further questions.

20 PRESIDING OFFICER: Thank you very much,
21 Doctor Gold.

22 THE WITNESS: No, thank you.

23 MR. HAYS: And we have no further
24 witnesses.

25 MR. EYE: Your Honor, I have a call in to



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 counsel that is -- that represents the three
2 witnesses, the three fact witnesses, Erin
3 Thompson. And I called her at the lunch break and
4 told her I wasn't sure exactly when we would be
5 getting to her clients, but asked her to call me
6 and I haven't heard back from her. If I could
7 have a few minutes, I'll call her again and see
8 if I can find out anything about their
9 availability.

10 PRESIDING OFFICER: Okay. I'll just make
11 this suggestion and you take it any way that you
12 want to. But we need to get out of here in about
13 an hour anyway and we're going to be moving
14 everything out of here tonight. Would it -- it --
15 it's up to you, your preference, would you rather
16 just make arrangements to have those witnesses
17 first thing in the morning or the first thing in
18 the afternoon or whatever you want to do?

19 MR. EYE: That'd be great, Your Honor,
20 because I -- again, we weren't sure exactly what
21 their status was as far as -- because they'd
22 subpoenaed by the petitioner. I wasn't sure just
23 where they were at. So we're sort of changing
24 this on the fly.

25 PRESIDING OFFICER: Is that acceptable?



Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1 MR. HAYS: Yes, sir, it is.

2 PRESIDING OFFICER: Okay. Then we'll
3 adjourn and meet over at the Board of Healing Arts
4 office. Let me give you the address for the
5 record.

6 MS. BRYSON: 800 Southwest Jackson
7 Street, Lower Level, Suite A, Topeka, Kansas
8 66612.

9 PRESIDING OFFICER: I know where it's at.
10 At 8:30 in the morning. Okay.

11 (THEREUPON, the hearing concluded at 3:35
12 p.m.)

13 .

14 .

15 .

16 .

17 .

18 .

19 .

20 .

21 .

22 .

23 .

24 .

25 .



(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131

1

CERTIFICATE

2 STATE OF KANSAS

3 ss:

4 COUNTY OF SHAWNEE

5 I, Cameron L. Gooden, a Certified
6 Shorthand Reporter, commissioned as such by
7 the Supreme Court of the State of Kansas,
8 and authorized to take depositions and
9 administer oaths within said State pursuant
10 to K.S.A. 60-228, certify that the foregoing
11 was reported by stenographic means, which
12 matter was held on the date, and the time
13 and place set out on the title page hereof
14 and that the foregoing constitutes a true
15 and accurate transcript of the same.

16 I further certify that I am not related
17 to any of the parties, nor am I an employee
18 of or related to any of the attorneys
19 representing the parties, and I have no
20 financial interest in the outcome of this
21 matter.

22 Given under my hand and seal this
23 day of _____, 2011.

24 _____

25 Cameron L. Gooden, C.S.R. No. 1335



Appin & Biggs

Reporting Service, Inc.

(Main Office)
Topeka, KS
785.273.3063

Technology Specialists in Complex Litigation
Toll Free: 888.273.3063
www.appinobiggs.com

(Metro Kansas City)
Overland Park, KS
913.383.1131