

1 of the stimulants over along period of time on fetal heart
2 rate and compromising fetal welfare.

3 **Q. Are there -- are there differences between a live**
4 **birth and an abortion with respect to the use of uterine**
5 **stimulants like Pitocin or Misoprostol for the purposes of**
6 **either inducing or augmenting labor?**

7 **A. Yes, and I'll remark that I believe I'm**
8 **well-qualified to comment on that. My group has published**
9 **the seminal literature on Misoprostol and uterine**
10 **contraction, New England Journal of Obstetrics and**
11 **Gynecology over the years. So it's something that we've**
12 **paid a lot of attention to.**

13 **Q. And can you -- can you describe examples of those**
14 **differences, why there will be differences in the**
15 **application of these stimulants in abortion services as**
16 **opposed to live birth situations?**

17 **A. Well, the intent with abortion is to accomplish**
18 **cervical -- increase cervical compliance and cervical**
19 **dilation in preparation for -- for a speedy evacuation of**
20 **the uterus accompanied by evacuation of the cerebral**
21 **contents. It's not comparable to the situation in which the**
22 **entire biparietal or occipital frontal diameter has to come**
23 **through the pelvis. In addition, there's no concern about,**
24 **as I mentioned, about the effects of the uterine --**
25 **uterotonics we call them, in this case Misoprostol and**

1 **Q. At San Francisco --**

2 **A. The hospital, Women's Options Center.**

3 **Q. Including with women with prior C-sections?**

4 **A. Yes.**

5 **Q. And from your experience, do you -- are you aware**
6 **that other abortion providers employ -- administer**
7 **Misoprostol in those dosages for these purposes in third**
8 **trimester abortions on women with prior C-sections?**

9 **A. Yes, they do.**

10 **Q. As you -- you've testified that you go around to**
11 **various hospitals and clinics around the country who provide**
12 **third trimester abortions, correct?**

13 **A. Yes.**

14 **Q. And when you are making those reviews, do you**
15 **review the dosages of Misoprostol that they apply?**

16 **A. Yes. I'm not saying that every one of the**
17 **programs we've created around the country is accomplishing**
18 **third trimester abortion.**

19 **Q. But where they do?**

20 **A. It's probably more important at meetings. I speak**
21 **with Warren Hern, who runs the clinic in Boulder recently,**
22 **and we talk with one another about what we're doing and also**
23 **published our protocols. Doesn't mean they're exactly the**
24 **same in every place, but generally we agree about what the**
25 **best approach is.**

1 Oxytocin on placental profusion and fetal well-being. The
2 situations aren't comparable, and there's no sense in which
3 the college meant to apply this Practice Bulletin to the
4 conduct of abortion. This is live births. I will remark,
5 though, that they do permit -- do recognize the need to
6 provide vaginal birth after cesarean delivery outside of a
7 hospital, but they're not talking about abortion.

8 **MR. RUBIN: Let me just have the record reflect**
9 **he's referring to Practice Bulletin 115.**

10 **MR. GOLDBERG: That's right, Exhibit 10. In fact,**
11 **I'm going to move the admission of Exhibit 10.**

12 **MR. RUBIN: No objection.**

13 **MR. THOMPSON: All right.**

14 **Q. Let's talk specifically about the administration**
15 **of these uterine stimulants. Let's -- you understand that**
16 **Dr. Sella applied, administered Misoprostol both vaginally**
17 **and buccally to ML for the purpose of softening or preparing**
18 **the cervix with dosage of 100 MCGs, right?**

19 **A. Yes.**

20 **Q. In your opinion, does that meet the standard --**
21 **appropriate standard of care that should be applied in this**
22 **procedure?**

23 **A. Yes, that's exactly what we do in this situation.**

24 **Q. By "we" --**

25 **A. At San Francisco General.**

1 **Q. All right. Let's now turn to Dr. Sella's**
2 **administration of Misoprostol in -- late in the evening of**
3 **the second day, the 11th of May and early in the morning of**
4 **the third day for purposes of augmenting the labor that had**
5 **started at 100 MCGs. Was that appropriate under the**
6 **standard of care?**

7 **A. Yes.**

8 **Q. Is that consistent with what you all do at the San**
9 **Francisco Hospital?**

10 **A. Yes.**

11 **Q. Including on women with prior C-sections?**

12 **A. Yes.**

13 **Q. Is that consistent with your understanding of what**
14 **other abortion providers do around the country in third**
15 **trimester abortions on women with prior C-sections?**

16 **A. Yes.**

17 **Q. How about the fact that Dr. Sella applied Pitocin**
18 **on the early morning -- starting in the early morning of the**
19 **third day, May 12th, and through the next seven or eight or**
20 **nine hours.**

21 **A. Yes.**

22 **Q. First at what she calls a low dose at ten units?**

23 **A. Ten units in a liter.**

24 **Q. And is that generally considered a low dose among**
25 **the abortion providers?**

1 A. Yes.

2 Q. And then she upped that dose to 60 units per
3 liter?

4 A. Yes.

5 Q. And are those dose -- first of all, was her
6 administration of Pitocin for these purposes consistent with
7 the standard of care that should be applied by -- in these
8 procedures to a woman like ML?

9 A. Yes, it was.

10 Q. And have you -- have you seen that done in the
11 hospitals where you have worked?

12 A. Yes.

13 Q. And as you go around the country and observe
14 others and talk to other abortion providers, are you aware
15 that they also apply similar dosages for those purposes?

16 A. Yes.

17 Q. Are you aware that the American College of
18 Obstetrics and Gynecology in 115 gives guidance that
19 Misoprostol should not be used with women who have prior
20 C-sections in the TOLAC, that's the trial of labor after
21 cesarean section; or in VBACs, the vaginal births after
22 cesarean section?

23 A. Yes, I am.

24 Q. And notwithstanding that they should not be used
25 -- ACOG guides that they should not be used for TOLACs and

1 VBACs, do you have any doubts that it is appropriate to use
2 them in abortion procedures?

3 A. No, I do not, and this -- as we've said, this
4 statement is not -- is not directed at abortion procedures.

5 Q. And is there good reason that they should not be
6 applied in abortion proced- -- strike that. Is there good
7 reason that Misoprostol can be used even with women with
8 prior C-sections in abortion procedures even if they should
9 not be used in TOLACs and VBACs?

10 A. Yes.

11 Q. And what are those reasons?

12 A. The reasons I've mentioned. With regard to the
13 conduct of the procedure, you need to obtain cervical
14 compliance and dilation.

15 Q. Would you turn to Exhibit 14.

16 A. I have it.

17 Q. Okay. I want to get you the right page.

18 A. ACOG committee opinion?

19 Q. This is 115, correct?

20 A. Uh-huh.

21 Q. Would you turn to the page 6. That bears document
22 control number -- oh, you don't have a document control
23 number on this. Page six of the Bulletin, do you have that
24 in front of you?

25 A. You mentioned Exhibit 14, and actually --

1 Q. I said 10. I mean -- I actually mean Exhibit 10.

2 MR. RUBIN: Exhibit 10 is which?

3 MR. GOLDBERG: 115.

4 MR. RUBIN: What page is that?

5 MR. GOLDBERG: Exhibit 6 -- page 6.

6 MR. RUBIN: Page 6 of Exhibit 10.

7 Q. (By Mr. Goldberg.) Do you have that in front of
8 you?

9 A. Yes.

10 Q. Would you read into the record the second full
11 sentence starting, "Because."

12 A. "Because studies have not identified a clear
13 threshold for rupture, an upper limit for Oxytocin doing --
14 dosing with TOLAC has not been established."

15 Q. Oxytocin, is that the same as Pitocin?

16 A. Yes.

17 Q. Would you turn down to the bottom of that column
18 in the last full paragraph and read into the record the last
19 full sentence starting, "The varying outcomes."

20 A. "The varying outcomes of available studies in the
21 small, absolute magnitude of the risk reported in those
22 studies support that Oxytocin augmentation may be used in
23 patients undergoing TOLAC."

24 Q. And do you agree with the observations that are
25 made in 115 as to those points?

1 A. I do.

2 Q. And is it your experience that Oxytocin is used
3 with women with prior C-sections in TOLACs and VBACs?

4 A. Yes.

5 Q. And is it also your experience that Oxytocin and
6 Pitocin is used as Dr. Sella used it here with third
7 trimester abortion patients with prior C-sections in
8 abortions?

9 A. Yes.

10 Q. Was there a time when the American College of
11 Obstetricians and Gynecologists did have a bulletin that
12 said categorically, as Dr. Bullock would have it, that
13 TOLACs and VBACs should only be provided in hospitals?

14 A. Yes, there was.

15 Q. Would you look at Sella Exhibit 13.

16 MR. RUBIN: Mr. Hearing Officer, we are at 12:35.

17 MR. THOMPSON: Okay.

18 MR. GOLDBERG: I'm almost --

19 MR. RUBIN: I think I know what Mr. Goldberg is
20 doing, but I think he needs to be cut off at --

21 MR. THOMPSON: Well, I'm going to do that. Here's
22 what I'm going to do. We're going to --

23 MR. GOLDBERG: I'm almost -- I'm actually almost
24 done with direct.

25 MR. THOMPSON: But I've come to a new conclusion.

MR. GOLDBERG: Okay.

MR. THOMPSON: I am not going to rush expert testimony. We're going to get through direct, we're going to have a reasonable lunch, reasonable, shorter. If Mr. Rubin does not complete with him, we're going to continue, and we'll do it by phone.

MR. GOLDBERG: That's fine.

MR. RUBIN: That's fine.

MR. THOMPSON: That's what we're going to do, because this is too important. We're not going to push anybody's expert. So let's finish direct. We'll have a human lunch.

MR. RUBIN: Okay.

MR. THOMPSON: You will make your plane.

And, Mr. Rubin, if you are not done with your questions at the end, we're going to continue the hearing, and we'll make them present him by phone, and we'll complete cross-examination, okay.

MR. GOLDBERG: If -- if the Hearing Officer will give me five minutes, I may be able to finish direct --

MR. THOMPSON: Okay.

MR. GOLDBERG: -- if I can have five minutes. I can't -- I can't promise.

MR. THOMPSON: Well, that's fine. I want -- I just want -- that's fine. Let's just get done.

Q. (By Mr. Goldberg.) Do you have Exhibit 13 in front of you?

A. I do.

Q. Can you identify Exhibit 13?

A. That's American College of Obstetricians and Gynecologists Practice Bulletin Number 54 dated July 2004.

MR. GOLDBERG: We move the admission of Exhibit 13, Mr. Hearing Officer.

MR. RUBIN: No objection.

MR. THOMPSON: No objection. Was there -- did you move Exhibit 10?

MR. GOLDBERG: I believe I -- I believe I did, Mr. Hearing -- but I will again.

MR. THOMPSON: Okay. Any objection?

MR. RUBIN: No, not to 10.

Q. (By Mr. Goldberg.) Okay. Would you look on the first page of Exhibit 13 at the upper right-hand corner?

A. Yes.

Q. What does it say?

A. "Out of print."

Q. And over to the left, what does it say, the stamp over to the left?

A. "Replaced by number 115 dated August 2010."

Q. So is it your understanding that Exhibit 13, that is the Bulletin of July 2004, was that the bulletin where

ACOG took the position that all VBACs and TOLACs must be in a hospital?

A. Yes.

Q. Was there a reaction within the profession and among the population generally to that?

A. Yes, and the hospitals, which then refused to allow physicians to accomplish vaginal deliveries after cesareans, and the section rate rose in some of those hospitals to 40, even higher than 40 percent. In the United States overall, it rose to 30 percent.

Q. By "section rate", you mean the rate of live births that are done by cesarean section?

A. Yes.

Q. So in this country after Exhibit 13, the ACOG Bulletin, the cesarean rate skyrocketed?

A. Yes.

Q. And what happened?

A. Well, several things happened. In California, for example, we've studied maternal mortality rates, and they are actually rising as a result of the cesarean rate rising.

Q. So there's risks to not having TOLACs?

A. Yes.

Q. Even with people with --

A. That's to say nothing about the opinion of women who felt that they were being denied the chance to have a

vaginal delivery.

Q. Did the National Institutes of Health convene a convocation on that issue?

A. Yes, because of the rising cesarean rate, the Consensus Conference --

Q. Would you turn to Exhibit 11?

A. I didn't participate in that conference, but I was aware of it and aware of its results.

Q. Would you turn to Exhibit 11? Can you identify that document?

A. This is the NIH Consensus Development Conference Statement on Vaginal Births After Cesarean, New Insights.

Q. Is that -- is that the report from the conference that you just mentioned?

A. Yeah, dated March 2010.

MR. GOLDBERG: And we move Exhibit 11, Mr. Hearing Officer.

MR. THOMPSON: Any objection?

MR. RUBIN: No objection.

MR. THOMPSON: Eleven is admitted.

Q. (By Mr. Goldberg.) And was Exhibit 10 already admitted; that is, ACOG Bulletin 115 on which Dr. Bullock relies, was that a response then to Exhibit 11?

A. Yes, only a few months later the College issued a new committee opinion that replaced the old one of 2004.

Q. And is it your understanding that the purpose of 115 was to remove that categorical prohibition?

A. Yes, among other things.

Q. Among other -- and is it your understanding from your experience that that is -- that is the generally accepted understanding of 115 within the discipline?

A. Yes.

MR. GOLDBERG: Thanks. I have no further questions, Mr. Hearing Officer.

MR. THOMPSON: Okay. It's 12:20 -- 12:40, I'm sorry.

MR. RUBIN: Mr. Hearing Officer, I think, given the time constraints -- and by the way, I apologize if I was getting too vituperative there. I think it's a function of us going later than normal. If we're going to take an hour -- have a human lunch, which means an hour to us State employees, I think, why don't we just -- we could start with Dr. Darney or we could just simply do his cross-examination and redirect by telephone.

MR. THOMPSON: It's up to you. Do you want to take a half hour lunch and start it and get as far as we can get?

MR. RUBIN: I'd rather just start it by phone, because I think -- I don't want Dr. Darney to be running to his gate either.

(Note: Hearing in recess at 12:42 p.m. and reconvened at 1:25 p.m.)

MR. THOMPSON: We're back on the record. We're on cross-examination of Dr. Darney at 1:25 p.m. Go ahead, Mr. Rubin.

MR. RUBIN: Thank you.

CROSS-EXAMINATION

BY MR. RUBIN

Q. Good afternoon, Dr. Darney.

A. Good afternoon.

Q. So you've given a fair amount of testimony as to your background and history with abortions. How long have you been providing abortions?

A. Since 1973.

Q. Do you recall when Roe vs. Wade was decided?

A. Yes, right after Roe -- right after Roe, my chief and mentor, Ken Ryan, established an abortion clinic, and I worked there as a resident.

Q. Okay. So how many -- I believe you said you've done a few third trimester abortions, or how would you -- what was your testimony on that?

A. A few, yes. A dozen, perhaps.

Q. Oh, okay. A dozen. Of those dozen or so third trimester abortions, have any of them involved women presenting with a prior C-section?

MR. GOLDBERG: Here's -- here's my suggestion: Why don't -- I would actually prefer that we get as much of Dr. Darney in live as we can, and so Dr. Darney is expecting to stay here -- is expecting to stay here until 2:00, 2:30 or so. We can get a good 45 minutes or an hour in over that period of time. That would be my suggestion.

THE WITNESS: I'd prefer that, too, since I haven't set aside time for telephone -- additional telephone discussion.

MR. GOLDBERG: We'll make -- we're going to make Dr. Darney available. I'm not interpreting what he's saying as not --

MR. THOMPSON: Yeah. Okay. So let's take lunch until quarter after. We'll do a 45-minute cross. What time -- what's your drop dead time for --

MR. GOLDBERG: I figured -- I told Dr. Darney 2:30 is probably -- 2:30, 2:40 is what I would call a drop dead time to get him to the airport for a 4:00 o'clock plane.

MR. THOMPSON: Let's reconvene then at quarter after 1:00, and we'll start cross, and we'll -- again, if we've not completed cross by the time he needs to catch his plane, we will continue the hearing and allow the State to continue its cross, okay?

MR. RUBIN: Okay.

MR. THOMPSON: We're off the record.

A. I don't recall specifically the cases I was involved in, but after we talked, I asked the chief of our Women's Options Center that I founded, and subsequently she -- I appointed her as director of it, how many third trimester procedures we're doing now, and I mentioned that she said about 30, 30 last year in the past year, so -- and some of those would have had previous cesarean. Now, whether I was involved with one that did have a previous cesarean, I don't recall specifically.

Q. Okay. You're saying "we". That means the facility that you're associated with?

A. Our practice group.

Q. But not necessarily you personally?

A. Not necessarily me personally.

Q. And of those dozen or so third trimester abortions, what's the latest in terms of the term of the pregnancy? What's the latest one you've done?

A. That I personally have been involved in?

Q. Yes.

A. Because I asked Eleanor specifically the latest we as a group had done, which was --

Q. Dr. Darney, Eleanor, whoever Eleanor is, I need your testimony. I'm asking about your personal --

A. I would have to guess. I'll say 33 weeks.

Q. Okay. You don't recall exactly when the latest

1 one was?

2 A. The date on which I did it? No, I don't.

3 Q. No, no.

4 A. I don't recall when it was.

5 Q. And you say you're guessing about 33 weeks was the
6 latest term abortion you've performed that you recall and
7 this specific abortion when you're saying 33 weeks, or are
8 you still guessing?

9 A. No, I'm just estimating from the patients who were
10 sent to us, and I don't know precisely.

11 Q. Okay. When was the last time you did a third
12 trimester abortion?

13 A. Would have been several months ago.

14 Q. Okay. Do you remember the term on that one?

15 A. No.

16 Q. Okay. Do you remember if it was more than 30
17 weeks?

18 A. I believe it was.

19 Q. Okay. Is that the one that's refreshing your
20 memory as to 33 weeks?

21 A. Maybe.

22 Q. Maybe. Okay. Of these dozen or so third
23 trimester abortions that you've -- that you've personally
24 been involved in, how many of those occurred at a standalone
25 clinic like the one that is at issue in this case?

1 A. Well, none of those, because they're all -- they
2 were all done in our hospital-based clinic.

3 Q. Okay. And of those 12 or so in the hospital-based
4 clinic, correct me if I'm wrong, but is it fair to come up
5 with a dichotomy between the, let's say emergency, the ones
6 that are elective versus the ones that are more necessary
7 and -- am I phrasing that right or --

8 A. You mean whether the indications were --

9 Q. Fetal versus --

10 A. -- maternal or fetal?

11 Q. Right.

12 A. The great majority would have been fetal.

13 Q. Okay. So by fetal, it was essentially an elective
14 procedure, correct?

15 A. Well, elective in whose perception? Certainly not
16 in the parents' perception.

17 Q. Well, if a woman seeks an abortion because of
18 fetal indicators, you're saying that there is -- the health
19 of the mother is not at risk, correct, if she has -- if she
20 carries the baby to term and delivers it, right?

21 A. Well, it may or may not be a term. Depends on the
22 circumstances.

23 Q. Okay. Well, going back to these 12 or so, you
24 said that some of them, more than half, let's say, were
25 fetal indicators?

1 A. Well, more than half, but if we're going to use
2 that dichotomy -- I mentioned earlier that sometimes both
3 exist. If we're going to use that dichotomy that you
4 suggested, then the great majority would have been fetal --
5 fetal indications, just as this case of ML was. Typical is
6 a sonogram or a genetic study. That's why the number of
7 these procedures is increasing, genetic determinations.
8 Sonography is increasingly sophisticated. So there are more
9 and more diagnosis of deformities like the patient ML had.

10 Q. Right, macrocephaly, that kind of --

11 A. Yes.

12 Q. Okay. Do you consider -- well, going back to the,
13 I guess, in the universe of operations that physicians
14 perform, there's the -- I guess the most elective I could
15 think would be let's say a collagen injection by an actress,
16 and maybe the most necessary one, an emergency tracheotomy
17 in a restaurant. Okay, this procedure, would you -- would
18 you characterize this as more elective or more like the
19 tracheotomy?

20 A. Well, I think you'd have to look at each
21 individual patient.

22 Q. Well, looking at this individual patient.

23 A. ML?

24 MR. GOLDBERG: By "this", you mean ML?

25 MR. RUBIN: Yes.

1 Q. Was this a case of elective surgery, Dr. Darney?

2 A. Do you mean could she have continued? I don't
3 think I can make a judgment, because I didn't talk to her
4 about the effects the birth of this severely effected fetus
5 would have had on her mental health, on the health of the
6 child she already had. So without really knowing the
7 patient, I don't think I can slide -- slide a cursor along
8 the scale you're suggesting.

9 Q. Well, let's go and talk a little bit about TOLACs
10 now. I'm sure we all understand what it stands for right,
11 trial after -- well, what's TOLAC stand for?

12 A. Trial of labor after cesarean.

13 Q. Sorry. Sometimes I still miss that. What's your
14 experience with TOLACs? How many have you done?

15 A. Again, I don't know precisely, but I would
16 estimate hundreds.

17 Q. Hundreds. Okay. And you've been practicing since
18 1973, right? I'm sorry you've been doing abortions --

19 A. Yes.

20 Q. How long have you been a practicing physician?

21 A. Well, I was an intern in 1969.

22 Q. And since 1969 you've done hundreds of TOLACs?

23 A. Yes, since 1973.

24 Q. Okay.

25 A. When I started my OB residency, I didn't do

1 deliveries as a surgical intern.

2 **Q. Let's just go -- there was some testimony about I**
3 **believe a report that you read by Dr. Bullock. Do you know**
4 **what I'm referring to?**

5 A. Exhibit 12?

6 **Q. I believe that's correct. And you've given --**
7 **you've given your opinion in this case as to whether the**
8 **standard of care has been met by Dr. Sella, right?**

9 A. Yes.

10 **Q. What did you base -- what did you base that**
11 **opinion on that she met the standard of care?**

12 A. I base that on my review of the medical record, on
13 my knowledge of practice around the country with regard to
14 third trimester terminations and my reading of the
15 literature.

16 **Q. And by the literature, you mean at ACOG and NIH**
17 **literature?**

18 A. No, I mean all that I've read over the course of
19 my career, what I've written myself and what I've reviewed
20 in writing, what I've written in papers that I've reviewed
21 as a peer reviewer.

22 **Q. Okay. Did you base your opinion on anything Dr.**
23 **Bullock told you in that report?**

24 A. Well, I read his report and deposition, and then I
25 checked the facts. I checked the medical record against

1 **facts in Dr. Bullock's report or are they -- as well as the**
2 **facts in the medical records or --**

3 A. Well, I assume in the -- what I'll have to say
4 were misapprehensions and errors of fact in Dr. Bullock's
5 report regarding, for example, sequence of medications and
6 the dose of medications and so on caused me to look more
7 carefully at this report. I thought you were asking me how
8 did I use Dr. Bullock's reports.

9 **Q. That's part of what I was asking you.**

10 A. Uh-huh.

11 **Q. Okay. If you hadn't -- if you hadn't seen Dr.**
12 **Bullock's report, would you have a different opinion today**
13 **in any respects?**

14 A. No.

15 MR. RUBIN: Okay. Let's -- I don't know when
16 there will be a ruling on that exhibit, so -- but I just
17 wanted to ask those questions, Mr. Hearing Officer, to help
18 -- because I think it would be helpful for the Hearing
19 Officer.

20 **Q. Okay. Let's talk about TOLACs as they relate to**
21 **third trimester abortions. How would you characterize the**
22 **risks in the third trimester abortion? Would you**
23 **characterize them, as surgical or obstetric?**

24 MR. GOLDBERG: Object to the form of the question.

25 **Q. Okay. How would you -- I'll take away the second**

1 this report and against Dr. Bullock's reports, and in that
2 way, reading Dr. Bullock's report helped me focus on the
3 medical record. So in that sense it was useful.

4 **Q. Okay. Did you base -- your opinion's based on**
5 **certain facts as you understand them, correct?**

6 A. It was based on what I see in the medical record.

7 **Q. Certain facts --**

8 A. And the tests reviewed.

9 **Q. Certain facts relate to the -- to the mother, the**
10 **fetus, the clinic, right?**

11 A. Yes.

12 **Q. Are there any facts that are in Dr. Bullock's**
13 **report that you relied upon that you -- independently of**
14 **that?**

15 A. Well, as you might expect, I read his report after
16 I'd read the medical record, and reading his report caused
17 me to go back and look at the medical record more carefully.
18 So I think in that way his report enhanced my understanding
19 of medical record, which I have now read several times.

20 **Q. Okay. So going back to my earlier question, which**
21 **I don't think you've answered yet, you based -- assume that**
22 **you're basing your opinion on certain facts as you**
23 **understand them, right?**

24 A. Yes.

25 **Q. What are you basing -- do those facts include the**

1 **part of the question.**

2 **I think you're right with your objection.**

3 **How would you characterize the risks in a third**
4 **trimester abortion?**

5 A. Do you mean what would I tell a patient the risks
6 are or --

7 **Q. Well, it doesn't necessarily have to be -- I don't**
8 **have to put you with a patient necessarily. How would you**
9 **describe them?**

10 A. Do you mean --

11 **Q. Give me an adjective?**

12 A. You mean as moderate, negligible, severe? I don't
13 understand what --

14 **Q. Not in terms -- not in terms of intensity, but**
15 **would you describe them as obstetric in nature?**

16 A. The risks of a third trimester abortion not in
17 someone who's had a previous cesarean, or in someone who has
18 had a previous cesarean? I'll need more specifics to
19 describe the risks, because the risks as well as the
20 indications, as we've already discussed, are very specific
21 to the individual case.

22 **Q. Okay. So you feel like you can't generalize about**
23 **risks?**

24 A. Oh, I could make some gross generalizations.

25 **Q. Did you make any during your testimony prior --**

1 previously today?

2 A. No.

3 Q. Okay. And you talked generally about -- if I
4 remember your testimony correctly, you were talking about
5 ACOG Practice Bulletin 115. You were generalizing about why
6 these TOLACs do not relate to third trimester abortions,
7 right?

8 A. Yes.

9 Q. So that's a generalization?

10 A. So you want me to restate the -- outline the
11 differences?

12 Q. Well, let's go through my questioning instead. So
13 we have -- what I think you had said before was that with
14 regard to third trimester abortions with a C-section, the
15 risks are, in fact, obstetrical in nature, right?

16 A. No. The third trimester abortion is not an
17 obstetrical procedure. You'll recall that I made a clear
18 distinction between the guidelines from the College, which
19 are directed at obstetrical care and the standard of
20 practice for third trimester abortion, and I stated how
21 those ways the risks are very different.

22 Q. I was asking more specific questions, Dr. Darney.
23 Not all third trimester abortions -- third trimester
24 abortion where there is a prior C-section history, are those
25 more like a TOLAC situation?

1 A. Well, because there's -- they're more like a TOLAC
2 situation in the sense that in both cases there's been a
3 previous cesarean, of course.

4 Q. Okay. And with regard to the certain risks such
5 as -- well, not such as, specifically uterine rupture,
6 aren't the risks fairly similar?

7 A. No.

8 Q. Okay. Well, let's go through what exactly a TOLAC
9 is, and then we'll talk about abortions. A TOLAC, as I see
10 it, you have a -- it refers to where you have a term
11 pregnancy, which would be about 38 weeks?

12 A. Yes.

13 Q. Okay. And the physician is trying to have the
14 baby delivered through the vagina, correct?

15 A. Yes.

16 Q. Okay. And that may or may not involve the use of
17 stimulants such as Pitocin, correct?

18 A. Correct.

19 Q. Okay. Could it -- and it may or may not involve
20 in your opinion the use of Misoprostol, correct?

21 A. Yes.

22 Q. Okay. And so this case, we have a fetus, which
23 was 35 weeks in terms of its gestational age, right?

24 A. Yes, approximately.

25 Q. And we have the same C-section history as you

1 would have with a TOLAC generally, right?

2 A. Yes.

3 Q. Okay. And we're trying to get the fetus out
4 through the vagina as with a TOLAC, correct?

5 A. Yes.

6 Q. And in this case, we also have the use of
7 prostaglandins and Pitocin, right?

8 A. Yes.

9 Q. And so all things equal, if you have the same
10 woman -- in other words, if this particular patient had
11 presented to Dr. Sella and said, "Can you deliver this baby
12 for me, can you do a TOLAC for me," there would still be --
13 wouldn't we have similar risks of uterine rupture?

14 A. No.

15 Q. Okay. Does the status of the fetus influence
16 whether the -- whether the uterus would rupture?

17 A. Yes.

18 Q. So if the baby is dead, and I don't know how -- I
19 don't mean to talk crudely. I'm just trying -- and forgive
20 me if I am. I'm not trying. If the baby or fetus is dead
21 and you're still trying to induce it through labor, through
22 the vagina, through stimulants, you're saying that there's a
23 different risk of uterine rupture than if the baby's still
24 alive?

25 A. Yes.

1 Q. Why?

2 A. Because as we discussed when we reviewed these
3 materials earlier and make -- when we're making the point
4 that -- that College guidelines are directed at obstetrical
5 care, not at abortion care, we mentioned that we'd take a
6 much different approach to achieving cervical compliance and
7 cervical dilation prior to the induction, and we went
8 through that with regard to the medical record of ML; that
9 is, Laminaria were inserted, that Misoprostol was used in
10 anticipation that it would be used over a period of three
11 days. You would never do that in an obstetrical procedure.
12 You'd be concerned about the welfare of the fetus, and then
13 we went on to describe -- well, I'll stop there. There are
14 important differences, and we mentioned those.

15 Q. Well, okay.

16 A. And those differences would -- this is answering
17 your question --

18 Q. Finally.

19 A. -- finally, excuse me. Those differences would I
20 believe change the risk of uterine rupture, and it would be
21 considerably lower in the situation of a pregnancy
22 termination than in the situation of delivering a baby for
23 all the reasons that we mentioned already.

24 Q. So the fact that Laminaria were used, is that one
25 of the ways that there's a different risk of uterine

1 rupture?

2 A. The fact that the cervix is treated for days prior
3 to the induction, the fact that the fetal head can be
4 collapsed --

5 Q. Well, hold on. Was the fetal head collapsed in
6 this case before there was uterine rupture?

7 A. No.

8 Q. Okay. So continue. So we have -- what else is
9 there now?

10 A. The fact that you can use -- you would use
11 different doses of uterotonics. The two aren't comparable.

12 Q. Okay. So do you -- let's compare the risk of
13 uterine rupture for a moment. I believe you gave a lot of
14 testimony about third trimester abortions, correct, and what
15 your experience is with them, what the risks are, comparing
16 them to TOLACs. What is a third -- what does a third
17 trimester abortion mean to you in terms of the range of
18 weeks to term, gestation, the gestation of the fetus?

19 A. It would -- any termination after about 25 weeks.

20 Q. Okay. Do any of the risks --

21 A. So, for example, in our clinic, after about 25
22 weeks, we use an approach quite similar to the one that was
23 discussed with regard to patient ML. Prior to that time, we
24 would use a surgical approach.

25 Q. Okay. As opposed -- surgical versus obstetrical

1 approach?

2 A. No, surgical versus third trimester approach.

3 Q. Okay.

4 A. Second trimester versus third trimester.

5 Q. Okay. So can you -- with regard to the risk of
6 uterine rupture, is the size of the fetus or baby a factor,
7 all things equal?

8 A. Yes.

9 Q. The larger the fetus, the more likely the uterine
10 rupture, correct, all other things equal?

11 A. Yes.

12 Q. And this case was a 35-week termination, right?

13 A. Yes.

14 Q. That's roughly around the time that people would
15 perform a TOLAC as well, right?

16 A. Well, no, you wouldn't -- certainly wouldn't
17 intentionally deliver a baby at 35 weeks.

18 Q. Thirty-six weeks?

19 A. No.

20 Q. Thirty-eight weeks?

21 A. Beginning at 38.

22 Q. Okay. And with regard -- with regard -- let me
23 try to be more specific about the risk factor with regard to
24 the size of the fetus. What's the hardest part of the fetus
25 to deliver?

1 A. Well, the largest diameter and the earlier in
2 gestation, the relative -- the head is relatively the
3 largest diameter. So the more premature, we'll call it, a
4 fetus is, proportionally the larger the head is in relation
5 to the shoulder, which is the next larger diameter.

6 Q. So -- and I guess there are some cases where you
7 have some sort of problem with the shoulders coming out, and
8 those can be catastrophic. In other words, when the head is
9 out and the shoulders are still in, that can be catastrophic
10 results, right?

11 A. Not in the case of a third trimester termination.

12 Q. Okay. So I think -- could I take your answer to
13 mean that --

14 A. That would be another example of how third
15 trimester termination --

16 Q. Dr. Darney, let me -- let me finish my question.
17 So --

18 MR. GOLDBERG: I'm going to object. I'm going to
19 object to Mr. Rubin interrupting, and I think that he
20 doesn't like where it's going.

21 MR. RUBIN: No, I think --

22 MR. THOMPSON: Overruled. I think I've done a few
23 of these, especially with doctors who are so smart they
24 always just need to answer the question he's asked. I know
25 they -- if you don't understand it, just ask him to clarify

1 rather than form your own answer. I think that's what's
2 going on here, so -- which I've confronted before, so pose
3 your question, please.

4 Q. (By Mr. Rubin.) So fair to say, fairly speaking,
5 the head is the biggest constraint in terms of how hard the
6 body has to work to deliver the fetus or the child, correct?

7 A. Yes, that's the largest diameter.

8 Q. And as I recall in this case, we had a case where
9 the head was unusually large, right?

10 A. Yes.

11 Q. I believe -- what was the medical term that was
12 used in the --

13 A. Macrocephaly.

14 Q. And referred to a gestation -- it was as -- if
15 that report is correct that Dr. Sella testified to earlier,
16 about 40 weeks. It was as if it had the head of a fetus
17 that would have been gestational at 40 weeks, correct?

18 A. Yes, as I recall, the PBD was 93.

19 Q. Right. So fair to say, it was as if you were --
20 in terms of the stresses, the risk factor for uterine
21 rupture, you were looking at delivering a 40 week old fetus,
22 correct?

23 A. No.

24 Q. So the size -- "no" with respect to I'm wrong to
25 think that the 40 week old head is not a risk -- is not a

1 factor here?

2 A. Right. You're wrong to think that, because when
3 you effected fetal demise -- in this case Dr. Sella had
4 effected fetal demise days before, softening the fetal head,
5 and she was prepared if it was -- typically what we do in
6 our -- in our practice to collapse the fetal head, so the
7 size of the fetal head would not be a contraindication of
8 proceeding as she did.

9 Q. Well, again, there was no collapsing of the head
10 in this case, correct?

11 A. No.

12 Q. Okay. So that's not really a consideration for
13 this case, is it?

14 A. Yes, if you're -- we're talking about the practice
15 of third trimester abortion. That's a -- is one of the
16 procedures.

17 Q. Well, when Dr. Sella agreed to do -- to perform
18 this procedure, you heard her testimony today, she said she
19 -- she read the signed report. She saw that it was -- we're
20 dealing with a fetus with a 40 week old head, for lack of a
21 better term, right?

22 A. Yes.

23 Q. So shouldn't she consider that in whether she
24 should perform this procedure?

25 A. I'm sure she did.

1 Q. Shouldn't she?

2 A. Yes.

3 Q. Because it's important to consider how much -- how
4 difficult the labor would -- the induction would be based
5 upon the size of the head, correct?

6 A. Yes.

7 Q. Okay. And, again, a TOLAC, we're dealing with
8 typically -- you said I think a 38 old week head, right?

9 A. Yes.

10 Q. And going back to what you said before, it's your
11 testimony that the status of -- putting aside what you can
12 do in each case, but the status of the fetus, itself, is a
13 heartbeat or there's no heartbeat. Does that change the
14 risk factors for uterine rupture just the mere fact if
15 there's a heartbeat, yes or no?

16 A. Yes.

17 Q. The mere fact of a heartbeat will make -- will
18 have what effect?

19 A. Yes, because the fetus is dead, fetal tissue is --
20 fetal softening occurs very rapidly. In a matter of 24
21 hours, the tissue begins to soften, and the fetal death
22 makes a tremendous difference both in second and in third
23 trimester abortion procedures.

24 Q. Well, and I know you're trying to answer my
25 question. The fact that the fetus is alive or dead in and

1 of itself, if the heart's not beating, does that make it
2 more difficult or less difficult to deliver?

3 A. Well, it doesn't have anything to do with the
4 heart beating. It has to do with fetal demise.

5 Q. So what you're saying though is it leads to
6 necrosis, softening of the tissue, correct?

7 A. Yes.

8 Q. Is there some literature you can point to that
9 describes how much -- how quickly the size of the head
10 softens -- well, let me ask this question instead. Does the
11 size of the head actually shrink?

12 A. Yes.

13 Q. And is there some literature that talks about how
14 quickly that happens?

15 A. Yes.

16 Q. Is it before us today?

17 A. Well, when we talked on the phone, I referred you
18 to what I've written about the use of Degoxin. That would
19 be a good place for to you start.

20 Q. Well, Degoxin is used to cause the fetal demise,
21 right?

22 A. Yes.

23 Q. And I don't recall -- and you're saying that you
24 told me that there's literature that says exactly how
25 quickly the head softens and how -- how it effects the size

1 of the head in that literature?

2 A. Well, as I recall, we discussed how fetal demise,
3 in fact, softens the tissue and decreases the maximum
4 diameter and compliance of the fetal head.

5 Q. By what percentage?

6 A. We don't know exactly what percent it would
7 decrease.

8 Q. Okay. One percent?

9 A. You mean, are there sonographic studies of those
10 changes?

11 Q. Yes.

12 A. I'm not aware of any.

13 Q. And you're -- I'm convinced that you're one of the
14 most preminent people in your field, and if there was a
15 study, you'd probably be likely to know about it, wouldn't
16 you?

17 A. There's -- probably would. There's considerable
18 written about the effect of fetal demise on abortion, and I
19 referred you to some of that literature.

20 Q. So but there's nothing that you can point that
21 says exactly what the effect is after -- I believe one day
22 of -- the Degoxin's administered on 5-10, and on 5-12, by
23 approximately -- by about one o'clock or so, we have the --
24 we have the rupture, right? So that's about a day and a
25 half, closer to two days of time for the necrosis to take

1 effect?

2 A. Yes.

3 Q. The 10th to 11th, 11th to 12th?

4 A. Uh-huh. It makes considerable -- makes a
5 considerable difference.

6 Q. I see, but there's no -- you're not aware of any
7 studies that would actually quantify that, right?

8 A. No, the studies that examine that, ours and other
9 studies, base that on surgeons' perception of the ease of
10 the procedure.

11 Q. I see. Okay. And that sounds fairly subjective,
12 doesn't it?

13 A. Well, you know, it's a likert kind of scale.

14 Q. Okay. Is it more subjective than, say, holding a
15 fetus in your hand and weighing it and deciding how much it
16 weighs?

17 A. More subjective, less subjective.

18 Q. Less subjective, okay.

19 A. We were talking about randomized trials, and some
20 -- some subjectivity is eliminated through the process of
21 randomization.

22 Q. Okay. So --

23 A. That is, physicians who are performing
24 terminations in which Digoxin hadn't been -- hadn't been
25 used would be compared -- those reports would be compared to

1 physicians using the same scale in which Degoxin had been
2 used. So it's a whole lot different than picking a fetus
3 and --

4 Q. Would you be willing -- are you willing to say
5 that if we started out -- if she presented with a 40 week
6 old head in regards to the fetus, of course, after two days
7 of necrosis, would be you be able to tell me if it was equal
8 to like a 38 week old head then or a 39 week or how many
9 centimeters or millimeters were lost in that day and a half
10 of necrosis?

11 A. No, but I would say that head's going to be --
12 softening of the head will be clinically significant.

13 Q. Okay. And that's about as far as you can --
14 you're willing -- you don't want to speculate, and so you
15 don't want to say anything further than that, do you?

16 A. I don't want to translate it into millimeters,
17 because remember, we -- you referred to two measures,
18 previous questioning of -- of the diameter of the head, the
19 biparietal diameter and the occipitofrontal diameter, OFD.
20 In the head of a live fetus, that gestation, there's not
21 much compliance as those don't -- can't change very much.
22 When the fetus is dead a day or two days, our studies and
23 other observations suggest that there's considerable
24 compliance, so the head would slip through more easily or
25 can very easily be decompressed.

1 Q. But I thought you said you didn't know any
2 specific studies that quantify this, right?

3 A. We've just discussed the kind of studies we did to
4 that make quantification, likert scale, randomized trials.

5 Q. So going back to the risks now for uterine
6 rupture, size of the fetus is a factor. The fact that
7 there's a previous C-section certainly increases the risks
8 of a uterine rupture with either a TOLAC or this type of
9 procedure in this case, right?

10 A. Repeat the question.

11 Q. A previous C-section in either your typical TOLAC
12 or the facts of this case increases the risks of uterine
13 rupture, correct?

14 A. Yes.

15 Q. Now, with regard to the use of stimulants, do you
16 have in front of you Bulletin 115?

17 MR. RUBIN: Joseph, if you cannot stack these, it
18 might work better.

19 MR. GOLDBERG: I wasn't stacking them. I was
20 putting them upright. Do you want me to stack them?

21 MR. RUBIN: Well, I don't want them upright.

22 MR. GOLDBERG: I understood what you meant.

23 A. I have 115. That's Sella Exhibit Number 10.

24 Q. Okay. And it's also Prosecution Exhibit Number 2.
25 Can you turn to page -- well, before we turn to any

1 particular page, let me -- was Misoprostol necessary for
2 this procedure in this case?

3 A. Was it necessary for ML's procedure? Yes. I
4 don't know if it was necessary, but in my view, it was an
5 important clinical adjunct.

6 Q. Would you have done the same thing?

7 A. Yes.

8 Q. You would have used Misoprostol?

9 A. Yes.

10 Q. But you can't say whether it was necessary or not?

11 A. Well, it's hard to make a judgment in clinical
12 treatment whether anything is necessary; I mean, necessary
13 to a successful outcome. It would have been a very
14 important adjunct, I would think, to success.

15 Q. And you don't want to leave out a very important
16 adjunct to success, do you?

17 A. No.

18 Q. Okay. So then let's turn to page six of Practice
19 Bulletin 115. There's two columns, a right and a left
20 column.

21 A. Yes.

22 Q. First full paragraph, the last sentence says,
23 "Therefore, Misoprostol should not be used for third
24 trimester cervical ripening or labor induction in patients
25 who have had a cesarean delivery or major uterine surgery."

1 I'm sure I read that correctly, but did I miss anything
2 there?

3 A. No, that's what it says.

4 Q. And if I understand your testimony correctly, this
5 -- this prohibition -- well, is it fair to characterize it
6 as a prohibition?

7 A. Yes.

8 Q. Okay. This prohibition applies only to TOLACs,
9 right?

10 A. You mean, doesn't apply to third trimester
11 abortion?

12 Q. That's right, any third trimester abortion
13 regardless of the term of the fetus.

14 A. Right, it was not intended to apply to a third
15 trimester abortion. This is written --

16 Q. Well, are we getting into the intent or -- okay.
17 So that's your interpretation of this document, right?

18 A. Yes.

19 Q. And I think you've already -- you've relied on
20 this ACOG Bulletin, yourself, as part of the basis for your
21 opinion, right?

22 A. Yes.

23 Q. It's certainly very authoritative, correct?

24 A. Yes.

25 Q. Does ACOG lightly come out with a -- with a strong

1 statement like this regarding medical treatments, or are
2 they careful about saying something like this?

3 A. They're careful.

4 Q. They don't want to give risk to the many of
5 plaintiffs lawyers out there who are looking for something
6 to sue on, right?

7 MR. GOLDBERG: Object to the form.

8 MR. RUBIN: I'll withdraw the question.

9 MR. THOMPSON: No. Well, you withdrew --

10 MR. RUBIN: I guess I shouldn't have.

11 MR. THOMPSON: Who knows.

12 Q. Let's --

13 A. Well, I --

14 MR. THOMPSON: He withdrew the question. You
15 don't need to answer.

16 A. I do know.

17 Q. Okay.

18 A. Because I participated in committees and drafted
19 committee opinions for the College, and they take special
20 care to say that they're not setting a standard of care with
21 any of these for the very reason you mentioned.

22 Q. Okay. And I believe they repeat this prohibition
23 on page 8 at the very bottom, the third bullet. Let me read
24 that into the record, and you can tell me if I've read it
25 incorrectly. "Misoprostol should not be used for third

1 trimester cervical ripening or labor induction in patients
2 who have had a cesarean deliver or major uterine surgery,"
3 correct?

4 A. That's what it says, yes.

5 Q. Okay. So let's compare that to the facts of this
6 case for a moment, Dr. Darney. Is this a third trimester
7 cervical ripening? Did this involve a third trimester
8 cervical ripening?

9 A. This isn't -- yes, but this is not intended to
10 apply to third trimester abortion. It says delivery of a
11 live baby.

12 Q. So that first phrase, though, we have present in
13 this case, right, third trimester cervical ripening, right?

14 A. Yes.

15 Q. Okay. And we have a patient who has had a
16 cesarean delivery previously, correct?

17 A. Yes.

18 Q. So they've also said that this Bulletin 115
19 replaced Bulletin 54 from 2004, correct?

20 A. Yes.

21 Q. And you went into a very educated description of
22 why that was. As I understand it, it was motivated by the
23 change in C-section rates for the 2004 opinion, correct?

24 A. Yes.

25 Q. Wasn't motivated by changes in uterine rupture

1 rates, was it? Did it have anything to do with the uterine
2 rupture incidents?

3 A. Did the change from 2004 to 2010 have anything to
4 do with the rising incidents --

5 Q. Or lower incidents?

6 A. -- of uterine rupture? Yes.

7 Q. Okay. So your answer before when you said it was
8 C-section rates where we had -- we saw a much higher
9 incidence of C-section rates, there was something else at
10 work, also?

11 A. Yes, the complications that follow rising
12 C-section rates, and I mentioned, for example, rising
13 maternal mortality in California.

14 Q. You would have decreasing -- well, with a
15 C-section, there's --

16 A. Because of rising C-section rates.

17 Q. Well, of course, with a C-section -- with
18 C-sections you don't have the same high incidence of uterine
19 rupture, do you? Is there any incidence of uterine rupture,
20 really?

21 A. Well, yes, because if you've had a C-section
22 before and you -- this is what this is all about.

23 Q. No, no, I'm asking you if you do have a C-section?
24 Let me interrupt you.

25 A. Then you'd subsequently be a risk of uterine

1 rupture.

2 MR. THOMPSON: The question was, is there a higher
3 incidence of uterine rupture in C-sections.

4 MR. RUBIN: Thank you.

5 MR. THOMPSON: Can you answer that question?

6 THE WITNESS: You mean if somebody's actually
7 having a C-section?

8 **Q. (By Mr. Rubin.) Yes, not a history, C-sections.**

9 A. Do C-sections reduce the risk of uterine rupture?

10 **Q. Yes.**

11 A. No, they increase the risk of subsequent uterine
12 rupture. So overall, they increase the risk. That's the
13 problem, and they cause even -- even more important
14 problems, abnormalities of placentation and so on. So we
15 wanted to decrease the rate of cesarean delivery to reduce
16 the risk of subsequent placenta accreta, death from
17 hemorrhage and the subsequent, somewhat less important risk
18 of uterine rupture.

19 **Q. Okay. So I believe your testimony also was that
20 you do use Misoprostol in your practice with TOLACs; is that
21 right?**

22 A. Yes, we would sometimes, depending on the
23 situation, use, despite the admonition rather than
24 prohibition here, despite that admonition not to do so, we
25 would occasionally use Misoprostol if we thought it

1 important to effect delivery.

2 **Q. Okay. Would you ever use Misoprostol in a TOLAC
3 in a case where it was an elective -- strike that.**

4 A. And I mention that we would almost always use it
5 in the case of a third trimester termination.

6 **Q. Regardless of term?**

7 A. Yes.

8 **Q. But, again, you haven't done one in 35 weeks, have
9 you?**

10 A. I'm not aware that I've done one more than 35
11 weeks.

12 **Q. More than 33 weeks, I believe.**

13 A. I can't -- I can't recall specifically.

14 **Q. You can't. You're not even sure if it's 33, are
15 you?**

16 A. I don't know precisely.

17 **Q. Okay. So let's see if -- I've lost the thread of
18 my own mind here. Let's see. Okay. So with regard to a
19 TOLAC, you said despite this prohibition in the ACOG
20 Bulletin, you would on certain special occasions still use
21 Misoprostol, right?**

22 A. Yes.

23 **Q. What would justify it in your mind?**

24 A. Need for a more rapid -- I'm not thinking of a
25 particular case.

1 **Q. I understand.**

2 A. I know you just asked me to imagine a situation in
3 which that might occur -- might have occurred.

4 **Q. Well, I'm not asking you to imagine anything, Dr.
5 Darney. I'm asking you to rely upon your experience. What
6 justified it in the past for you in your experience?**

7 A. Well, I don't recall a specific case. I simply
8 know that we -- I have used Misoprostol on women who have
9 had previous cesarean delivery in order to effect the rapid
10 vaginally delivery by priming the cervix.

11 **Q. Okay. Was there any need for a rapid vaginal
12 delivery in your view in this case?**

13 A. No, in fact, it -- that's one of the big
14 differences between -- between abortion in the third
15 trimester and delivery as outlined in the Bulletin we're
16 discussing; that is, that you can take a long time to
17 accomplish the abortion, and you can't take that long
18 accomplishing a delivery.

19 **Q. Right. Right.**

20 A. Because it won't be good for the fetus.

21 **Q. And you keep referring to third trimester
22 abortions. Does your opinion differ at all with a 25 week
23 old fetus versus a 35 week old fetus, or is it the same,
24 same analysis for you?**

25 A. In terms of abortion?

1 **Q. Yeah. Same considerations?**

2 A. Well, I said earlier that at about 25 weeks is
3 when we, and I think most practitioners, move from a
4 surgical evacuation of a uterus to a combination of surgical
5 induction evacuation of the uterus.

6 **Q. Okay. Do you remember my question? Okay. Let me
7 ask it again.**

8 A. Are 25 and 35 the same.

9 **Q. Right, and you're saying that they're the same?**

10 A. The approach would generally be about the same,
11 yes.

12 **Q. And with respect to uterine rupture --**

13 A. You picked 25, which is just the margin, so I --
14 you know, if you said 27, you'd say it's the same.

15 **Q. With regard to uterine rupture, same
16 considerations between a 25 week and a 35?**

17 A. Yes.

18 **Q. Going back to Practice Bulletin Number -- before
19 we go back to that, let me ask one more question about
20 Misoprostol. Would you give a patient Misoprostol, let's
21 say someone who was seeking to effect a TOLAC and send her
22 home for the evening after administering her Misoprostol?**

23 A. Yes.

24 **Q. Would you give her another one to take home with
25 her and have her administer to herself?**

1 A. Yes.

2 Q. All right. So let's go back to Practice Bulletin
3 Number 115. Can you look at page 8 again for me?

4 A. Got it.

5 Q. This section, I believe, correct me if I'm wrong,
6 discusses where a TOLAC should occur; in other words, clinic
7 vis-a-vis a hospital, right?

8 A. Yes.

9 Q. Okay. Fair to say the risks of uterine rupture
10 are -- should be -- are much better managed in a hospital
11 than in a clinic, correct?

12 A. Well, not the risks of uterine rupture but the
13 consequences of uterine rupture.

14 Q. Okay. So the consequences of uterine rupture, and
15 why is that?

16 A. If there is bleeding, you have immediate access to
17 a blood bank.

18 Q. Right.

19 A. If a laparotomy is required, it may be more
20 available. It depends on the hospital.

21 Q. Right, but this is about -- okay. Sorry.

22 A. For example, one of the issues with this statement
23 was that not all hospitals, in fact, a minority of
24 hospitals, a minority in New Mexico can provide immediate
25 blood banking, immediate anesthesia and immediate cesarean

1 delivery for TOLACs. So requiring that vaginal delivery
2 after cesarean be accomplished in such a hospital would mean
3 that most women wouldn't have access to it, because they'd
4 have to be at the University Hospital or at San Francisco
5 General. One of the motivations was to -- of this was to
6 make VBAC available to more women by restricting. Again, in
7 the case of some clinics, they -- they can have them. I
8 believe -- having visited the clinic, I believe that's true
9 for Southwest Women's Options. They can have better access
10 to an operating room than a hospital can have, because
11 they're right next door as is the Planned Parenthood Clinic
12 in Los Angeles is right next door to L.A. County USC. So
13 similar procedures, and all the patient has to do is go
14 across the street. While if you were at a hospital in a
15 small town, then there's really nobody to open up the
16 operating room. There's no anesthesiologist there all
17 night.

18 Q. So in this case, I believe there was about a half
19 -- 25 minute to 30 minute difference from when -- a time
20 interval from when this patient -- when Dr. Sella suspected
21 this patient may have uterine rupture to when she was in the
22 hospital, correct?

23 A. Yes, 24 minutes I think we have calculated.
24 That's fast.

25 Q. Can that mean life or death with uterine rupture?

1 A. Probably not.

2 Q. Okay. So this first sentence here on page 8 says
3 -- and let me know if I'm reading it incorrectly, "Trial of
4 labor after prior cesarean delivery should be undertaken in
5 facilities capable of emergency deliveries." That's what it
6 says, right?

7 A. Yes.

8 Q. A clinic is not what they mean by, "A facility
9 capable of emergency deliveries," right?

10 A. Well, a birth center could be capable of that.

11 Q. Was this one? Was the one in this case such a
12 facility?

13 A. Yes, because the patient could be immediately
14 transferred to the hospital.

15 Q. Okay. And then the first sentence in the next --
16 in the third paragraph, "Because of the risk" --

17 A. And, again, we -- the urgency that is conveyed
18 here is not just maternal welfare. The urgency conveyed
19 here is welfare of the baby; that is, uterine rupture is
20 much more likely to kill the baby than it is to kill the
21 mother. So the concern here and that's how this is
22 completely different than third trimester termination, the
23 concern here is for both the welfare of the baby and the
24 welfare of the mother.

25 Q. Okay. Let me just make sure, I think this third

1 -- the third paragraph in the first sentence, looking at
2 this as anything different than the first sentence in the
3 first paragraph, "Because of the risks associated with TOLAC
4 and that uterine rupture and other complications may be
5 unpredictable, the College recommends that TOLAC be
6 undertaken in facilities with staff immediately available to
7 provide emergency care." Does it say anything different
8 than the first sentence?

9 A. Yes.

10 Q. What is -- how is it different?

11 A. No, I think -- I agree that you read that sentence
12 correctly.

13 Q. And does it, again, restate what the first
14 sentence said?

15 A. I believe it does, yes.

16 Q. Okay. So let me just make sure I -- I know you
17 listed some distinguishing factors when Mr. Goldberg was
18 examining you, directly examining you between TOLAC and a
19 third trimester abortion. Parental intent you listed,
20 right?

21 A. Yes.

22 Q. The effects of the use of stimulants, and what was
23 the other distinguishing -- were there -- was there another
24 distinguishing factor besides that? I want to make sure
25 we're clear.

1 A. Between protocols for delivery of a baby and
2 protocols for third trimester termination?
3 **Q. Right. Well, with regard -- no, no, with regard**
4 **to managing the risk of uterine rupture? I believe that is**
5 **what we were talking about.**
6 A. Yes, another one -- there would be several, and
7 another one would be the possibility of evacuation of the
8 fetal calvarium, the fact that the fetus is always dead and
9 has softened, as we discussed previously, the fact that you
10 can use drugs in a different way, that cervical --
11 hydrophilic cervical dilators like laminaria are employed.
12 **Q. I thought they can be employed in either case?**
13 A. They could be, but it would be unusual. We have
14 used -- just as we have used Misoprostol to deliver in
15 TOLAC, we have used Laminaria, but that's not typical, while
16 it's almost always done for third trimester termination.
17 **Q. Okay. If I could just have a moment, I'd like to**
18 **-- we might be able to wrap this up actually and avoid the**
19 **necessity of telephone if I could just --**
20 MR. THOMPSON: Are you talking about a short break
21 or just a minute to confer with your expert?
22 MR. RUBIN: Let me have a few minutes; take a
23 short like five-minute break.
24 MR. THOMPSON: Yeah, and I have one or two
25 questions.

1 (Note: Hearing in recess at 2:20 p.m.
2 and reconvened at 2:25 p.m.)
3 MR. THOMPSON: Back on the record of
4 cross-examination.
5 **Q. (By Mr. Rubin.) Dr. Darney, could you quickly**
6 **turn to Bate stamp page -21.**
7 MR. GOLDBERG: This is in the --
8 A. Medical record.
9 **Q. The medical records, yes.**
10 MR. GOLDBERG: Okay.
11 **Q. This is the interview notes dated May 10th.**
12 A. Got it.
13 **Q. This is part of what you reviewed, correct?**
14 A. Yes.
15 **Q. Okay. Did this influence your opinion at all as**
16 **to whether or not the standard of care was met, what was --**
17 **what's contained in here, the substance of it?**
18 A. Yes.
19 **Q. In what way?**
20 A. That the physician, Dr. Sella, interviewed the
21 patient and asked critical questions about parental
22 motivation to terminate the pregnancy.
23 **Q. Okay. And so does parental motivation influence**
24 **whether or not the risks in this case outweigh the benefits,**
25 **the medical risks outweigh the medical benefits?**

1 A. Yes, and I thought it was reflective -- it was
2 important for me in establishing the standard of care was
3 met and in my opinion, exceeded, because it reflected the
4 kind of care Dr. Sella continued to provide throughout this
5 experience.
6 **Q. And so if it wasn't a finding of -- that this**
7 **woman would have had substantial irreversible harm to her**
8 **physical health and mental health, her family health, her**
9 **safety, well-being, this wasn't part of what you reviewed,**
10 **there was no finding of this, would your opinion change?**
11 A. About the standard of care being met?
12 **Q. Yes.**
13 A. No, I think this is one component.
14 **Q. Okay. So this isn't -- okay. That doesn't change**
15 **your opinion. Would it have been unethical for Dr. Sella to**
16 **refuse to perform this procedure?**
17 A. Well, that's really a --
18 MR. GOLDBERG: We're presenting him as a medical
19 doctor, not a medical ethicist.
20 A. But this is -- this is really an interesting
21 question to me, because one of my former residents just
22 wrote a piece in the New England Journal asserting that it
23 was unethical, that --
24 **Q. Well, let's go to what you think.**
25 A. -- that there's an ethical obligation to terminate

1 a pregnancy for such a patient.
2 **Q. Would you agree with that?**
3 A. That's Dr. Lisa Harris in the New England Journal
4 about a month ago. So it is something I'm really interested
5 in. Ethics are personal, of course, and you can't have -- I
6 can't say for Dr. Sella whether she would have felt as
7 Dr. Harris apparently would have felt that it was unethical
8 to --
9 **Q. I'm asking what you would do.**
10 A. -- refuse. Would I feel it was unethical?
11 **Q. Right.**
12 A. If I had -- she had contacted us, I would have
13 felt it was unethical if I did not refer her, as her own
14 physician thought apparently, it was unethical not to refer
15 her to someplace where she could receive the treatment she
16 needed. So my own personal definition of ethics would
17 require me, if I didn't do this procedure, to refer the
18 patient to someone who would.
19 **Q. And what's your knowledge as to -- I mean, you**
20 **talked about the availability of late-term, third trimester**
21 **abortions, right?**
22 A. Yes.
23 **Q. There are only a few places that do them?**
24 A. Right.
25 **Q. If Dr. Sella said no, what would have -- I'm not**

1 asking you to speculate, but what would be the result for
2 that patient?

3 A. Well, I don't know if -- if Dr. Sella had said no,
4 I don't know if there's --

5 **Q. Was there another option for an abortion?**

6 A. There may have been. She may have been able to go
7 to Boulder, Colorado, perhaps. I don't know.

8 **Q. Okay. If there wasn't another legitimate option,**
9 **would that influence what she should do in this case in**
10 **terms of the risks of -- weighing the risks and benefits of**
11 **the case?**

12 A. You know, you probably have to ask Dr. Sella that,
13 because I would be making an ethical decision for her. I'd
14 be speculating about how she felt about this ethically. I
15 know those of us who provide all kinds of medical care have
16 different definitions of what we consider ethical,
17 especially in regard to abortion, but also, in other
18 choices, you know, a cesarean section for someone who really
19 has to have a cesarean delivery but doesn't want one.

20 **Q. But let me ask this more specifically. I may be**
21 **-- by phrasing this ethics, I'm getting off track. Does the**
22 **lack of other options effect whether the standard of care**
23 **was met in this case?**

24 A. No, the standard of care is more absolute than
25 that.

1 **Q. It's independent of what's out there with other**
2 **options, correct?**

3 A. (Nods head.)

4 **Q. And the standard of care is independent of the**
5 **avail- -- overall availability of third trimester abortions**
6 **generally speaking, right?**

7 A. Well, the standard -- the standard of care is much
8 better defined than the ethics particularly of abortion.

9 **Q. Right. So let me -- so when I asked you about**
10 **ethics before, I mean, what I was trying to get at was the**
11 **ethics of adhering to a standard of care.**

12 A. Right. Now, I see what you're trying to get at.

13 **Q. Yes, and that's my fault, not yours.**

14 A. And that, too, is a difficult -- a difficult
15 question. I might speculate that Dr. Sella felt a special
16 obligation to meet the standard of care for a patient --
17 what I'm trying to say is that her -- her ethical regard for
18 this patient, that her regard -- her consideration of the
19 ethics of this situation is reflected in this interview I
20 think.

21 **Q. Okay.**

22 A. And I don't know, but I bet she felt a special
23 responsibility to care for this patient, because she
24 wouldn't have had many other choices. I know that's how I
25 feel when such patients come to our hospital. Where else

1 can the patient go?

2 **Q. And I'm not asking you to -- I'm not asking you to**
3 **opine on --**

4 A. Doesn't mean that I'm going to say, well, you
5 don't have to meet the standard of care here, because she
6 can't go anywhere else, if that's what you're asking?

7 **Q. That is -- what I'm asking is exactly that. Is**
8 **the standard of care effected by whether she can go anywhere**
9 **else? In other words, does the risk of uterine rupture**
10 **change based upon other options? Does the risk -- or the**
11 **manageability of the risks in a clinic effected by whether**
12 **there are other options? I'm not asking to you opine on Dr.**
13 **-- the empathy of Dr. Sella here. I'm asking you to opine**
14 **the standard of care in the abstract as to whether or not**
15 **it's effected by these other choices out there based upon**
16 **whatever political considerations there may be.**

17 A. Well, I've said that without regard to the special
18 situation of this patient, the record shows that the
19 standard of care was met.

20 MR. RUBIN: Okay. Well, then thank you. I have
21 no further questions.

22 MR. THOMPSON: Mr. Goldberg?

23 MR. GOLDBERG: Yeah, actually these last couple of
24 questions, I don't -- just ask you a couple questions.

25 REDIRECT EXAMINATION

1 BY MR. GOLDBERG

2 **Q. If the standard of care that Dr. Bullock is**
3 **asserting here, as you understand it, were, in fact, the**
4 **standard of care, would third trimester abortions be**
5 **available to a woman like ML?**

6 A. Probably not.

7 MR. GOLDBERG: Thank you. No further questions.

8 EXAMINATION

9 BY MR. THOMPSON

10 **Q. Two questions, one sort of follows on that point a**
11 **little more directly, which I think is, is there a**
12 **circumstance in which a freestanding clinic is an acceptable**
13 **location or an unacceptable location to conduct one of these**
14 **procedures? And what does that, if you can -- and maybe**
15 **you've provided it in your direct, but what are the criteria**
16 **that either make a freestanding clinic acceptable or**
17 **unacceptable?**

18 A. Most freestanding -- you know, 90 percent of
19 abortions are done in freestanding clinics around the
20 country. So there are a lot of those clinics. The great
21 majority of them would not be able to provide this kind of
22 care, because they don't have skilled personnel who know how
23 to do it. They don't have adequate -- an adequate facility,
24 you know, where the patient can labor overnight, and
25 probably most importantly, they don't have the kind of

1 relationship with a hospital where they can effect a rapid
2 transfer as occurred for this patient and occurs in Los
3 Angeles and occurs in Boulder.

4 **Q. Okay.**

5 A. So I'd say the facility, itself, physical
6 facility, personnel, probably the most important thing, and
7 transfer relationship would be three of the factors that
8 make these clinics different than typical freestanding
9 clinics.

10 **Q. Okay. Now, particular with regard to this case**
11 **and the uterine rupture, I asked the doctor whether it was**
12 **the change in the position that was the symptom of the**
13 **uterine rupture. There's some discussion with regard to the**
14 **mix of -- or the use of the Pitocin and Misoprostol. Do you**
15 **have -- did you form any opinion about whether or not that**
16 **combination of those drugs caused or would cause significant**
17 **contractions that would lead to a uterine rupture? Do you**
18 **see my question?**

19 A. Yes.

20 **Q. In your review of the record, I'm not clear**
21 **whether the uterine rupture was caused by the drug or the**
22 **change in the fetus's station? Were you able to --**

23 A. No, the -- the rupture was caused by uterine
24 contractions. Now, I don't think that you can indict this
25 particular -- a particular dose as a cause of the uterine

1 rupture, because the same dose would be unlikely to --
2 uterine ruptures aren't that common, and we use these drugs,
3 Pitocin, frequently without uterine rupture. The change in
4 station, though, was a sign of the uterine rupture, which
5 Dr. Sella detected. It wasn't that the fetus moved into
6 some unusual position.

7 **Q. And caused the --**

8 A. Right, you know, his head down, engaged and about
9 to deliver, and then when the uterus ruptures, the uterus
10 contracts and squeezes what's ever in it out.

11 **Q. Okay. And my last question is on the back and**
12 **forth with regard to the head size, the head -- is it your**
13 **testimony, the head size is less of a risk because in a**
14 **nonviable delivery both the drug softens the tissue, and**
15 **there is a possibility to -- what's the term with regard to**
16 **the head, to collapse the head?**

17 A. Yes.

18 **Q. Even though it didn't occur in this case, I know**
19 **it didn't occur.**

20 A. I think Dr. Sella said that would have been her
21 next step, and that would be typical.

22 **Q. So that -- would that potential for that**
23 **treatment, the potential for that medical action would**
24 **lower --**

25 A. Would mitigate the risk of uterine rupture,

1 because you make the judgment. We've been at this a long
2 time. I don't want to increase the dose, because there's a
3 relationship between dose and uterine rupture.

4 MR. THOMPSON: Okay. That's all the questions I
5 had. All right. Thank you, sir.

6 MR. RUBIN: Have a good flight, Dr. Darney.

7 THE WITNESS: Okay. Thank you.

8 MR. GOLDBERG: We can excuse the witness?

9 MR. THOMPSON: We'll take a quick break.

10 (Note: Hearing in recess at 2:38 p.m.)

11 and reconvened at 2:45 p.m.)

12 MR. THOMPSON: We're back on the record. It's
13 quarter to 3:00, and I'm not quite prepared to rule on
14 Exhibit 12, except I do have one question. Was this exhibit
15 -- was the expert report done prior to the NCA or was the
16 NCA --

17 MR. RUBIN: Prior to the NCA.

18 MR. THOMPSON: Okay. And did the Board rely on it
19 to issue the NCA?

20 MR. RUBIN: The Board -- the Board relied upon the
21 recommendation of the Complaint Committee, which in turn
22 relies upon staff, which looked at the report.

23 MR. THOMPSON: Okay.

24 MR. RUBIN: Just give you the facts as they are.

25 MR. THOMPSON: Okay. Doctor, you ready?

1 MR. BULLOCK: Yes.

2 MR. THOMPSON: Court reporter will swear you in.

3 GERALD LYNN BULLOCK, MD
4 after having been first duly sworn under oath,
5 was questioned and testified as follows:

6 DIRECT EXAMINATION

7 BY MR. RUBIN

8 **Q. So if we can just have your full name for the**
9 **record.**

10 A. Gerald Lynn, L-y-n-n, Bullock.

11 **Q. Dr. Bullock, can you describe -- well, how long**
12 **have you been licensed as a physician?**

13 A. Since '69.

14 **Q. And can you just go into a little background and**
15 **describe for the Hearing Officer your educational background**
16 **that lead you to being licensed as a physician?**

17 A. I finished high school in my home town of
18 Dennison, Texas in '61; went to Arlington State College; got
19 a Bachelor of Science in Biology and Chemistry in '65, and
20 then moved to the University of Texas medical branch in
21 Galveston for five years. I did my medical school there;
22 graduated MD in '69 and did a one-year OB/GYN internship
23 after that. Farther, or just to get to the degree?

24 **Q. Well, after you got your degree, what education if**
25 **there's any that you want to add?**

1 A. Well, I did my residency in OB/GYN in the Air
2 Force at Wilford Hall Air Force Medical Center, San Antonio,
3 Texas.

4 **Q. What were the years that that occurred?**

5 A. That was immediately after the internship, '70
6 through '74.

7 **Q. Okay. And can you describe your experience as a
8 physician since that time?**

9 A. Well, I spent four years in the Air Force at
10 Offutt Air Force Base in Omaha as Chairman of OB, and I was
11 on the staff of the two medical schools in town; did some
12 teaching while I was there. And then when I got out, I
13 moved to my hometown again and practiced in Dennison for
14 several years; moved into the Dallas area then. It's about
15 75 miles; practiced there for a while; wanted to try to get
16 back into academic medicine, so I became full-time faculty
17 at University of Texas Medical School in Houston. My job
18 was training the obstetrical and GYN portion of family
19 practice residency program there and worked there two, three
20 years; job kind of got overwhelming hours-wise, so I moved
21 to North Carolina as Interim Director of OB/GYN department,
22 trying to set up a residency program there under the
23 University of North Carolina umbrella. It wasn't working
24 out.

25 So I came back home. I've been there ever since. That

1 was about '89, so a little over 20 years I've been back for
2 now. Right now, I'm practicing OB/GYN in partnership with
3 my daughter, who's also an OB/GYN, and we've been there --
4 she's been at it six years, and I've been there for 25.

5 **Q. Okay. And if you could turn to Prosecution
6 Exhibit Number 3.**

7 A. Okay.

8 **Q. And is this a -- could you identify this exhibit
9 for me?**

10 A. This is my CV or resume.

11 **Q. Okay. And looking through it, is it up to date?**

12 A. I've changed office address since then. We've
13 moved about ten miles south into the Woodlands. I think
14 except for that it's pretty well up to date.

15 **Q. Okay. So what's your -- can you describe your --
16 the extent of your experience as a physician delivering,
17 just delivering babies first?**

18 A. Well, I've delivered babies in several contexts
19 and levels of responsibility. I've estimated that the total
20 is about 10,000 babies at some level or another, so whether
21 I was faculty or director doing the delivery.

22 **Q. Roughly about 10,000?**

23 A. Uh-huh.

24 **Q. And you're familiar with the term TOLAC, the
25 acronym TOLAC?**

1 A. Yes, I'm familiar.

2 **Q. And what's your role -- can you describe your
3 extensive experience with TOLACs?**

4 A. I've been doing what we call VBAC until a few
5 years ago, since the early '70s and have continued doing
6 that until just recently. I decided I couldn't stay out in
7 the middle of the night all night any more age-wise, but
8 have been a significant proponent of VBAC and TOLAC. I've
9 written one paper and presented at a regional OB/GYN ACOG
10 meeting in '87 on VBAC in private practice. So I have, you
11 know, relatively extensive experience in VBAC. I've done
12 several hundred.

13 **Q. Is that publication listed in your resume?**

14 A. Yes, it is at the bottom of Bates -127. It says,
15 "VBAC private practice experience in Little Rock, Arkansas
16 '87."

17 **Q. Okay. And if I'm understanding your testimony
18 correctly you're saying VBAC was like the precursor in terms
19 of what the nomenclature is to a TOLAC?**

20 A. Yes, I think someone didn't like the terminology,
21 because there was always the term "failed VBAC", and you
22 didn't want to attach failure to it. So they said the VBAC
23 was to birth but the other was the trial of labor, and so
24 they're not completely interchangeable but they, for
25 practical purposes, mean a similar thing.

1 **Q. So now let's turn from birthing to what is your
2 extent of your experience in handling abortions?**

3 A. Well, I've done probably a couple of three a year
4 all through my career. When I was in residency, first year
5 resident at Wilford Hall, our department chairman was trying
6 to push the envelope and do all the abortions from all over
7 the world, Air Force personnel, and so our unit was doing
8 late second trimester and first trimester and even some that
9 were kind of barely into the third trimester range, so I had
10 quite a bit of exposure during that first year. I have

11 taken the approach that if I think medically I should
12 recommend an abortion, then I felt like it was reasonable
13 for me to do it. I haven't done any elective abortions,
14 maybe once in a while, but not to any great extent.

15 **Q. And have you ever done any third trimester
16 abortions?**

17 A. No.

18 MR. RUBIN: Let me -- I don't know if we
19 stipulated to this, so let me formally tender Dr. Bullock as
20 an expert in obstetrics and gynecology for purposes of
21 rendering an expert opinion.

22 MR. GOLDBERG: I have no objection.

23 MR. THOMPSON: Tendered as an exhibit or tendered
24 as an expert?

25 MR. RUBIN: Okay. So Exhibit 3 was already

1 admitted into evidence.

2 MR. GOLDBERG: Correct.

3 Q. (By Mr. Rubin.) So you were here for the
4 testimony of Dr. Darney, correct?

5 A. Yes.

6 Q. Do you agree with him that -- well, let me ask
7 you, what are the -- how would you compare the risks of
8 uterine rupture in TOLACs, the typical TOLAC versus the risk
9 of uterine rupture in a case like this?

10 A. Well, I can only go on my experience and opinion,
11 because certainly there aren't very many of these 35-week
12 terminations, and so nobody's done any studies. It just --
13 this may be the first one in the country. I don't know, but
14 it's the first one Dr. Sella has done, and Dr. Darney has
15 never done one like this. I don't know if anybody at the
16 other three places has done a 35 weaker. So there's no
17 research and statistics on that. So that understood, I
18 would say that there are factors in the live birth TOLAC
19 that lead it toward being a safer delivery.

20 And there are factors in the 35-week abortion that
21 would lead it to be a safer delivery, and those are in the
22 patient in spontaneous labor -- and let's compare 35 to 35,
23 but at 35 weeks, the cervix has been prepared by the normal
24 body's hormones and all that, and quite often tends to be a
25 very rapid labor and then is not subjected generally to

1 consider to rely on.

2 Q. Okay. How is this, Exhibit 2, Practice Bulletin
3 ACOG 115 informative as to what the standard of care should
4 be in this case?

5 A. In several places this Bulletin makes fairly flat
6 statements that -- the ACOG is a little bit unusual, because
7 they're not -- they don't tend to make flat statements, but
8 the -- they've made statements that are fairly flat that
9 says you should only undertake TOLAC where you've got
10 capability of emergency deliveries and with staff available
11 to provide emergency care. The second thing that they made
12 a flat statement about is simply Misoprostol should not be
13 used for third trimester cervical ripening or labor
14 induction in patients who have had a cesarean delivery or
15 major uterine surgery. Those are -- those are just flat
16 statements on standard of care.

17 Q. And how does that relate to this case?

18 A. Because this patient had a previous cesarean
19 section, and she was on the last half of her third
20 trimester.

21 Q. Okay. And do you agree with Dr. Darney that you
22 could perhaps lump together -- well, let me ask this
23 question instead. If this was a 25 week old fetus, would
24 you still be relying upon this?

25 A. No, I don't think so. I think there's a continuum

1 Pitocin or Misoprostol. So there's not any force on
2 accentuating or exaggerating the strength of the uterine
3 contraction, but in the TOLAC -- in the third trimester
4 abortion, just like Dr. Darney said, they're doing things
5 that you would think might decrease the risk of rupture. I
6 think the Laminaria probably does. I can't really agree
7 that the other, the Misoprostol and the Pitocin don't, but
8 then there's -- nobody's done research on these things.
9 We're just guessing.

10 Q. Okay. What -- you've heard the testimony from Dr.
11 Darney that the size of the fetus influences the risk
12 factors for uterine rupture, correct?

13 A. That's right.

14 Q. And you agree with that testimony?

15 A. Yes, I agree with that.

16 Q. And what would you -- let's look at ACOG Practice
17 Bulletin 115, which we had as Exhibit 2.

18 A. Got it.

19 Q. And you reviewed the facts of this case as much as
20 Dr. Darney has. You reviewed medical records?

21 A. I think so.

22 Q. And did you -- what else, other medical records
23 have you reviewed?

24 A. For the most part, that and these three documents.

25 I read some other things, but I didn't bring them with me to

1 of the risk as the continuum of size goes. There's not
2 really a specific week at which I would say, "Okay. It's
3 okay until then, but it's not okay after that." I probably
4 wouldn't be arguing with 25-week pregnancy or 26, something
5 like that. So I think there's a significant difference.

6 Q. Okay. I believe you heard the testimony of Dr.
7 Sella regarding what -- what the facts of this -- what
8 actually happened in this case?

9 A. Yes.

10 Q. And do you dispute anything that she's -- any of
11 her testimony that --

12 A. No, it was a difficult medical record to
13 interpret, especially where you've got one set of times here
14 and one set of times here in a different column, and one of
15 my problems was trying to coordinate those columns and
16 especially when you've got erroneously recorded times to
17 have to try to figure out which one was right. So I don't
18 have any dispute. It was just really hard to interpret

19 these records.

20 Q. Okay. And so the opinion that you're -- do you
21 have an opinion based upon what you've heard today from Dr.
22 Sella as to whether she met the standard of care in this
23 case?

24 A. Yes.

25 Q. And --

1 A. And the --

2 Q. What would that --

3 A. I would say that she did not. That's why I'm
4 here.

5 Q. Okay. And why?

6 A. Well, I don't -- I take exception to what Dr.

7 Darney said that -- I agree with the ACOG's position that
8 TOLACs or -- and I can't see the major difference in a TOLAC
9 and a 35-week abortion, but I agree that they should only be
10 undertaken when you could rapidly have a cesarean delivery
11 or emergency access, and I agree that Misoprostol should not
12 be used.

13 Q. Okay. How does the -- well, Dr. Darney confirmed
14 this and Dr. Sella testified to this that the biparietal
15 diameter, the head circumference, was that of a 40-week
16 gestational age. How does that influence your opinion, if
17 it does?

18 A. Well, from the standpoint of a head getting
19 through, this was not a 35 weaker but a 40 weaker, you know,
20 as far as the size of the head. That's why the termination
21 was being done in the first place, because the head was
22 bigger than it was supposed to be, and I'm aware that Dr.
23 Sella was planning to do a head decompression or evacuation,
24 whatever term you want to use for it, and I've done that. I
25 know how that is, and I realize that that would make

1 delivery, if it had been done before the rupture, would have
2 made the deliver to be more likely successful and cut the
3 chances of rupture. Unfortunately it was just a little -- a
4 day late and a dollar short.

5 MR. THOMPSON: What was that last part? I'm
6 sorry.

7 THE WITNESS: I'm sorry. A day or two -- it was a
8 day late and a dollar short. I'm from Texas. We say things
9 like that.

10 MR. THOMPSON: Okay.

11 Q. (By Mr. Rubin.) That's okay. Based upon Dr.
12 Sella's testimony, when did the trial of labor begin?

13 A. I don't remember by her testimony, but the
14 cervical ripening started on the 10th, in the morning and
15 continued through that day, and it's hard to say exactly
16 when the labor started. Probably when the membranes
17 ruptured.

18 Q. Would you characterize this as augmentation of
19 labor?

20 A. This was an induction of labor in a 35-week
21 patient that happened to have a dead baby.

22 Q. Describe the difference between an induction and
23 an augmentation?

24 A. An induction of labor is in a woman who is not in
25 labor if you start the labor from scratch, and the

1 significance is that you may have to use a higher dose of
2 Pitocin, because your uterus is not primed before it yet,
3 where an augmentation of labor is one in which labor has
4 begun but has faltered, and you're trying to get the
5 contractions back to their normal level, and the uterus is
6 still -- is already more sensitized to Oxytocin, and so you
7 start off at a lower dose. I would interpret this entire
8 venture as a -- as an induction of labor. She didn't come
9 in in labor.

10 Q. Okay. Going back to the Misoprostol, I believe
11 you testified that this Practice Bulletin's prohibition
12 should apply in this case to these facts, correct?

13 A. Yes.

14 Q. Is there anything to the combination in this case
15 of Pitocin and Misoprostol that gives you pause or concern?

16 A. The combination of Pitocin on top of Misoprostol
17 leads to very, very strong contractions, and the College has
18 taken a position that that's the combination that tends to
19 increase uterine rupture, the combination of Misoprostol and
20 Pitocin.

21 Q. Let me -- can you -- is there some support in an
22 ACOG Bulletin that you have for that position?

23 A. I will find it. In -- I don't recall which
24 Bulletin but it's Technical Bulletin -- it's Committee
25 Opinion Number 342.

1 MR. GOLDBERG: Hold on for a second.

2 A. On page 543, which is actually the second page of
3 the committee opinion --

4 Q. Okay. Hold on. Let's wait until all counsel get
5 there.

6 A. Yeah, okay.

7 MR. GOLDBERG: What exhibit number are we looking
8 for?

9 MR. RUBIN: Well, I put all the ACOG exhibits
10 together as Exhibit 2, so --

11 MR. GOLDBERG: All right. So let's see if I can
12 find it.

13 MR. THOMPSON: It's the committee opinion?

14 THE WITNESS: Yes.

15 MR. THOMPSON: It's the last page of 2.

16 MR. RUBIN: Yeah.

17 MR. GOLDBERG: I thought this was the document
18 that you said you were withdrawing.

19 MR. RUBIN: 342, no, I was not. No, I was
20 withdrawing the other one. 107's withdrawn.

21 MR. GOLDBERG: All right.

22 Q. (By Mr. Rubin.) Okay. So I'm on page --

23 MR. GOLDBERG: Okay. I got it. Great.

24 MR. RUBIN: Are you okay, Mr. Goldberg?

25 MR. GOLDBERG: I know where I am.

MR. RUBIN: Okay. Good.

Q. (By Mr. Rubin.) So we're on page 343.

A. 543.

Q. 543. Thank you. Okay.

A. Yes, on the right side in the first full paragraph starting with the Rate of Uterine Rupture, down about two inches, it says, "Additionally, sequential use of prostaglandins and Oxytocin may further increase risk," and the paragraph is talking about uterine rupture. And then at the bottom of -- the very bottom of the page, the last six lines, "Selecting women most likely to give birth vaginally and avoiding sequential use of prostaglandins and Oxytocin appear to offer the lowest risks of uterine rupture," and then this Bulletin also reiterates that Misoprostol should not be used in patients who have had cesarean deliveries or major uterine surgery.

Q. Let me ask you this question, have you ever used Misoprostol?

A. Yes, I've used if quite a lot.

Q. Have you used it with a TOLAC?

A. No.

Q. Never?

A. Never.

Q. Okay. In the hospital that you practice at, when you relative -- in your experience have you seen it -- have

you seen it done in hospitals where you've worked?

A. You know, I'm about the only one in my hospital who still does VBACs, and as of this week I quit. So no, I have not seen that. Nobody in my hospital would do that.

Q. Okay. Have you -- so you have seen the use of Misoprostol?

A. Sure.

Q. What context have you?

A. Well, just for cervical ripening and induction of beginning -- induction of labor.

Q. And would you ever send a patient home after giving them a dose of Misoprostol, sending them home or to a hotel with another dose to be taken later on that day if they were in -- okay.

A. No, just the answer's no.

Q. And why not?

A. Well, because the contraction pattern of Misoprostol induced contractions is unpredictable and quite often very powerful. And, of course, what I would be dealing with would be policies at our hospital that require fetal monitoring with Misoprostol use. That wouldn't have applied in this case, but the other reason that we wouldn't would be unpredictable uterine activity, uterine contractions.

Q. Okay. So going back to whether Dr. Sella breached

the standard of care in this case, I believe you said it was the use of Misoprostol, its conjunction -- conjunctive use with Pitocin, the fact that this was conducted in a clinic. How serious -- how extreme was this breach of the standard of care in your mind?

A. I've said that I thought this was gross negligence, knowing the rules and just ignoring them.

Q. Okay. Let me have you look at the medical records. I believe it is -- it's Bates stamp, one moment here, -21.

MR. GOLDBERG: What's that?

MR. RUBIN: Bates stamp -21 of the medical records.

MR. GOLDBERG: Yours or ours?

MR. RUBIN: I thought the Bate stamp was the same.

MR. GOLDBERG: No, no, no. Our medical records are -- Exhibit 8 has SST.

MR. RUBIN: I think if you turn to your Bate stamp -21, you will be where we are.

MR. GOLDBERG: Okay.

Q. (By Mr. Rubin.) It's the interview notes, the -21, interview notes?

A. Page -020, I've got it -21. Okay. I've got it.

Q. Okay. Now, did this -- this notation influence your opinion as to whether there was gross negligence in

this case?

A. I -- it influenced it. It supported the idea, because there's not any documentation, and that's not to say it wasn't done, but there's no documentation in this counseling note that there was a discussion of uterine rupture in particular with using Misoprostol and Oxytocin. Of course, if Dr. Sella didn't believe that that was true, then she had no reason to counsel the patient about that.

Q. Okay. On that point do you think that consent is a consideration in this case?

A. If she tells us that she talked about that -- you know, we don't always write down everything that we've got --

Q. Right.

A. -- that we've talked about. You just don't do that, and if she says that she did, I have no reason to disbelieve it. So in my opinion, with a -- with a -- I suppose a dictated note as detailed as this, she obviously put some time into a consent.

Q. Okay. Based upon your opinion as to the standard of care, could there be consent to this procedure by this patient?

A. Well, my position is that the procedure shouldn't have been done as it was, and I suppose there could be a position that if the procedure shouldn't have been done as

1 it was, then it's hard to get someone to consent to
2 something that shouldn't have been done. Probably makes --
3 probably makes very little difference.

4 **Q. Okay. In other words, you can't consent to**
5 **something that should not --**

6 A. That seems, seems like that's in --

7 MR. GOLDBERG: Well, there's leading and there's
8 leading. He was actually putting words in the mouth which
9 are inconsistent with what he had just said, and I think
10 this was the latter.

11 A. I'm not supposed to agree or disagree but --

12 MR. THOMPSON: Sustained.

13 **Q. Okay. Dr. Bullock --**

14 MR. THOMPSON: Although I am interested in the
15 answer to that general question. Is this something that a
16 patient could consent to, or is it beyond -- I don't even
17 know if this is, in fact, possible. Beyond -- let me ask
18 you generally, can a patient consent to something beyond the
19 standard of care?

20 THE WITNESS: We don't have a standard of care for
21 patients, but if Dr. Sella truly believed that what she was
22 doing was the right thing to do and then counseled the
23 patient adequately and honestly about the possible risks
24 that she was getting into, then I have no problem with
25 informed consent.

1 **Q. (By Mr. Rubin.) But again -- well, there's the**
2 **old -- are you familiar with the phrase, "Can you consent --**
3 **can you give consent to someone's negligence?" Are you**
4 **familiar with that phrase, whether --**

5 A. I haven't seen that before, that phrase.

6 **Q. Can someone consent to gross negligence?**

7 MR. GOLDBERG: I'm going to object. This is --
8 this is a legal question. This is a question that actually,
9 Mr. Hearing Officer, you're going to have to grapple with
10 and the Board's going to have to grapple with and perhaps a
11 court, but I don't think this witness is competence to offer
12 anything that's going to be valuable on this.

13 MR. RUBIN: I beg to differ. We've already had an
14 opinion as to gross negligence. We've already had opinion
15 as to consent. I think we -- If he can answer the question.

16 MR. THOMPSON: Overruled.

17 A. Would you mind repeating it.

18 **Q. Can you consent to someone's -- can you consent to**
19 **someone committing gross negligence?**

20 A. Okay. If the doctor tells you, "I'm going to do
21 brain surgery, but I'm going to have some cocaine on the way
22 in and it always makes me where my right hand does like
23 this, can I go ahead and do your surgery," and the patient
24 says, "Well, it's okay," I don't know whether you could
25 consent to that. I don't think I can answer the question.

1 I really don't.

2 **Q. Okay.**

3 A. I just -- I just can't.

4 **Q. Do you think looking at this, at Bate stamp -21**
5 **and do you think there was a consideration by Dr. Sella --**
6 **can you tell if there was a consideration as to whether she**
7 **had -- whether not performing this procedure was an option?**

8 A. I would suppose that Dr. Sella, having a different
9 philosophical approach than I do, and each accepting each
10 other -- each other's philosophical difference of opinion,
11 this sounds like she felt like she had to do it. It sounds
12 like that.

13 **Q. And if you have to do something, you only have one**
14 **choice, right? That's probably a semantics question.**

15 A. There's one choice I thought of, which she hasn't
16 considered, but it would probably be untenable, but I guess
17 that's what it means if you have one -- If you feel like you
18 have to, you have no choice.

19 **Q. Do you weigh the risks if you have no choice?**

20 A. Do you weigh the risks if you have no choice? No.
21 One of the principles of informed consent that I learned a
22 long time ago was informed consent gives you information
23 that you would need in order to change your mind about
24 what's happening. If you have no -- if there's nothing you
25 can do about it and it's going to happen regardless, then

1 there informed consent becomes pointless.

2 **Q. Okay. Going back to whether -- I believe you**
3 **testified that this is a case involving gross negligence.**
4 **What is your understanding of gross negligence?**

5 A. My definition?

6 **Q. Yes.**

7 A. Oh, what I've been told is that it's a willful and
8 wanton disregard of the welfare of the patient.

9 **Q. Going back to the fact that it appears that Dr.**
10 **Sella, from these notes, felt like she had only one option,**
11 **how does that relate to whether there was willful disregard**
12 **of the risks?**

13 A. Well, then it's incompatible. It's incompatible
14 with gross negligence. This certainly doesn't look like
15 someone that they -- who dictated that, who had no concern
16 for the patient's welfare. This doesn't look like that.
17 The basis for my saying that was just knowing -- knowing the
18 rules and knowing the risks and going ahead anyway, but
19 certainly reading this, you wouldn't get the feeling that
20 she didn't give a hoot.

21 **Q. Right. I'm not sure you answered the -- I'm not**
22 **sure you understood my question.**

23 A. I may not.

24 **Q. So let me ask it again. Given your understanding**
25 **of gross negligence as the willful or wanton disregard of**

1 risks and it appears that there is only one option to a
2 physician, is there -- are you more likely to weigh the
3 risks and benefits than you would if there's one option?

4 A. Well, you have to weigh the benefits and the risks
5 in coming to the conclusion that there's only one option.
6 So this -- that would go with the assumption that the risks
7 and benefits have already been evaluated, and the decision
8 was made that with the risks and benefits as there are,
9 there's only one way you can go.

10 Q. Okay. So I believe you alluded to before that
11 there was perhaps another option?

12 A. Oh, if one wanted to follow the ACOG guidelines to
13 the letter, I mean as well as to the letter as you could,
14 the portion of the termination which included fetal
15 euthanasia is legal and proper. It's the delivery that is
16 -- that is my concern, and of course, if someone had to do
17 that, one other way, which would be a tough way to do it
18 would be to do the euthanasia of the baby and then say, "Go
19 to the hospital. You'll be wandering in as a -- as a
20 walk-in with a dead baby," and the hospital would be
21 required to take care of her in the best way possible.

22 Q. Okay. And would that involve the use of -- the
23 risks associated with a clinic versus a hospital? How would
24 that be managed?

25 A. Well, in a hospital -- in most hospitals, at

1 least, the suggestion would be a choice between an induction
2 of labor or a repeat cesarean section, and either one could
3 be possible as an option.

4 Q. Okay. In your view could a C-section have been
5 performed in the clinic?

6 A. Well, no. My understanding of the clinic is that
7 it's not set up for major surgery. So, no, it couldn't --
8 couldn't have been --

9 Q. So you're aware the Degoxin was administered, and
10 she was sent to the hospital. That would present the option
11 of a C-section at the hospital, correct?

12 A. Yes. Now, I'm sure that that would be an
13 untenable thing to do, because the hospital would probably
14 get really mad. I don't know how that fits in.

15 MR. RUBIN: Okay. No further questions. Thank
16 you, Dr. Bullock.

17 MR. THOMPSON: Pass the witness to Mr. Goldberg.

18 MR. GOLDBERG: Sure. Can I have a minute to set
19 up?

20 MR. THOMPSON: Sure.

21 MR. GOLDBERG: It might be worthwhile to take a
22 three- or four-minute break.

23 MR. THOMPSON: Okay. And we're off the record.

24 (Note: Hearing in recess at 3:23 p.m.

25 and reconvened at 3:30 p.m.)

1 MR. THOMPSON: We're back on the record, 3:30 p.m.

2 Cross-examination of Dr. Bullock. Mr. Goldberg.

3 CROSS-EXAMINATION

4 By MR. GOLDBERG

5 Q. Doctor, I'd like to clear up a couple of things
6 and so start out sort of at the end. I was somewhat
7 confused, and if you turn to page -21 of the medical
8 records, which I think are, by our exhibit number, Exhibit
9 8, do you have -- that was sort of the last line of
10 questioning that Mr. Rubin had. I just -- I just want to
11 see if I can clarify some things here.

12 A. Okay.

13 Q. I'd just like to know, it's correct, is it not,
14 that page -21 of these medical records is not a basis for
15 any opinion of yours that Dr. Sella's care of ML fell below
16 the standard of care?

17 A. No, it's not.

18 Q. Okay. Great. That's -- then I'm done with page
19 -21 of those records. A few other similar questions that I
20 have to you -- for you. You testified in response to
21 questions from Mr. Rubin that you understood that on that
22 third day, May 12th, in the early afternoon when Dr. Sella
23 went in and that's when she discovered that the -- the head
24 was no longer engaged, it was -- and the -- after she did an
25 ultrasound and saw that the orientation of the baby had

1 change and determined that that was a significant risk of
2 uterine rupture --

3 A. Yes.

4 Q. -- you understood from her testimony that at that
5 time she had gone in to examine ML, because she was
6 intending to do the decompression of the head in order to
7 facilitate the labor and delivery, right?

8 A. I understand it now. This is the first time I've
9 realized that.

10 Q. Right. And the term you said was unfortunately
11 that was too little, too late?

12 A. Yes.

13 Q. The Texas term that you used, but I want to make
14 sure I understand. You're not -- you're not saying that the
15 timing by Dr. Sella of when she made the determination of
16 seeking to decompress the head was a basis for your opinion
17 that Dr. Sella's standard of care fell -- Dr. Sella's
18 treatment fell below the standard of care?

19 A. No, the time of rupture is simply a fortuitous
20 thing that there's no way to predict.

21 Q. Correct, and I just -- again, you understand what
22 I'm doing here. I'm just trying to figure out what we're --
23 what we're up against here.

24 A. I understand.

25 Q. You also said, you made a point that the head was

1 large, and it had the size -- I can't use those words, but
2 the --

3 A. PBD.

4 Q. Yeah, the PBD of a fetus of 40-week gestational
5 age?

6 A. Yes.

7 Q. You're not saying that Dr. Sella's determination
8 that this was an appropriate candidate for a third term
9 abortion fell below the standard of care because of this
10 large head, are you?

11 A. No, I don't -- I don't think I'm making that
12 assumption.

13 Q. Right, because you could anticipate my next
14 question was you had never said that before today, right?

15 A. Right.

16 Q. Okay. Great. Now, but you do say that the use --
17 the use of Misoprostol with Pitocin is a basis for your
18 opinion that Dr. Sella's care fell below the standard of
19 care?

20 A. Yes.

21 Q. And on that, you relied on what is part of Exhibit
22 2, but for the Hearing Officer I'll say it's our Exhibit 14.
23 That is the committee opinion that bears -- from ACOG that
24 bears Number 342, August 2006. You have that in front of
25 you, right, sir?

1 A. Yes, sir.

2 Q. And you read a part from that opinion, correct?

3 A. Yes, I did.

4 Q. When you look at the first page of that opinion as
5 it's in that exhibit --

6 A. Yes.

7 Q. Do you have it up there?

8 A. I have it.

9 Q. Is there anything on that first page that says,
10 "Out of print?"

11 MR. THOMPSON: I think we're looking at two
12 different --

13 MR. GOLDBERG: Right. No, right. That's -- we're
14 getting there. I've got to make a record here.

15 A. Page 342?

16 Q. Yes. Is there anything up there that says, "Out
17 of print?" It should be -- I'm going to orient you to the
18 top, at the top of the page anything that says, "Out of
19 print?"

20 A. This doesn't say, "Out of print."

21 Q. Is there anything out there that says that this
22 committee opinion has been replaced?

23 A. No, but you wouldn't -- it wouldn't say it on this
24 form.

25 Q. It would not say it on this form?

1 A. Would not, because once you replace it, they never
2 print it again.

3 Q. Sure. They don't -- they don't print it, because
4 they're no longer relying on it, right?

5 A. Right.

6 Q. Are you aware --

7 MR. THOMPSON: Did you get an audible answer?

8 Okay.

9 Q. It is correct that the reason they put it out of
10 print is because the College no longer relies on it if it's
11 been replaced, right?

12 A. That's one of the reasons. One of the reasons is
13 just in updating, that reenforces the previous concept.

14 Q. Were you aware that this Committee Opinion, Number
15 342 dated 2006, is now out of print and has been replaced
16 and is -- is articulated by the American College of
17 Obstetricians and Gynecologists as having been replaced by
18 Practice Bulletin 115 dated August 2010 as is represented by
19 our version of the committee opinion, which has been
20 admitted as Exhibit 14?

21 A. I'm aware.

22 Q. So you're relying on an opinion that has been
23 replaced by the College and is no longer relied on by the
24 College, correct?

25 A. Yes, I'm relying on these two in tandem in that

1 sometimes when you look at one that's five or ten years old
2 and you look at another one that's more current, it tells
3 you -- if there wasn't a change in a particular philosophy,
4 it tells you that it was consistent throughout those periods
5 of time.

6 Q. The language that you rely on -- the language that
7 you relied on in the committee opinion, do you find that
8 language in 115?

9 A. Well, I find the part about Misoprostol.

10 Q. The language you relied on was the language that
11 talked about Misoprostol being used with Pitocin?

12 A. No, I also relied on this other --

13 Q. I understand, but I'm asking the question about --
14 the focus of my question was on your opinion that the
15 standard of care was violated because Dr. Sella used
16 Misoprostol with Pitocin. That's the point here. We're
17 going to get to the other point later.

18 A. Well, both of the Bulletins state that Misoprostol
19 shouldn't be used at all, so you would assume that it's not
20 with Pitocin, too.

21 Q. That's your assumption?

22 A. No, that's my direct reading of the document. I'm
23 not assuming anything.

24 Q. Were you aware -- let's stick with the use of
25 Misoprostol and Pitocin together. For how long in the care

1 of ML was Misoprostol and Pitocin used together?

2 A. Okay. Together, I'll have to go back and review
3 that.

4 Q. Sure. That would be, again, our Exhibit 8? Well,
5 let me help you out a little bit. What is your -- what is
6 your understanding of the medical record --

7 MR. RUBIN: Hold on. At least have -- is he going
8 to withdraw the question?

9 MR. GOLDBERG: I withdraw -- I withdraw the
10 question.

11 Q. (By Mr. Goldberg.) What is your understanding of
12 the medical records now, Dr. Bullock, as to when Pitocin was
13 first given to ML?

14 A. Let me refresh my memory here.

15 Q. Sure. This is definitely not a memory test.

16 A. I think on the 11th at 00:25. That's what it
17 looks like there.

18 Q. Actually on the 12th at 00:25. The chart says the
19 11th, because it was started on the night of the 11th, but
20 then -- it starts at 11 --

21 A. You're right. This is confirmation -- that is
22 correct on the 12th at 00:25.

23 MR. THOMPSON: Looking at page number 0030?

24 A. Yes.

25 Q. That's correct. And that, of course, your opinion

1 is different now from your -- your understanding now, Dr.
2 Bullock, is different, is it not, from your understanding
3 when you first rendered your opinion --

4 A. Yes.

5 Q. -- that the standard -- let me finish my question
6 -- the standard of care -- that the standard of care was
7 breached? When you first rendered your opinion that the
8 standard of care was breached, your understanding from the
9 medical records was -- from your read of the medical records
10 was that the Pitocin was given continuously to ML from the
11 first day she proceeded -- she presented at the clinic until
12 the third day, correct?

13 MR. RUBIN: Let me object -- let me object on the
14 grounds of relevance. How is it relevant, a previous
15 opinion based upon a previous reading of the medical records
16 when he's testifying today his opinion is based upon what he
17 heard today.

18 MR. GOLDBERG: Certainly -- it's certainly
19 relevant to the credibility that a fact finder's going to
20 give to the opinions, to the weight that the fact finder's
21 going to give to the opinions as to whether this expert
22 doctor is going -- has a mindset that the standard of care
23 was breached irrespective of what the facts were.

24 MR. RUBIN: I'm a little confused, because Doctor
25 -- Mr. Goldberg here a while back while we were arguing over

1 the admissibility of that prior report said it's not about
2 credibility. Now, he's saying it is. I wish --

3 MR. THOMPSON: Overruled. I think that -- I mean,
4 the question is with regard to has his opinion changed with
5 regard to the standard of care if he now knows the Pitocin
6 was not administered until 00:25 on the --

7 MR. RUBIN: 12th.

8 MR. THOMPSON: -- 12th.

9 Q. (By Mr. Goldberg.) So it is correct, is it not,
10 Dr. Bullock, that when you first formed your opinion that
11 the standard of care was violated by Dr. Sella, your
12 understanding, incorrectly, was that the Pitocin was given
13 continuously to ML from the very first day she presented to
14 the third day while the Misoprostol was administered?

15 A. No, I've never thought that. I don't know where
16 you got that idea.

17 Q. You didn't. Well, let's look at -- let's get
18 Exhibit 12 from the -- in front of you.

19 MR. RUBIN: Okay. So, Mr. Hearing Officer, is
20 there a -- I think at this point it's -- I think it's in or
21 it isn't, because if counsel's going to start using this to
22 cross-examine the witness --

23 MR. GOLDBERG: Whether it is, whether it isn't --

24 MR. RUBIN: -- and using basically -- if he's
25 going after the credibility of this witness with some --

1 with some external -- I forget the exact term, but he's
2 going to credibility with a report that we're not
3 introducing. I'm not sure that -- I do not believe the
4 Rules of Evidence would allow that. I think he's stuck with
5 his answer.

6 MR. GOLDBERG: Well, first of all, I would like a
7 ruling. I believe that -- I believe that both already from
8 the record and all of the examination has been addressed to
9 Exhibit 12, as well as the law that I cited, Exhibit 12 is
10 well within the Hearing Officer's discretion to accept and,
11 in fact, should accept, and I would like to have it
12 accepted, but I certainly -- whether you accept this as an
13 exhibit or not, I certainly can use his prior, unsworn
14 statement but submitted to the Board as impeachment.

15 MR. RUBIN: But he's not testifying differently.

16 MR. GOLDBERG: Well, of course, he is.

17 MR. THOMPSON: First of all, I'll allow questions
18 with regard to Exhibit 12. I think he's laid the foundation
19 that there's prior inconsistent statement, and he's able to
20 impeach with regard to Exhibit 12.

21 I will admit Sella Exhibit 12 for purposes -- I do not
22 believe the facts asserted are offered for their truth. In
23 fact, they seem to be offered in some parts for the
24 opposite. I will not take the facts asserted, for example,
25 in the narrative for their truth, because that is pure

1 hearsay. I do not believe -- I'm not admitting them -- I'm
2 not admitting them for purposes that the expert is an agent
3 of the party, but I do believe it is relevant that this
4 Exhibit 12 was a predicate for or a basis for the NCA, and
5 in that regard, I'm going to -- I'm going to allow -- it's
6 in essence a part of the charging document or is -- it is a
7 statement by a party opponent.

8 So I'm going to admit, and then I'm going to throw in
9 the catchall. It's certainly information that they can rely
10 on for serious affairs. The Board is going to rely on his
11 testimony. The Board can rely on this report if they wish.
12 Ultimately, the experts in this case are the Board, and I
13 trust them to discern the weight that an earlier report is
14 given as compared to testimony after he's reviewed all of
15 the records. So for those reasons, I'm going to admit Sella
16 Exhibit 12 and permit the question.

17 THE WITNESS: May I clarify my answer?

18 MR. THOMPSON: Sure.

19 THE WITNESS: Okay. I have now read the document
20 that we're talking about, and there's nothing in the
21 document that says I thought she was under Pitocin the
22 entire time from 5-10 to 5-12. So I've never thought that,
23 and it's not in the document. I don't know where you got
24 the idea.

25 Q. (By Mr. Goldberg.) You haven't read it carefully

1 enough, Doctor, so let's -- let's do it. Turn to page --
2 turn to page 120. Let me conduct my examination.

3 MR. THOMPSON: Okay. But let's not argue with the
4 witness. If there's a prior inconsistent statement, let's
5 show it and --

6 MR. GOLDBERG: Sure.

7 Q. But let's turn to -- turn to page 120. That's the
8 second page. This is your report, is it not?

9 A. Yes, this is my report. Of course.

10 Q. You submitted it -- you submitted it to the New
11 Mexico Medical Board, correct?

12 A. Yes.

13 Q. And this was intended to express your opinions
14 about Dr. Sella's care of ML, correct?

15 A. Yes.

16 Q. All right. Turn to the second page of the report.
17 Turn to the first full paragraph. She was CM -- she was 5CM
18 dilated; do you see that?

19 A. The second paragraph?

20 Q. Yes, the first -- okay. Whichever. Read the last
21 -- read into the record the last sentence of that paragraph?
22 Read it out loud into the record.

23 A. "My assumption from the record is that she was
24 receiving the 50 milligrams per minute during all the times
25 that she was also receiving Misoprostol."

1 Q. And the milligrams you're talking about there is
2 Oxytocin, correct? Look up two lines.

3 A. This is a typographical error. I never thought
4 that, and --

5 Q. You did not?

6 A. And my assumption from the records was that she
7 was receiving that during all the times that she was
8 receiving -- all right. I'm not -- I did not think that. I
9 didn't -- that was not my intent.

10 Q. It wasn't?

11 A. And I will retract it, and I've never thought that
12 she had Pitocin going during the whole time.

13 Q. How about during your deposition, do you remember
14 being deposed and testifying under oath in this case?

15 A. I remember being deposed, yes.

16 Q. And you testified under oath in that case, in your
17 deposition, did you not?

18 A. Yes, deposition -- yes, I testified under oath.

19 MR. GOLDBERG: Look -- I believe I have a copy if
20 Your Honor wants -- I mean, if Your Honor wants a copy,
21 also. I'm going to use it for impeachment. This is his
22 deposition. I've given a copy to the witness. There's not
23 a lot of room here, and if Your Honor will indulge me -- or
24 the Hearing Officer will indulge me.

25 Q. (By Mr. Goldberg.) Let's turn to your deposition

1 transcript at page 110, Doctor. Starting -- I'm going to
2 read to you the questions and answers starting on page 110,
3 line 22:

4 "QUESTION: You testified that on the 3d -- what your
5 understanding of the medications that were
6 administered on the third day. Let's take it from
7 the time patient L presents in labor. What
8 medications are provided?

9 "ANSWER: We haven't talked about the various pain
10 medicines.

11 "QUESTION: That's right. I will get to that in a
12 second.

13 "ANSWER: So I'm excluding those from this discussion,
14 because it wasn't part of the problem. My
15 understanding was that she continued getting
16 Misoprostol and Pitocin.

17 "QUESTION: At the same time?

18 "ANSWER: Yes, at the same time."

19 Those were the questions and answers, correct?

20 MR. RUBIN: Let me -- I do not believe Mr.
21 Goldberg read the question and answer correctly on lines 10,
22 and 11 and 12. Line 10:

23 "QUESTION: And the same, comma, was the low dose
24 Pitocin?

25 11;

1 "ANSWER: The same," pause, "I assume. I didn't see
2 anything that indicated change."
3 That's what it says, not what Mr. Goldberg said.
4 **Q. (By Mr. Goldberg.) That was your testimony then?**
5 A. I'm trying to put this together. Give me just a
6 minute.
7 **Q. Sure.**
8 A. Are you trying to say that I'm saying here that
9 she got it the whole three days?
10 **Q. Uh-huh.**
11 A. Well, that's not what it says.
12 **Q. Well, turn to page 105 of your deposition.**
13 A. That's not what it says.
14 **Q. Turn to page 105 of your deposition. Okay. We're**
15 **talking on the first day.**
16 **"QUESTION: So on the first day she received one**
17 **insertion of Laminaria and Misoprostol vaginally and**
18 **Pitocin on the second day -- and Pitocin. On the**
19 **second day she received one insertion of Laminaria,**
20 **Misoprostol every two hours -- every two hours**
21 **vaginally and Pitocin?**
22 **"ANSWER: Yes."**
23 **Aren't you -- aren't you affirming that she received**
24 **Pitocin on the first and second days?**
25 A. I don't know what I was interpreting, your

1 question, but I've never thought that. I mean, it's pretty
2 clear from the record she didn't get any Pitocin the first
3 day and didn't get it until after midnight of the second
4 day, which makes it the third day, and I've never thought
5 that.
6 **Q. Turn -- turn to page --**
7 A. Let's me finish my --
8 **Q. I'm sorry. Go ahead.**
9 A. I have figured out what happened. I'll wait.
10 **Q. Turn to page 10--**
11 A. I'm not -- I'm not through yet.
12 **Q. Oh, I'm sorry.**
13 A. I'm waiting for you to be quiet. In my report I
14 had figured out what happened there. I'm only talking about
15 that sequence of events on the late night 5-11 and early
16 morning 5-12 when I said that she was getting 50 milliunits
17 per minute during all the time she was receiving
18 Misoprostol. I didn't intend that to back up two more days,
19 but I've known all along she didn't get Pitocin on the first
20 day or until --
21 **Q. You've known that all along, and you didn't**
22 **testify to the contrary?**
23 MR. RUBIN: Hold on, please. Can the witness
24 finish?
25 A. I have never -- I have never thought that, and I

1 may have answered your question incorrectly, but I have
2 never thought that and do not believe it now.
3 **Q. Turn to page 101 of your deposition. Let's read**
4 **the questions and answers starting on line 3.**
5 MR. RUBIN: What page are we on?
6 MR. GOLDBERG: 101.
7 **Q. "QUESTION: So what's your understanding as to**
8 **what medicines were administered by Dr. Sella on day**
9 **one?**
10 **"ANSWER: My understanding is she was given Pitocin and**
11 **Misoprostol and Laminaria."**
12 **Those were the questions and that was the answer; is**
13 **that correct, sir?**
14 A. That's what it says.
15 **Q. Sure. But let's -- oh, go ahead.**
16 A. I will still aver that I have not at any time
17 thought the patient got Pitocin on the first day. I don't
18 know how I misunderstood the question and answered it wrong,
19 but that's a wrong answer. And so, yes, it's -- it's a
20 conflict with my current testimony.
21 **Q. Let's turn to page 103 of your deposition, Dr.**
22 **Bullock. Let's read the questions and answers starting on**
23 **line 21 of page 103 going onto 104.**
24 **"QUESTION: What's your understanding of what the**
25 **medications were on day two?**

1 **"ANSWER: My understanding was she was to get**
2 **Misoprostol 100 micrograms orally every one or two --**
3 **every one to two hours, and she was to get the**
4 **Pitocin infusion constantly."**
5 **That was the question, and that was your answer, wasn't**
6 **it, sir?**
7 A. And I will still stand by that answer. I consider
8 that to be correct.
9 **Q. This is the -- we're talking about the morning --**
10 **the context here is we're talking about the morning of day**
11 **two.**
12 A. It doesn't say morning.
13 **Q. Well, first of all, she didn't get Pitocin on day**
14 **two, did she, Doctor? She got Pitocin first on day three?**
15 **So is it your -- I want to make sure --**
16 MR. RUBIN: Hold on. Are you withdrawing that --
17 MR. GOLDBERG: No, I'm not.
18 MR. THOMPSON: Yeah, let's ask one question.
19 **Q. It is correct, is it not, Doctor, that she didn't**
20 **get Pitocin at all on day one, and she didn't get Pitocin at**
21 **all on day two? The first dose of Pitocin --**
22 MR. RUBIN: Hold on.
23 **Q. -- the first dose of Pitocin was on day three at**
24 **12:25?**
25 MR. THOMPSON: Is that your understanding, Doctor?

1 THE WITNESS: Yes. I considered midnight to be
2 still part of day two, I'm sorry. I recognize that the
3 first dose of Pitocin was at -- just after midnight on day
4 two/three.

5 Q. (By Mr. Goldberg.) So I want to make sure I
6 understand your testimony here now before the Hearing
7 Officer and the Board, and is it my understanding correctly
8 of your testimony is that your statement in your report that
9 is Exhibit 12 at page 120, that my assumption from the
10 record is that she was receiving 50 MIU per minute during
11 all times, and that's 50 MIU per minute of Pitocin, during
12 all the times that she was receiving Misoprostol, that that
13 was a mistake? You never intended to say that, and that
14 every single reference to the Pitocins on day one and two in
15 your deposition was a mistake by you that you misunderstood
16 the questions?

17 MR. RUBIN: Objection. That's a compound
18 question, to say the least. I had a hard time following it.
19 Maybe I'm just stupid, but it doesn't seem like it's an easy
20 question to answer. He has to break that down.

21 THE WITNESS: I can answer it.

22 MR. THOMPSON: Let's break it down. If you can
23 answer, go ahead, but --

24 THE WITNESS: I can answer.

25 MR. THOMPSON: Let's start first with your report.

1 Are you now saying -- I think you've answered this question.
2 Are you now saying what you've alleged in the report is
3 incorrect?

4 THE WITNESS: It's worded clumsily. It intent --
5 it is intended to mean 5-11 and on down, but taken by
6 itself, that sentence is incorrect, and I don't have a good
7 explanation for the deposition, except that I got off on the
8 wrong track with the wrong date in my mind and answered it
9 wrong.

10 Q. (By Mr. Goldberg.) Okay.

11 A. And I'm wondering what in the world difference
12 does it make.

13 Q. You don't see a difference?

14 A. No, not in regard to my claims of negligence, I
15 don't see a difference.

16 Q. Now, let's go back to the question I started with.
17 As you understand the record now, Dr. Bullock, for what
18 period of time was ML provided Pitocin and Misoprostol?

19 A. It looks like from 23:18 on the 11th.

20 Q. On the 12th? Oh, 23:18. I'm sorry.

21 A. No, that was just -- that was just on one day.
22 She got Misoprostol 23:18. She was given Pitocin at 00:25,
23 and Misoprostol would still have been on board, so those
24 were given together. It looks like that was the last
25 Misoprostol she got.

1 Q. No, actually didn't she get Misoprostol around 25
2 minutes after 12:00 on the third day, on the 12th?

3 A. Well, I'm including, -- yes, that's correct. So
4 at 00:24, of course, Misoprostol stays around more than a
5 few minutes. So the dose would have gone through probably
6 4:00 o'clock in the morning or something like that.

7 Q. Was that -- that was -- that was the last dose?

8 MR. RUBIN: Hold on, again.

9 A. It looks like she was given the last dose of
10 Misoprostol at 00:24 on 5-12-11 -- 5-12-10.

11 Q. And when was -- and when was the Pitocin started?

12 A. 00:25.

13 Q. On the 12th?

14 A. Yes.

15 Q. So is it correct then -- well, and in fact, that
16 last dose of Misoprostol was the dose that she threw up,
17 correct?

18 A. Yeah, but she had it in there for half hour or so.

19 Q. And what's the half life of Misoprostol?

20 A. I don't know.

21 Q. It's -- it's a matter of hours, is it not?

22 A. That has nothing to do with when she threw it up.

23 Q. No, it doesn't, but it has to do with how long
24 it's going to be in her system, correct?

25 A. Well, I've already said that I thought it would be

1 around until about 4:00.

2 Q. So is it correct then that as you read the record,
3 the maximum period of time that Pitocin and Misoprostol was
4 administered simultaneously was three and a half hours?

5 A. It looks like that.

6 Q. Okay. Thank you. Going back to your examination
7 by Mr. Rubin, again, I want to clarify. You are not
8 expressing an opinion that ML did not present an appropriate
9 fetal indicated candidate for a third trimester abortion?

10 MR. RUBIN: Mr. Hearing Officer, he's asking a
11 question with a double negative in it. I'm not sure --

12 MR. THOMPSON: I think he -- I think that question
13 was asked and answered, but is the question -- are you
14 opining on whether or not ML presented as a proper candidate
15 for a third trimester abortion? Is that part of your expert
16 opinion?

17 THE WITNESS: Yes, I can -- yes, yes.

18 Q. (By Mr. Goldberg.) And what is your -- and you're
19 saying --

20 A. There's two -- there's two considerations here.
21 One is was there a solid diagnosis of fetal anomaly. No,
22 there was not. So from the standpoint of was there a
23 medical indication for an abortion, there was one that was
24 suspected but not proven. Number two, was the woman
25 physically an appropriate candidate to go through the

1 procedure of a third trimester abortion? No, she was not.
2 She had a previous cesarean section. She was going to be
3 given Misoprostol and sent to a hotel. So no and no.

4 **Q. Is it your opinion today that you are criticizing**
5 **the decision to abort?**

6 A. Well, I've said that in both ways, she wasn't an
7 appropriate candidate, so sure I'm criticizing the decision
8 to abort.

9 **Q. Would you turn to your deposition? Turn to page**
10 **95, please, Doctor. Question, it's 94, starting at 94, line**
11 **15, let's read the question and answer.**

12 **"QUESTION: If a patient has presented herself to you**
13 **with the information that you understood she had as**
14 **to this fetus, would you have determined that this**
15 **was a fetal indicated abortion?**

16 **"ANSWER: I would have left that up to the patient. I**
17 **would have gotten her the same thing that the doctor**
18 **in New York got her, the best consultation available**
19 **and the best information, and apparently in spite of**
20 **all of that they just simply weren't able to tell for**
21 **sure, but we have had that kind of patient who has**
22 **gone on and been referred to an abortion clinic even**
23 **recently, but it was not this questionable. It was**
24 **not, you know, absolutely. The diagnosis was more**
25 **well-established. I don't criticize the decision to**

1 normally human beings and we make errors, as I've just
2 shown, no, I'm not criticizing them.

3 **Q. You also criticized Dr. Sella for using preprinted**
4 **orders and not providing individualized management in your**
5 **initial report, correct?**

6 A. I didn't criticize her for using preprinted
7 orders. We all use preprinted orders, but I criticized for
8 signing an order sheet that didn't apply to the patient
9 she's taking care of.

10 MR. RUBIN: Mr. Thompson, I think we've spent well
11 over an hour on the dubious -- on the dubious question of
12 what seems to be most of his credibility. A lot of these
13 questions are being generated by this one report, which
14 isn't his position anyway. I think in the interest of
15 moving this proceeding along, I think we should probably
16 wrap this line of questioning up with this document pretty
17 soon.

18 MR. THOMPSON: Mr. Goldberg -- you can make that
19 argument in closing. I am curious about the -- perhaps hear
20 in closing with regard to the relevance. I mean, it seems
21 to have changed some of his opinions from the report based
22 on new medical documents. That's true, but Mr. Goldberg's
23 free to ask the questions.

24 MR. RUBIN: I understand.

25 **Q. (By Mr. Goldberg.) All right. Well, I'll turn to**

1 abort. This was a potentially bad anomaly, and it
2 followed legal guidelines in New Mexico. I don't
3 have any problem with the decision to abort."

4 Was -- were those your -- was that your answer to the
5 question when your deposition was taken in -- just several
6 weeks ago by the way?

7 A. Yes, I was intending that to apply to the
8 patient's decision to abort. I don't have any problem with
9 what she decided.

10 **Q. In your Exhibit 12, again, I still am trying to**
11 **nail down what your opinions are. In page three of your**
12 **Exhibit 12, your initial report, Bate stamp number -121, you**
13 **do criticize the medical records for not documenting blood**
14 **pressure and pulse, correct?**

15 A. At the time that I had what I had did not include
16 that, and subsequently I've seen that and have withdrawn
17 that criticism.

18 **Q. So you're not criticizing the -- that the medical**
19 **records did not show blood pressure and pulse, correct?**

20 MR. RUBIN: Objection, asked and answered.

21 A. I'm still not criticizing that.

22 **Q. Okay. And it's correct, is it not, that you**
23 **actually are not criticizing the medical records at all?**

24 A. I've not been asked to decide that. I found them
25 extremely hard to read and had errors in them, but as we're

1 the opinion that you -- so let me see if I can identify
2 these bases for your opinion that Dr. Sella's care fell
3 below the standard of care by -- and your opinion is gross
4 negligence. It is that she provided Misoprostol?

5 A. That's one.

6 **Q. That she provided Misoprostol with Pitocin?**

7 A. Yes.

8 **Q. That she provided this third trimester abortion**
9 **with a woman with a cesarean section in a freestanding**
10 **clinic?**

11 A. Yes.

12 **Q. Are there any other bases for your opinion?**

13 A. Mixed in with that is sending a patient home with
14 Misoprostol. I suppose that could be included in one of
15 those others or could be an individual criticism.

16 **Q. Did you criticize -- did you criticize Ms. -- Dr.**
17 **Sella's care for sending the patient home with Misoprostol**
18 **in Exhibit 12 --**

19 A. No.

20 **Q. -- your earlier report? Did you criticize Dr.**
21 **Sella for sending the patient home with Misoprostol when**
22 **your deposition was taken?**

23 A. I truly don't remember. If I had been asked a
24 questioned, I would have.

25 **Q. Well, you were asked the question whether all of**

1 your criticisms -- you were stating all of your criticisms,
2 and you answered that you were stating all of your
3 criticisms; correct?

4 A. That's correct, but you know, when you ask that
5 kind of question, you've got to think of all other
6 possibilities that are -- you know, it's kind of a hard
7 question to answer. It's not a lie when you come back with
8 another one.

9 Q. But you also understand that we want to know what
10 -- we want to know what your criticisms are, right, sir?

11 A. Of course.

12 Q. Okay. Great. Now, let's turn to -- other than
13 the ACOG Bulletin 115, which is Exhibit 10, and the
14 Committee Opinion 342, which is Exhibit 14, do you rely on
15 any other literature or documentation for your opinion that
16 a third term abortion provided to a woman with a prior
17 cesarean section cannot be accomplished in a standalone
18 clinic?

19 MR. RUBIN: Hold on. By the term "documentation",
20 does he mean submitting the question to formal publications,
21 or -- "documentation" means -- is a very broad question.

22 MR. GOLDBERG: Sure. I'll note -- I'll note that
23 the only thing he brought with him was 115 and the committee
24 opinion. I'm entitled to know whether he's relying on
25 anything else. That's why I asked the broad question, and I

1 any other literature or documentation?

2 A. I suppose the Williams Obstetrics -- I don't know
3 whether you brought that or we did, but it has
4 documentation, the same things these do. These are the only
5 ones I'm actually -- that I brought with me and the only
6 ones I intended to use for --

7 Q. Okay. I'm content with that answer. I'm content
8 with that answer. You testified -- you testified that you
9 are unaware of what the actual practices by abortion
10 providers with respect to how -- treating women for third
11 trimester abortion at standalone clinics, right?

12 A. I don't know what the standard is for lower
13 gestational age and, of course, this is the only one we've
14 ever heard of at 35 weeks. So I don't know what the
15 standard separately for 35 weeks --

16 Q. I'll get to that in a second, but let's take the
17 lower. Let's say 33 weeks and below as Dr. Darney
18 testified, about 33 weeks. At 33 weeks and below, you have
19 no independent knowledge yourself of what the standard of
20 care is that is actually applied by people who provide
21 abortions to third trimester abortions to women with
22 cesarean sections, correct?

23 A. I don't think there is one separate from the
24 obstetrical standards, so that's my answer. I don't think
25 there is one.

1 want to limit it right now to documentation.

2 Q. (By Mr. Goldberg.) Are you relying on any other
3 literature or documentation for your opinion that the
4 provision of these abortion services to ML in the standalone
5 clinic fell below the standard of care?

6 A. I don't remember whether you mentioned all three
7 of the documents I brought or just two of them.

8 Q. I only mentioned -- I only mentioned -- actually,
9 well, which is the third document? Just tell --

10 A. Which one did you -- which one is the first,
11 second?

12 Q. The committee opinion -- the committee opinion,
13 which is 342 and the ACOG report, which is 115, ACOG
14 Bulletin?

15 A. 115, yes, in addition the ACOG Bulletin Number 54.

16 Q. That's the 2004 Bulletin?

17 A. Yes.

18 Q. And the one that says it's replaced by 115?

19 A. I don't remember if that's the one that says that
20 or not.

21 MR. GOLDBERG: Mr. Hearing Officer, that would be
22 13.

23 MR. THOMPSON: Thirteen.

24 A. Yes, I still rely on 54 as well.

25 Q. Okay. So other than those three do you rely on

1 Q. Right. I'll ask a -- I'll ask a different
2 question. It's correct, is it not, Doctor, that you are
3 unaware of what the abortion providers actually do?

4 A. No more than anybody else would have an idea about
5 that. I don't have any independent knowledge.

6 Q. You have no independent knowledge. You haven't
7 gone and visited any abortion clinics?

8 A. No.

9 Q. You haven't talked to Dr. Hern or Dr. Carhart or
10 Dr. --

11 MR. RUBIN: Objection. There's like no
12 foundation. You're asking -- questioning about these
13 particular people for this witness.

14 MR. THOMPSON: If he doesn't know them, he doesn't
15 know them.

16 A. I've answered no. So all of the people that that
17 includes, the answer is no.

18 Q. And you, yourself, don't provide abortions to
19 women with prior C-sections, third trimester abortions?

20 A. The number of third trimester abortions I've done
21 is probably -- can be counted on my little thumb.

22 Q. And not to any with a prior C-section?

23 A. I don't know whether she did or not.

24 Q. Okay. So as you sit here today, other than the
25 three documents that you brought with you, is there any

1 other reason why that supports your position that there
2 cannot be third trimester abortions provided to women with
3 prior C-sections in standalone clinics?

4 MR. RUBIN: Is he asking him to exclude his own
5 personal experience and his own personal judgment from this
6 answer?

7 MR. GOLDBERG: Well, again, depositions, I would
8 say that's an improper -- that's an improper coaching
9 objection.

10 MR. THOMPSON: Well --

11 THE WITNESS: These three documents --

12 MR. THOMPSON: Hold on a second. I sustain the
13 objection. So I guess --

14 MR. GOLDBERG: I'll ask it a different way. I'll
15 withdraw the question, ask it a different way.

16 Q. (By Mr. Goldberg.) Do you have any other reason
17 that you're going to give to the Hearing Officer, to the
18 Board than what you've just testified to?

19 A. I have no intent.

20 Q. Okay. Do you have any other reason?

21 A. I have opinions that don't have any place in this
22 area, but I don't have any other medical opinions.

23 Q. Well, you find third trimester abortion repugnant,
24 don't you?

25 A. No, not necessarily. The way -- in Texas you've

1 buccally or both?

2 A. My understanding is the first was administered
3 vaginally, and the record is not clear as to how the second
4 one was administered.

5 Q. Turn to Exhibit 8, the medical records. Please
6 point out where you come to the conclusion that there were
7 two dosages of Misoprostol that were administered on day
8 one?

9 A. Which -- which --

10 Q. It's Exhibit 8, I believe. Exhibit 10, I'm sorry.
11 Exhibit 10.

12 MS. NOWARA: No, it's 8.

13 MR. GOLDBERG: Eight? Is it 8? Okay. Exhibit 8.

14 A. And did you say a page number?

15 Q. I did not. Do you have the medical records that
16 show what medications were administered on day one in front
17 of you?

18 A. Yes.

19 Q. What day -- read the Bates number down below, the
20 last three digits?

21 A. -22.

22 Q. -22. How many -- how many dosages were
23 administered? How many administrations of Misoprostol were
24 given on the first day?

25 A. It says she gave -- someone gave a dose at 15:55,

1 got to document a proven, severe anomaly. You don't just
2 have to have a suspicion of an anomaly, and I have gone
3 along with that, and I've complied with and done those
4 abortions.

5 Q. You stated in your report, Exhibit 12, "Although I
6 find this practice appalling on a moral basis, apparently
7 this is a legal procedure."

8 A. Well, that wasn't talking about third trimester
9 abortions. That was talking about this particular 35-week
10 abortion at which my -- my reading of the record indicated
11 was seven and a half pound baby, but I was reading a
12 different guess from what the ultrasound was.

13 Q. What is your understanding today as to the dosages
14 of Misoprostol that were administered to M --

15 MR. RUBIN: Objection, asked and answered. He's
16 already gone over this with the witness about when the
17 Misoprostol was given.

18 MR. THOMPSON: The previous question was
19 Misoprostol with the Pitocin.

20 A. My understanding that the doses were each 100
21 micrograms.

22 Q. How many -- how many dosages were administered on
23 the first day, your understanding today?

24 A. Two.

25 Q. Were those dosages administered vaginally or

1 and I think this is one of the times that someone got their
2 military time mixed up, and it was really 1:55, I think.

3 Q. How many -- how many times was Misoprostol
4 administered on the first day?

5 A. Well, give me a minute, will you.

6 Q. Okay. I'm sorry.

7 A. All right. On the 11th, May 11th, there was a
8 dose given at 09:15.

9 Q. Is May 11th the first day?

10 A. No, May 11th was the second day.

11 Q. My question to you was the first -- my question to
12 you was how many dosages -- how many times was Misoprostol
13 administered on day one, and you said twice.

14 A. Okay. That's what I was remembering a few minutes
15 ago. From this document it appears that -- let me think
16 about it a minute. That was given one time.

17 Q. Not twice.

18 A. One time is not twice, right.

19 Q. How about on the second day, how many times was
20 Misoprostol administered?

21 A. It was given once at 09:15 or a different time if
22 that's not a correct time, and she was discharged sometime
23 later and told -- was given another dose of 100 micrograms
24 to take at 3:00 p.m., and I'm assuming that means buccal,
25 because it says, "Hold for one hour," and that could be

1 vaginal, but I don't think that it was.

2 Q. And until -- as you understand the records, until
3 ML presented that evening in labor, did she take -- did she
4 have any other administration of Misoprostol?

5 A. My understanding is the one that she -- the one
6 that was supposed to take -- I think there was one she was
7 supposed to take but didn't take.

8 Q. At 9:00 p.m.?

9 A. The orders on her page 24 are not clear. It just
10 says to take one at 3:00, but I guess she was supposed to
11 take one every six hours later.

12 Q. Look at page -- look at page 26.

13 A. It's not clear.

14 Q. Doctor, look at page 26. Does that clear it up
15 for you?

16 A. Yeah, that clears it up. I misread this "RTC",
17 because I always thought RTC was "return to clinic", but I'm
18 being told today that's it "round the clock". So she was to
19 take that every six round the clock, but she did not take
20 the 9:00 o'clock one.

21 Q. Originally you had actually thought that she was
22 instructed to take Misoprostol every one to two hours; is
23 that correct?

24 A. No, no, I never was under that understanding.
25 Where that came in was when she was in the hospital -- in

1 the clinic in the middle of the night May 11th/12, that
2 their plan was to give Misoprostol every one to two hours.
3 On the right side of page 30 it says, "Will augment with
4 Misoprostol 100 micrograms, Q1 to 2 hours, will titrate
5 dose," but I never thought she was supposed to take it every
6 two hours.

7 Q. At the end of your testimony on direct, you
8 indicated that you had a -- I think the word, phrase you
9 used at one point was a third option, and then testified
10 that that option was to administer Degoxin, effect the
11 demise of the fetus and send ML or a patient out to present
12 at a hospital, correct?

13 A. Yes.

14 Q. I just want to make sure I understand. You're not
15 testifying that you think that that's a preferable way to
16 proceed than the way Dr. Sella proceeded with ML, are you?

17 A. Yes.

18 Q. You are testifying that that would have been
19 preferable?

20 A. Medically for the patient's benefit it would have
21 been, because the likelihood of ending up with a ruptured
22 uterus would have been much less. It would have been pretty
23 hard for her to do that, unless she was on staff at the
24 hospital and could do it herself.

25 MR. GOLDBERG: I have no further questions.

1 MR. THOMPSON: Mr. Rubin.

2 MR. RUBIN: One quick -- one redirect question.

3 REDIRECT EXAMINATION

4 BY MR. RUBIN

5 Q. Dr. Bullock, in going through your -- I believe
6 during the cross you were -- you were cited to the statement
7 in your deposition where you say you had no problem with the
8 patient's decision to abort. Do you remember that question?

9 A. No, I never have a problem with a patient's
10 decision to abort. It's just a personal decision, so that's
11 what I meant.

12 Q. Right, but you draw a distinction between the
13 patient decisions in this case and the doctor's --
14 physician's decision?

15 A. Yes.

16 Q. Dr. Sella's?

17 A. Yes.

18 MR. RUBIN: Okay. Thank you. That's all I have.

19 MR. THOMPSON: I have a couple questions, Doctor.

20 EXAMINATION

21 BY MR. THOMPSON

22 Q. Is every -- is it your opinion that every uterine
23 rupture of an induced birth, a violation of standard of
24 care?

25 A. No, sir, it's not. If you follow all the rules

1 and you do it the way you're supposed to and it happens
2 anyway, that's not a violation of the standard of care.

3 Q. Okay. But it's your opinion it would be -- a
4 uterine rupture that occurs due to or in conjunction with
5 Misoprostol, or would that not be a --

6 A. If a uterus ruptures under the use of Misoprostol
7 I would say that's below standard, because the standard says
8 -- ACOG says you're not supposed to use that with a prior
9 cesarean.

10 Q. Okay. If there was an in utero death after --
11 let's say there's an in utero death of the fetus, fetus
12 wasn't viable after 35 weeks, how -- what options would a
13 physician have to -- other than cesarean, they could remove
14 the fetus through a cesarean?

15 A. Yes.

16 Q. Would that be the only option as a matter in order
17 to meet --

18 A. No, that wouldn't be the only option. Another
19 option would be a general induction of labor, which would
20 mean that Laminaria would be fine to get the cervix to start
21 opening up, putting a balloon inside the cervix, which is
22 kind of a blown up ball about this big around and just
23 putting pressure on it will help the cervix to begin
24 dilating, and opinions vary about whether various doctors
25 will use Pitocin. Some will. Some won't. So you have

1 those options, and rupture the membranes as soon as you can
2 get in to do that, as soon as you can get to the membranes.
3 **Q. Would it be appropriate to use Misoprostol in that**
4 **circumstance?**

5 **A.** No, not according to ACOG's guidelines,
6 Misoprostol after a cesarean.

7 **Q. Do you -- do you read that opinion, what you rely**
8 **on for those documents or that literature, to caution**
9 **against it for the purposes of protecting against agitating**
10 **a live fetus or for the health of the mother, a concern over**
11 **uterine rupture?**

12 **A.** Both reasons. If you've got a live fetus, you
13 will have a high risk of getting fetal distress and
14 inadequate oxygen and all that. If you have a dead fetus,
15 then the only concern left is mother's health, and the
16 proscriptio still applies, because -- because you're still
17 going to have a higher instance of ruptured uterus.

18 **Q. Is it any -- does it change your opinion at all**
19 **whether the facility can mitigate that risk through the ways**
20 **the previous expert testified?**

21 **A.** You mean like being able to do a cesarean right
22 away?

23 **Q. Being able to have a place in which the patient**
24 **can rest, have access to an emergency facility, some of**
25 **those things, if you can remember some of those things**

1 **Dr. --**

2 **A.** It makes the -- it makes the -- yeah, it mitigates
3 that, and then if you've got a facility where -- you know,
4 for example, birthing centers that are on campus, they're
5 simply part of the facility, and you just roll -- maybe you
6 roll from one building to the next, but you're still
7 contiguous, and so it does make a difference, your
8 availability to experts.

9 **Q. Okay. Two other things. Did you form an opinion**
10 **-- and I don't -- did you form an opinion of whether or not**
11 **the center in which the doctor worked had the capability to**
12 **mitigate those factors?**

13 **A.** I didn't know how close they were to a hospital
14 until today.

15 **Q. Okay. Did you form an opinion as to the -- any**
16 **harm done to ML as a result of the uterine rupture?**

17 **A.** Yes, the understood harm is going to be another
18 cesarean, a scar that went caddywhompus, the scar that went
19 crossways, not quite like this incision but all the way down
20 to the cervix, which will make it more hazardous. In fact,
21 one of the doctors at UNM said that she should not get
22 pregnant again. I'm not sure what that doctor's level of
23 training was, whether faculty or resident or fellow, but
24 that is going to be a factor. You know, when she gets
25 pregnant again, she'll review these records, the doctor will

1 or to help decide whether she should get pregnant again.
2 **MR. THOMPSON:** Okay. That's all the questions I
3 have.

4 **MR. RUBIN:** Okay.

5 **MR. THOMPSON:** Release the witness. You're free
6 to stay. Any reason not to release him?

7 **MR. RUBIN:** Well, there is the prospect of a
8 rebuttal -- rebuttal case. I'm not sure what we're getting
9 in the Respondent's case in chief other than Dr. Sella. So
10 I don't want to have him released yet.

11 **THE WITNESS:** All right. My plan and my
12 arrangement is to stay to tomorrow. So it's not a problem.

13 **MR. THOMPSON:** All right. Mr. Rubin, anyone else?

14 **MR. RUBIN:** Prosecution rests.

15 **MR. GOLDBERG:** Do you want to start now, or do we
16 want to -- we're not going to finish today. So do you want
17 to start now or do you want to start tomorrow morning?

18 **MR. THOMPSON:** Do we have any other -- oh, we're
19 going to have Dr. Sella first?

20 **MR. GOLDBERG:** We have Dr. Sella. We have Dr.
21 Sella, and then we have -- we have on our witness list
22 Dr. Robinson, one of the counselors, Ms. Douda and then
23 Ms. Tope, but I'm not going -- I'm not going to finish Dr.
24 Sella at 45 minutes or an hour, so --

25 **MR. RUBIN:** Well, my thought is that if we had Dr.

1 Darney testifying that the availability of other facilities
2 and the availability of abortion in general doesn't have any
3 direct impact on what the standard of care is in this case,
4 I believe that's what he testified to, then --

5 **MR. GOLDBERG:** The record -- the record is going
6 to be what we get. My recollection is very different.

7 **MR. RUBIN:** Okay. Well, then I won't argue that
8 point. It just seemed --

9 **MR. THOMPSON:** You can file a motion in limine.

10 **MR. GOLDBERG:** Well, I'll tell you right now, I'm
11 not -- right now at least, I'm not planning on -- if you're
12 asking me, am I going to bring back Dr. Darney?

13 **MR. RUBIN:** No, if we're not -- I mean, if
14 Ms. Douda's going to testify as to where abortions are
15 available and when, I believe Dr. Darney said that didn't
16 relate to the standard of care.

17 **MR. GOLDBERG:** No, actually Ms. Douda is going to
18 testify to whether ML was counseled on the risk of uterine
19 rupture.

20 **MR. RUBIN:** Oh, I'm sorry. Then I misunderstood
21 what you --

22 **MR. GOLDBERG:** Dr. Robinson is going to -- but is
23 that still an issue in this case?

24 **MR. THOMPSON:** And you all can discuss that on
25 recess --

1 MR. RUBIN: Okay.
 2 MR. THOMPSON: -- whether or not the counseling
 3 issue is still accountable.
 4 MR. GOLDBERG: No, this -- I'll tell you right
 5 now, Ms. Douda and Dr. Robinson are going to testify about
 6 whether ML was adequately counseled on the risk of uterine
 7 rupture.
 8 MR. THOMPSON: Okay. So there's no use calling --
 9 MR. GOLDBERG: And Dr. Sella has testimony on
 10 that, also. Ms. Tope is going to testify not about the
 11 availability of abortions but the availability of VBACs and
 12 TOLACs outside of a hospital setting in New Mexico --
 13 MR. THOMPSON: Okay.
 14 MR. GOLDBERG: -- which is relevant to what Dr.
 15 Bullock says is the standard of care.
 16 MR. THOMPSON: Okay. So my question for you all,
 17 do what you want to do. Do you want to go an hour of direct
 18 of Dr. Sella, try and wrap it up and do cross in the
 19 morning, or do we want to -- we're going to end up going
 20 probably into the early afternoon tomorrow at least.
 21 MR. GOLDBERG: Well, it depends -- actually it
 22 depends on -- yeah, it depends on what results from the
 23 conversation. Certainly if we have to put on Ms. Douda and
 24 Dr. Robinson, we'll go into the early afternoon. If we
 25 don't have to put on Ms. Douda and Dr. Robinson, my guess is

1 THE STATE OF NEW MEXICO
 2 COUNTY OF BERNALILLO

3 BE IT KNOWN that the foregoing transcript of
 4 proceedings was taken by me; that I was then and there a
 5 Certified Court Reporter and Notary Public in and for the
 6 County of Bernalillo, State of New Mexico, and by virtue
 7 thereof, authorized to administer an oath; that the
 8 witnesses before testifying were duly sworn by me; that the
 9 foregoing transcript contains a true and accurate transcript
 10 of the proceedings, all to the best of my skill and ability.
 11 I FURTHER CERTIFY that I am neither employed by
 12 nor related to nor contracted with (unless excepted by the
 13 Rules) any of the parties or attorneys in this case, and
 14 that I have no interest whatsoever in the final disposition
 15 of this case in any court.
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

B. JULIAN SERNA, CCR
 NM Certified Court Reporter #206
 License expires: 12-31-12
 Paul Baca Court Reporters
 500 Fourth Street, NM, Suite 105
 Albuquerque, New Mexico 87102

1 that we're going to be done, even if we start Dr. Sella in
 2 the morning, and we would be done in the morning if we start
 3 -- even if we start Dr. Sella in the morning, which frankly
 4 would be my preference is to start her in the morning.
 5 MR. THOMPSON: Mr. Rubin.
 6 MR. RUBIN: I think that's fine.
 7 MR. THOMPSON: Okay. We're in recess.
 8 (Note: Hearing in recess at 4:40 p.m.
 9 and reconvened at 4:41 p.m.)
 10 MR. THOMPSON: Back on the record.
 11 MR. GOLDBERG: Off the record, Mr. Rubin and I
 12 conferred, and we agreed that the issue of the counseling of
 13 ML as to the risk of uterine rupture and the -- and
 14 consequently any issue with respect to the consent is no
 15 longer an issue in this case, correct?
 16 MR. RUBIN: Yes. Well-stated.
 17 MR. GOLDBERG: Okay. Great. We'll release those
 18 witnesses.
 19 MR. THOMPSON: Those witnesses are released.
 20 We're off the record.
 21 (Note: Hearing in recess at 4:41 p.m.
 22 for the day.)
 23
 24
 25