

## BEFORE THE NEW MEXICO MEDICAL BOARD

IN THE MATTER OF )

SHELLY SELLA, M.D. )

License No. MD2009-0759 )

No. 2012-026

Respondent. )

## MEDICAL BOARD HEARING

## VOLUME II

November 30, 2012

9:00 a.m.

NM Medical Society Offices

316 Osuna Road, NE

Albuquerque, New Mexico

TAKEN BEFORE:

DAVID K. THOMPSON  
HEARING OFFICER

REPORTED BY:

B. Julian Serna  
Paul Baca Court Reporters  
500 Fourth Street, NW - Suite 105  
Albuquerque, New Mexico 87102

## APPEARANCES

Hearing Officer:

THOMPSON LAW OFFICE, LLC

303 Paseo de Peralta

Santa Fe, New Mexico 87501

505-982-1873

BY: DAVID THOMPSON



1  
2 For the Prosecution:  
3 New MEXICO MEDICAL BOARD  
4 Building 400  
5 2055 S. Pacheco Street  
6 Santa Fe, New Mexico 87505  
7 505-476-7223  
8 BY: DANIEL R. RUBIN  
9 dan.rubin@state.nm.us  
10  
11 For the Respondent:  
12 FREEDMAN, BOYD, HOLLANDER,  
13 GOLDBERG, URIAS @ WARD, P.A.  
14 Suite 700  
15 20 First Plaza,  
16 Albuquerque, New Mexico 87102  
17 505-842-9960  
18 BY: JOSEPH GOLDBERG  
19 jg@fbdlaw.com  
20  
21 GARCIA, IVES, NOWARA  
22 Suite 480  
23 201 Third Street, Northwest  
24 Albuquerque, New Mexico 87102  
25 505-899-1030  
BY: MOLLY SCHMIDT NOWARA  
molly@ginlawfirm.com

## INDEX

## PAGE:

20 THE WITNESSES	
21 SHELLY SELLA, MD	
22 Direct Examination by Mr. Goldberg	4
23 Cross-examination by Mr. Rubin	85
24 Redirect Examination by Mr. Goldberg	105
25 Examination by Mr. Thompson	109
26 Recross-examination by Mr. Rubin	112

1 DEBORAH TOPE	
2 Direct Examination by Ms. Nowara	114
3 GERALD LYNN BULLOCK, MD	
4 Direct Examination by Mr. Rubin	124
5 Cross-examination by Mr. Goldberg	134

## CLOSING ARGUMENTS

6 By Mr. Rubin	139
7 By Ms. Nowara	142
8 Reporter's Certificate	156

## PROSECUTION EXHIBITS

- 10 1. Medical Records
- 11 2. ACOG Bulletin 115
- 12 3. Dr. Bullock's Resume

## SELLA EXHIBITS

13 1. Dr. Sella's Resume	7
14 2. DVD of SWOPs Clinic	37
15 3. Operation Rescue Photo	33
16 4. Newspaper Article	33
17 5. Affidavit	
18 6. Affidavit	
19 7. Affidavit	
20 8. Sella Medical Records	57
21 9. Dr. Darney's Resume	78
22 10. ACOG Bulletin 115	
23 11. VBAC Bulletin	
24 12. Dr. Bullock's Report	43
25 13. ACOG Bulletin 54	
14 Committee Opinion 342	98
15 Chapter 26, Williams Obstetrics	
16 Survey Results	117
17 UNMH Medical Records	

1 (Note: Hearing in session at 9:01 a.m.)

2 MR. THOMPSON: We're back on the record. It's  
3 9:01 a.m., Friday, November 30th. We're on the second day  
4 in the matter of Shelly Sella, MD, license number 92-62,  
5 number 2012-026. State has rested. We are on direct  
6 examination by Mr. Goldberg of Dr. Sella. Dr. Sella, you  
7 remain under oath.

8 THE WITNESS: Yes.

9 MR. THOMPSON: Remember that?

10 THE WITNESS: Yes.

11 MR. THOMPSON: Go ahead, Mr. Goldberg.

12 DIRECT EXAMINATION

13 BY MR. GOLDBERG

14 Q. Dr. Sella, you've testified before, so you  
15 testified that you work at Southwest Women's Options. Where  
16 do you live?

17 A. In Oakland, California.

18 Q. Can you explain to the Hearing Officer how it is  
19 that you work here in Albuquerque, New Mexico, but you live  
20 in Oakland, California?

21 A. I share the practice with Dr. Susan Robinson, and  
22 we work a week at a time. So I work for a week, and she's  
23 home, and then she works for a week, and I go home to  
24 California.

25 Q. And as you explained in your testimony as part of

1 the Board's case that you're a medical doctor, can you  
2 explain in your words what it is that you do, how you view  
3 your job at Southwest? What is it that you do as a medical  
4 doctor?

5 A. I provide first through third trimester abortions.

6 Q. Let's turn to your background, Dr. Sella. Where  
7 were you born and raised?

8 A. I was born in Israel, and I was raised in New  
9 York.

10 Q. Can you describe briefly and generally your  
11 college and professional education?

12 A. Yes, I went to -- undergraduate at University of  
13 Wisconsin-Madison. My degree was independent major in women  
14 and health.

15 Q. And then your professional education.

16 A. Oh, okay. I went to medical school at Sackler  
17 School of Medicine in Israel. I did an internship in family  
18 practice in Madison, Wisconsin followed by a residency in  
19 OB/GYN in Oakland, California.

20 Q. And where is the Sackler School of Medicine?

21 A. Tel-Aviv, Israel.

22 Q. And it might help a little bit if you just slow  
23 down.

24 A. Okay. I will, yes.

25 A. Tel-Aviv, Israel.



1 **Q. Why did you want to become a doctor?**

2 A. One summer when I was in undergraduate at  
3 University of Wisconsin, I worked for a summer at a women's  
4 clinic in Los Angeles. It was a clinic that provided  
5 abortions, and that experience had a deep impact on me, and  
6 I decided I wanted to learn how to do abortions and to  
7 provide them. So when I went back to Madison, Wisconsin, I  
8 started a premedical course of study. The rest is history,  
9 and here I am today, but that was the original impetus,  
10 that summer working -- working at an abortion clinic.

11 **Q. Are you board certified, Dr. Sella?**

12 A. I am.

13 **Q. In what congress or college?**

14 A. In obstetrics and gynecology.

15 **Q. What does it mean to be "board certified", to be a  
16 fellow of the American Congress of Obstetrics --  
17 Obstetricians and Gynecologists?**

18 A. What it means is that you've passed a written and  
19 oral exam and that you maintain certification yearly.

20 **Q. As part of your training in obstetrics and  
21 gynecology, did you learn about providing abortion services?**

22 A. When I was a resident, it was not an integral part  
23 of the OB/GYN residency to train OB/GYNs in abortion, and it  
24 has changed, and Dr. Darney talked about that. So I had to  
25 work very hard to get that training and to arrange special

1 training at that point.

2 **Q. Can you describe again briefly and generally your  
3 professional experience after you finished your residency in  
4 OB/GYN?**

5 A. So initially I worked at the La Clinica de La  
6 Raza, which is a clinic in Oakland, California as well as  
7 working for Kaiser Permanente in the medical center.

8 **Q. And let's put a time frame on that. From what  
9 periods of time to what period of time?**

10 A. La Clinica was from 1994 to '96, and Kaiser was  
11 from '93 to 2001.

12 **Q. You have a stack of exhibits in front of you, Dr.  
13 Sella. I'm going to be making reference to them.**

14 A. Yes.

15 **Q. Will you take a look at Exhibit 1, which is  
16 labeled Sella Exhibit 1. Can you identify this document?**

17 A. Yes.

18 **Q. What is Sella Exhibit Number 1?**

19 A. It's my resume.

20 MR. GOLDBERG: We move Sella Exhibit Number 1,  
21 Mr. Hearing Officer.

22 MR. THOMPSON: Any objection?

23 MR. RUBIN: No objection.

24 MR. THOMPSON: One is admitted.

25 **Q. (By Mr. Goldberg.) When did you start providing**

1 **abortion services exclusively?**

2 A. In the fall of 2000.

3 **Q. Where were you providing abortion services at that  
4 time?**

5 A. At that time it was at multiple clinics in the Bay  
6 Area, California.

7 **Q. And did there come a time when you started working  
8 with Dr. George Tiller in Wichita, Kansas?**

9 A. Yes.

10 **Q. When was that approximately?**

11 A. In 2002.

12 **Q. Can you describe how it came about that you went  
13 to work for Dr. Tiller?**

14 A. We met at a post-graduate seminar sponsored by the  
15 National Abortion Federation in 2000 -- in the fall of 2001,  
16 and I heard him speak. I was very impressed with his  
17 philosophy and approach to patients, and we -- I visited his  
18 clinic, and I started working with him in June of 2002.

19 **Q. At that time in 2002 did Dr. Tiller have a  
20 reputation among the professionals who provide medical  
21 abortion procedures?**

22 A. Yes.

23 **Q. And what was that reputation as you understood it?**

24 A. His reputation was he was the leading provider of  
25 third trimester abortions in the US and in the world.

1 **Q. Was the clinic where you went to work with  
2 Dr. Tiller, was this a hospital-based or a  
3 non-hospital-based clinic?**

4 A. It was non-hospital based.

5 **Q. Did Dr. Tiller provide abortion services beside  
6 third trimester abortion services?**

7 A. Yes.

8 **Q. First and second trimester abortions?**

9 A. Correct.

10 **Q. Were there other doctors who practiced at the  
11 clinic with you in addition to you and Dr. Tiller?**

12 A. Yes. There were two other physicians who rotated.  
13 There was Dr. Susan Robinson and Dr. Lee Carhart and I and  
14 Dr. Tiller, so the three physicians would rotate with  
15 Dr. Tiller one week at a time. Is that clear?

16 **Q. Sure. The Susan Robinson that worked with you in  
17 Wichita, is that the same Dr. Robinson that works with you  
18 now at Southwest?**

19 A. Yes.

20 **Q. And where -- is Dr. Carhart still providing  
21 medical abortion services?**

22 A. Yes.

23 **Q. Where?**

24 A. He is providing abortions in Germantown, Maryland.

25 **Q. And is that clinic in Maryland one of the clinics**



1 that provides third trimester abortion services?

2 A. Yes.

3 Q. How long did you work with Dr. Tiller?

4 A. For nine years.

5 Q. While you were working with Dr. Tiller, did you  
6 provide first, second and third trimester abortion services?

7 A. Yes, I did.

8 Q. Was Dr. Tiller recognized in the profession as one  
9 of the leading practitioners of abortion services?

10 A. Absolutely.

11 Q. Same question with respect to the late-term  
12 abortion services, was he considered one of the leading  
13 practitioner's in terms of late-term abortion services,  
14 third trimester?

15 A. Yes, I would say he was considered the leading of  
16 them, of third trimester abortion.

17 Q. When you worked with Dr. Tiller, did his clinic in  
18 Wichita use standard protocols in providing abortion  
19 services?

20 A. Yes.

21 Q. Before we turn to your description of the  
22 protocols you learned there, I want to ask you some  
23 preliminary questions. Are abortion services the same  
24 irrespective of the gestational age of the woman who seeking  
25 the abortion?

1 A. No.

2 Q. So are there different abortion services that are  
3 provided in the first trimester from the those that are  
4 provided in the second trimester from those that are  
5 provided in the third trimester?

6 A. Yes.

7 Q. Okay. Can you briefly describe to the Hearing  
8 Officer those differences?

9 A. In the first trimester, so that's up to 12 to 14  
10 weeks, it's a one-day either a surgical or medical  
11 procedure. If it's surgical, it's done in the clinic. If  
12 it's medical, that is with Methopristone R846 and  
13 Misoprostol and that's offered up to seven to nine weeks  
14 depending on the clinic.

15 Q. And "that" meaning the medical?

16 A. The medical abortion, which is an abortion where  
17 the woman miscarries at home. And the second --

18 Q. Can you describe the surgical procedure?

19 A. The first trimester surgical procedure --

20 Q. Yes.

21 A. -- involves dilating the cervix and then using  
22 gentle suction to remove the pregnancy at its absolute  
23 simplest description.

24 MR. THOMPSON: That's 12 to 14 weeks?

25 THE WITNESS: Up to, so from 5 to 12 to 14 weeks

1 is in one day dilating the cervix using instruments to  
2 dilate the cervix and then using suction to empty the  
3 contents of the uterus.

4 MR. THOMPSON: Is Misoprostol used in that  
5 procedure?

6 THE WITNESS: Yes -- no, I'm sorry.

7 MR. THOMPSON: Just in the medical?

8 THE WITNESS: In the medical, yes.

9 Q. (By Mr. Goldberg.) Can you describe the second  
10 trimester procedure? Is that medical or surgical or both?

11 A. The -- in the second trimester, the cervix is  
12 prepared depending on the gestation; in other words,  
13 depending how far along the pregnancy is, over one to two  
14 days followed by the extraction on either the second or  
15 third day. Again, that depends on how far along the  
16 pregnancy is.

17 Q. Is that procedure a surgical procedure, or is it a  
18 medical procedure?

19 A. That is a surgical procedure.

20 Q. And so is it correct that in the second trimester  
21 the only procedure that is employed is a surgical procedure?

22 A. Correct.

23 Q. Does that procedure have a commonly recognized  
24 name or characterization?

25 A. It's called a D&E, which stands to dilatation and

1 extraction. Some people think the E stands for evacuation,  
2 but either one.

3 Q. And, again, I don't want to belabor the second  
4 trimester procedure, but in that procedure, is the cervix  
5 prepared?

6 A. Yes, it is.

7 Q. And is Misoprostol employed as one the preparatory  
8 agents?

9 A. Sometimes it is in the preparatory phase when the  
10 patient comes in for the Laminaria, and it's routinely given  
11 on the day of the extraction before the extraction.

12 MR. THOMPSON: Are there dose -- particular  
13 dosages with regard to these protocols?

14 THE WITNESS: Of which?

15 MR. THOMPSON: Misoprostol.

16 THE WITNESS: The Misoprostol? If it's given with  
17 the Laminaria, usually the dose is between 100 to 200  
18 micrograms.

19 MR. THOMPSON: Okay.

20 THE WITNESS: And on the day of the extraction,  
21 it's 400 micrograms.

22 Q. (By Mr. Goldberg.) But this case involves the  
23 third trimester, and we're going to focus on the third  
24 trimester, and is the procedure that you described in your  
25 testimony at the beginning of this case, again, for the



1 record, is that a surgical procedure, or is it a medical  
2 procedure?

3 A. It's a medical procedure.

4 Q. And normally -- and, again, focusing on the  
5 protocols that you employed, you learned and employed at  
6 Wichita, can you describe normally a third trimester  
7 abortion procedure? How many days did it go, generally what  
8 you did?

9 A. Okay. From the beginning, from the phone -- there  
10 was extensive phone counseling, determination whether the  
11 patient could be seen or not in the clinic. Once the  
12 patient arrived, the counseling continued. Consents, of  
13 course, were obtained. The --

14 Q. Doing this, will you break it down into day one,  
15 day two, et cetera.

16 A. Yes, day one, counseling, consents and ultrasound,  
17 Degoxin injection to effect fetal demise, and the cervix was  
18 -- the cervical preparation was begun with Laminaria and  
19 Misoprostol at times. On the second day, Laminaria were  
20 removed, and the cervix was continued to be modified. On  
21 the third or fourth day, depending on the condition of the  
22 cervix or the patient's history, the induction was begun  
23 using Misoprostol and Pitocin.

24 Q. This procedure that you described and you employed  
25 while you were at Wichita, this is not what is sometimes

1 referred to as a partial birth abortion, is it?

2 A. No, no.

3 Q. Is it correct that Dr. Tiller was the physician  
4 who actually first developed this abortion procedure that  
5 you described?

6 A. Yes, as far as I know.

7 Q. And he's generally recognized in the profession as  
8 the person who developed this third trimester abortion  
9 procedure?

10 A. Yes.

11 Q. I want to go and -- while you worked with Dr.  
12 Tiller in Wichita, did you provide late-term abortion  
13 services to women with prior C-sections?

14 A. Yes.

15 Q. When a woman with a prior C-section presented  
16 herself for abortion services there, were there special  
17 considerations?

18 A. The difference with patients with a prior  
19 C-section is that they were counseled that the procedure  
20 would take longer; that we would prepare the cervix  
21 generally over three days, rather than the more typical two  
22 days.

23 Q. And were they counseled about any particular risks  
24 that are attendant to abortion services on women who had  
25 prior C-sections?

1 A. Yes.

2 Q. And what were the risks that were counseled?

3 A. Well, they were counseled on all the risks of a  
4 third trimester abortion, including the risk specifically  
5 for them of uterine rupture.

6 Q. And are there other specific risks, unique risks  
7 to women with prior C-sections that they were counseled on?

8 A. Yes.

9 Q. And so we have a record, are there -- are there  
10 placental risks that are associated with having an abortion  
11 after a prior C-section?

12 A. Yes, there are.

13 Q. Will you explain that to the Hearing Officer?

14 A. Yes. If a woman has had a prior C-section,  
15 there's greater risk for the placenta to overlie the cervix,  
16 and that's called a placenta previa. With each successive  
17 C-section there's more and more risk of that. With a  
18 placenta previa, there is higher risk of the placenta  
19 growing into the uterus, but even without a placenta previa,  
20 a woman who has had a C-section scar is at risk for the  
21 placenta growing into the scar, so that is called an  
22 accreta. If it goes through the muscle layer it's a  
23 percreta, and if goes all the way through, it's an intracta.  
24 So every woman with a C-section is at risk for that -- for  
25 the placenta accreta if the placenta is anterior, so if the

1 placenta is on top. So imagine, the placenta can be any  
2 place. It can be on top, on the bottom. This -- let's see.  
3 Okay.

4 MR. GOLDBERG: Dr. Sella's a visual person by the  
5 way.

6 MR. THOMPSON: That's fine.

7 A. The placenta can be here fundal, so it's at the  
8 very top. The scar is down here. Actually this is perfect,  
9 because it shows the little indentations let's say is the  
10 scar. If the placenta is anterior, so if it's attached  
11 here, there's concern that it's growing into the scar. If  
12 the placenta is posterior, well, the scar's up on here, on  
13 top here, so it's not a worry. If the placenta covers the  
14 cervix, and we'll say this is the cervix, but it's on the  
15 inside, that's the previa that I was talking about.

16 MR. RUBIN: Can we just have the record reflect  
17 that the witness is using a water bottle to demonstrate what  
18 she was trying to -- ah, I don't need that.

19 MR. GOLDBERG: So you have -- so you have a good  
20 record. Thank you, Danny.

21 THE WITNESS: What's that?

22 MR. THOMPSON: Yeah, I just wonder, so -- and  
23 that's -- that risk is the same with either C-section? We  
24 talked about those two different C-sections.

25 Q. (By Mr. Goldberg.) The low lateral or the --



1 A. Right, or a classical -- yes, any scar is a site  
2 for the placenta to possibly grow into.  
3 **Q. Why don't you use the bottle again and just**  
4 **describe to the Hearing Officer the low transverse versus**  
5 **the classic incisions?**

6 A. Okay. This is actually good, because this we can  
7 imagine as the cervix, although usually the cervix is  
8 longer.

9 MR. RUBIN: The bottle cap?

10 THE WITNESS: The bottle cap. Sorry. The bottle  
11 cap is the cervix, but imagine that it's longer. This is  
12 the top of the uterus. This is the bottom part.

13 **Q. Got it.**

14 A. Okay. You got it. The low transverse incision is  
15 here. It's low. It's transverse; in other words, it's  
16 sideways. A classical C-section scar is a vertical  
17 incision, and it goes up here in the active -- it's called  
18 the active segment of the uterus. It's more muscular. This  
19 part is thinned out later in the pregnancy.

20 **Q. And while -- while the vertical scar going into**  
21 **the muscle, working muscle area is called "classical", is it**  
22 **correct that most C-sections in today are done with the low**  
23 **transverse incision?**

24 A. Yes.

25 **Q. That's the -- that's the preferable way to do it?**

1 A. Yes.

2 **Q. Again, we're still talking about while you were**  
3 **working in Wichita with Dr. Tiller. Did the protocols that**  
4 **you learned with Dr. Tiller change when a woman who was**  
5 **seeking a third trimester abortion service had a prior**  
6 **C-section?**

7 A. Just what I mentioned about --  
8 (Note: Cell phone ringing.)

9 **Q. Oh, I'm sorry. Terrible mistake.**

10 A. Just what I mentioned with the counseling.

11 MR. GOLDBERG: It actually happened once in a  
12 recent trial.

13 MR. THOMPSON: Art Encinas.

14 MR. GOLDBERG: Oh, yeah. You could go to jail  
15 with Judge Encinas.

16 A. So just what I mentioned, that the counseling was  
17 different, because the patient was informed that we would  
18 expect that the process would take longer, would take more  
19 time.

20 **Q. Other than that, the protocols were the same?**

21 A. Correct.

22 **Q. In the protocols that you learned at Dr. Tiller's,**  
23 **was Misoprostol an agent that was employed to help prepare**  
24 **the cervix?**

25 A. Yes.

1 **Q. On the first day?**

2 A. Yes.

3 **Q. On the second day?**

4 A. Yes.

5 **Q. Throughout?**

6 A. Yup.

7 **Q. What were the dosages that you learned to apply of**  
8 **Misoprostol in the exercise of these protocols?**

9 A. For cervical preparation, 100 micrograms vaginally  
10 and buccally every six hours as needed. In labor? You're  
11 talking about an actual induction of labor as opposed to an  
12 augmentation?

13 **Q. Let's start with an induction of labor.**

14 A. Okay. So that would be on the fourth day, and she  
15 would get 400 micrograms of Misoprostol every two hours.

16 **Q. Before we go onto the augmentation, explain for**  
17 **the record what is the difference between an induction of**  
18 **labor as opposed to an augmentation of labor?**

19 A. An induction of labor is start -- if the woman is  
20 not contracting, using medication to start the labor. So  
21 we're going from zero to labor. That's inducing.  
22 Augmentation is there is already labor. There are some  
23 contractions, but the contractions are not efficient or  
24 effective enough. So it's giving her more medication --  
25 it's giving her medication to augment what already is there.

1 **Q. So is it correct then that Misoprostol can be used**  
2 **for the preparation of the cervix; is that correct?**

3 A. Yes.

4 **Q. And is it also correct that Misoprostol can be**  
5 **used as either an induction or augmentation agent for**  
6 **inducing -- or inducing or augmenting labor?**

7 A. Yes.

8 **Q. But those are different purposes; is that correct?**

9 A. Preparation, induction --

10 **Q. Preparation versus --**

11 A. -- augmentation, yes.

12 **Q. And they may have different dosages?**

13 A. Correct.

14 MR. THOMPSON: And ML was -- ML was in an  
15 induction?

16 THE WITNESS: I --

17 MR. GOLDBERG: If you allow me?

18 MR. THOMPSON: Sure.

19 **Q. (By Mr. Goldberg.) When you start -- in your**  
20 **initial plan for ML, was that a plan for an induction of**  
21 **labor?**

22 A. Yes, it was.

23 **Q. When she actually presented -- when she actually**  
24 **presented the night of the second day at around 11:00**  
25 **o'clock and was in labor, did you consider that then an**



1 induction of labor, or did the plan change?

2 A. The plan changed.

3 Q. And it changed to what?

4 A. At that point it was an augmentation.

5 Q. So did you -- did you consider when ML presented  
6 in the evening of the second day, did you consider that you  
7 had successfully induced labor, or did you consider this to  
8 be a spontaneous labor?

9 A. I considered it spontaneous.

10 Q. And even though you had been prescribing  
11 Misoprostol to ML; is that correct?

12 A. Correct.

13 Q. And why do you draw the distinction that this  
14 should be considered a spontaneous labor as opposed to an  
15 induced labor?

16 A. My purpose in giving the Misoprostol on the first  
17 two days was to prepare the cervix and not to start  
18 contractions.

19 Q. And were there specific aspects of your orders or  
20 instructions to ML and the nursing Staff there about the use  
21 of Misoprostol that made it clear that you were using it  
22 exclusively as a preparatory agent and not an inducing or  
23 augmentation agent?

24 A. Yes.

25 Q. And what was that?

1 A. When she was given the Misoprostol to take every  
2 six hours round the clock, the instructions were and always  
3 are that if you start contracting, do not take the next  
4 dose.

5 Q. And that occurred in this case, did it not?

6 A. It did.

7 Q. That is, the ML case?

8 A. Yes.

9 Q. And you had -- you had instructed her to take the  
10 Misoprostol per your instructions at 9:00 p.m. the night of  
11 the second day, correct?

12 A. Correct.

13 Q. And she didn't?

14 A. That's right.

15 Q. Let's go back to Wichita.

16 A. Okay.

17 Q. Were the protocols -- I'm sorry. I want -- I  
18 talked about Misoprostol. When you were at Wichita, as to  
19 the Misoprostol, did you use the Misoprostol with women in  
20 third trimester abortions who also had prior C-sections?

21 A. Yes.

22 Q. Did the dosage change?

23 A. No.

24 Q. Now, I want to turn to Pitocin. Is Pitocin an  
25 agent that you generally employ for preparing the cervix?

1 A. No.

2 Q. Why?

3 A. Pitocin causes contractions, but in the beginning  
4 of the process, you don't need contractions. You need the  
5 cervix to get ready. The cervix needs to be prepared, and  
6 Pitocin is not effective at cervical preparation.

7 Q. So what do you use the Pitocin for? And here I'm  
8 talking generally at Wichita. What do you use the Pitocin  
9 for in your protocols that you employed for third trimester  
10 abortion?

11 A. Pitocin was used for contractions.

12 Q. So would it -- so would it then be that the  
13 Pitocin was first used when you were at the induction of  
14 labor stage rather than the preparation of the cervix  
15 portion?

16 A. Yes.

17 Q. And what was the dosage that you generally  
18 employed of Pitocin at that time?

19 A. To induce labor?

20 Q. Yes.

21 A. Sixty units in 1,000 CCs.

22 Q. And that was not called a low dose?

23 A. No.

24 Q. But in the protocols that you used in Wichita that  
25 you learned from Dr. Tiller, did you from time to time use

1 low doses of Pitocin?

2 A. Yes.

3 Q. And for what purposes?

4 A. We used them for a therapeutic rest where someone  
5 came in the middle of the night, they were not progressing,  
6 and they were then -- and they were given medication to help  
7 them rest, Fentanyl and Versed, and low dose of Pitocin, low  
8 enough that it would -- it would hopefully cause some  
9 changes but not enough that she couldn't get rest. So it  
10 wasn't used to induce the labor at that point. It was  
11 different.

12 Q. Let me see if I -- let me see if I can understand  
13 this as a lay person.

14 A. Okay.

15 Q. Is it correct then that while you wanted the  
16 patient to rest; that is, to sleep --

17 A. Yes.

18 Q. -- you didn't want the contractions to stop  
19 completely. You wanted them, the contractions, to continue  
20 but in a -- in a -- I'm trying to find the right word -- in  
21 a weaker form?

22 A. Yes.

23 Q. Okay. And did the dosages that you've described,  
24 either the low dose or the normal dose --

25 A. Yes.



1 Q. -- were those dosages applied to women in third  
2 trimester abortion services who had had prior C-sections?

3 A. Yes.

4 Q. The protocols, did Dr. Tiller essentially develop  
5 those protocols?

6 A. Yes.

7 Q. Did those protocols become the protocols that were  
8 generally used around the country by the clinicians that  
9 performed third trimester abortion services?

10 A. Dr. Carhart, who is one of the third trimester  
11 providers, worked with Dr. Tiller. So, yes. Dr. Hern  
12 started providing third party trimester abortions at about  
13 the same time as Dr. Tiller. There was a cross-pollination  
14 between the two, I'm sure, and Dr. Seletz as well was  
15 influenced by Dr. Tiller.

16 Q. Are there individualized variations among the  
17 different clinics that provide third trimester abortion  
18 services with respect to the protocols?

19 A. Of course.

20 Q. Do those individualized variations change the  
21 basic protocols?

22 A. I don't think so.

23 Q. Are the protocols that you learned at Dr. Tiller's  
24 and employed there consistent with the protocols that you  
25 employ here in Albuquerque at Southwest Women's Options?

1 A. Yes.

2 Q. Why did you stop working for Dr. Tiller?

3 A. He was assassinated.

4 Q. Did the clinic continue after Dr. Tiller's  
5 assassination?

6 A. No.

7 Q. While you worked -- while you worked for Dr.  
8 Tiller were abortion foes a concern?

9 A. Yes.

10 Q. This is difficult, but can you explain to the  
11 Hearing Officer what kind of concerns are caused by medical  
12 doctors providing these services by abortion foes?

13 A. Before I started working for him, there had been  
14 an assassination attempt, and the clinic had been bombed.  
15 While I was working for him, there was constant harassment.  
16 There were always people outside yelling at patients and at  
17 the staff as we would go in and out of the clinic. There  
18 was a guy who would come every Wednesday with a bullhorn and  
19 get on a fence and yell to patient's partners, "Daddy,  
20 daddy," and that was nonstop every Wednesday. You knew the  
21 day of the week by this guy. There was a campaign that  
22 would point to send inflammatory postcards to the neighbors  
23 of all of the staff, and that included me in California.  
24 There was a truck that had defamatory photos, a big truck,  
25 on it, and that went through neighbors -- neighborhoods as

1 well and, in fact, one time there was an ACOG conference in  
2 San Francisco and the truck went through my neighborhood in  
3 Oakland dropping off leaflets about me and my work.

4 There was vandalism. Somehow someone got into the  
5 clinic and got a hose in there and flooded the clinic.  
6 There was a boycott of businesses that the clinic  
7 frequented. For example, the garbage service stopped  
8 providing service to the clinic, because they were  
9 threatened with loss of business and that campaign of outing  
10 them, that they supplied us with their garbage services.

11 The cab companies in town refused to take care of our  
12 patients, and quite a few were coming from out of town.  
13 They would refuse to pick them up from the airport. So we  
14 had one cab company that would agree, and we would tell  
15 patients, "Make sure that you use that cab company."

16 Fed Ex refused to come by the clinic and pick up our  
17 packages. Even Dr. Tiller's wife's dry cleaner was harassed  
18 and targeted, and finally he was killed.

19 Q. Did that harassment stop for you personally when  
20 you moved to Albuquerque?

21 A. No.

22 Q. In that -- in that pile of exhibits will you look  
23 at -- take out Exhibits 3 and 4, and can you identify those  
24 documents?

25 A. This is a picture of me smiling, but this is from

1 Operation Rescue website.

2 Q. And the second one?

3 A. The same.

4 Q. They're different --

5 A. I'm sorry. This one is -- number 3 is Operation  
6 Rescue, and number 4 is --

7 Q. If you look at them, they're two different --  
8 they're actually two different --

9 A. Yes, I see that they're two different things, but  
10 are they both from the same website? Yes.

11 MR. GOLDBERG: And, again, I'm going -- I'm going  
12 to move for these admissions, but I had a conversation with  
13 Mr. Rubin, Mr. Hearing Officer, before the -- we're not  
14 introducing these in any way to suggest that the Board is an  
15 instrument of the abortion providers. That's not our intent  
16 at all. All we are seeking to do is to create a record  
17 here, because we think it will be helpful to the Hearing  
18 Officer and the Board in making the decisions in this case  
19 to understand the full context in which these professionals  
20 are exercising their professional services. So we're going  
21 to move the admission of Exhibits 3 and 4.

22 MR. RUBIN: Mr. Hearing Officer, I would oppose  
23 the admission of these exhibits. I did allow some latitude  
24 during the direct examination of Dr. Sella. There was some  
25 background, but I fail to see the relevance of introducing



1 these, quite frankly, inflammatory perhaps even and I would  
 2 say offensive documents into Medical Board's record. I fail  
 3 to see how the standard of care changes or is influenced  
 4 whatsoever by these type of incidents. What we're dealing  
 5 with in this case are medical issues. Medical issues drive  
 6 the standard of care. I'm sure Dr. Sella would answer it  
 7 that way if I asked her, and I may have to. The medical  
 8 issues dictate what the standard of care should be.  
 9 Political issues don't enter into that.

10 MR. GOLDBERG: And, again --

11 MR. RUBIN: I'm not -- I'm not saying that -- I  
 12 mean, it is obvious -- I think it is apparent to anyone that  
 13 knows anything about this issue that it is highly charged.  
 14 I'm not doubting for a second that what Dr. Sella is saying  
 15 is not true, what she deals with either, but I don't believe  
 16 it has a place as evidence in this case. Thank you.

17 MR. GOLDBERG: Do you want a response from me?

18 MR. THOMPSON: Yes, please.

19 MR. GOLDBERG: Sure. I'll make it very specific,  
 20 Mr. Hearing Officer. As we indicated in our opening  
 21 statements and I believe as testimony and evidence says, Dr.  
 22 Bullock's standard of care as Dr. Darney testified would  
 23 mean that all third trimester abortions for -- all third  
 24 trimester abortions for women with prior C-sections would  
 25 have to occur in a hospital. You also have evidence in the

1 Dr. Bullock. Dr. Bullock was very clear. He is not talking  
 2 about all third trimester abortions, and I think there's  
 3 been a real effort in this case to make it seem as if we  
 4 are making a case about third trimester abortions. This is  
 5 a 35-week term, which she characterized as the term  
 6 "pregnancy". His testimony was very specific that the risks  
 7 change from 25 weeks to 35 weeks, so even so if the Medical  
 8 Board does accept his testimony, which I think it should, it  
 9 would not have the global implication anywhere on what  
 10 Mr. Goldberg is saying. Thank you.

11 MR. GOLDBERG: Well, we'll argue about his  
 12 testimony, but he clearly said that the ACOG standard said  
 13 no third trimester -- no abortion services should be -- I'll  
 14 take that back, no TOLAC or VBAC should occur with a woman  
 15 with a third -- prior C-section outside of a hospital  
 16 setting. That's what his testimony was, but we'll argue  
 17 that. We'll argue that later.

18 MR. THOMPSON: Yeah.

19 MR. GOLDBERG: And but I'm explaining to you --  
 20 again, this is not to infuse this with the political issue  
 21 of abortions. I don't need to do that. All you have to do  
 22 is walk out in the parking lot here to know that, and all  
 23 you have to do is know how this matter came before the  
 24 Board. What I'm trying to do is create a record that I  
 25 think will be helpful to you and the Board in understanding

1 record about a hospital being a large and complicated social  
 2 institution with a huge nursing and other attending staff  
 3 that is very heterogeneous. In a clinic like Southwest  
 4 Women's Options there's no doubt that the people who work  
 5 there are dedicated people. You see it in the witnesses  
 6 that have come before you. If -- the Board and the Hearing  
 7 Officer need to understand, need to understand what kind of  
 8 pressures and what kind of context the application of a  
 9 standard of care would be, and the application of a standard  
 10 of care that requires that all abortions occur in a hospital  
 11 setting where there will clearly be nurses and other staff  
 12 who will not respond to this type of intimidation and  
 13 pressure the way that the staff at a clinic, standalone  
 14 clinic respond to it is something that the Board and the  
 15 Hearing Officer needs to take into consideration.

16 It is this context, and it is not correct to say that  
 17 standards of care are driven exclusively by scientific  
 18 medical risks. Everybody knows that standards of care apply  
 19 the medical knowledge in the context in which it is being  
 20 applied, and this is an important part of the context.  
 21 That's our purpose here.

22 MR. RUBIN: Mr. Thompson, one point.

23 MR. THOMPSON: Sure.

24 MR. RUBIN: Thank you for your indulgence. I  
 25 believe Mr. Goldberg is mischaracterizing the testimony of

1 what happens with these procedures, where they -- not only  
 2 who's doing them and where they're doing them, but the  
 3 context in which they're doing them, and the Board and the  
 4 Hearing Officer needs to know that to determine what is the  
 5 proper standard.

6 MR. THOMPSON: Okay. Exhibit 3 and 4, let me tell  
 7 you as a matter of practice I'm a very reluctant gatekeeper  
 8 of documentary evidence. Generally I'm very liberal in the  
 9 admission of documentary evidence for a couple reasons. One  
 10 is this is in essence a bench trial. Eventually it is the  
 11 Board and perhaps a district court, court of appeals that's  
 12 going to make the ultimate decisions. Having had experience  
 13 as a Hearing Officer, and this is with the State, I'm  
 14 inclined to have a record as complete as possible. People  
 15 can argue either through direct or cross as to the weight  
 16 these exhibits should be given and their relevance.

17 I will say I -- I did review these previously. I had  
 18 some concerns about the relevance as to what my job  
 19 specifically is with regard to the NCA. That seems to have  
 20 been removed; meaning, as Hearing Officer I take the NCAs as  
 21 they come. I don't take judgment. It's not a grand jury.  
 22 So the Board, through a complaint committee takes whatever  
 23 information from whatever complainant. It's undisputed in  
 24 this case that these complaints came through a third party.  
 25 So originally I did have some real concerns about the



1 relevance as to that issue, and that seems to be mitigated  
2 by Mr. Goldberg in his statement today.

3 As an abundance of caution, I am going to admit 3 and 4  
4 to provide the record for all parties as these proceedings  
5 go forward, and their content and their purpose is the  
6 weight applied either by the Hearing Officer, Board or  
7 appellate court. Okay. So 3 and 4 are admitted.

8 MR. GOLDBERG: And we will -- we will move on.

9 THE WITNESS: May I add just one thing about the  
10 list of harassments was the multiple complaints to the  
11 Medical Board of Kansas.

12 Q. That happened in Kansas?

13 A. Yes, from Operation Rescue.

14 Q. How did you feel after Dr. Tiller was  
15 assassinated?

16 A. Bereft.

17 Q. Why did you continue to provide abortion services?

18 A. Well, I never -- I never thought of stopping, very  
19 committed to this work. Everyday that I go to work renews  
20 my commitment.

21 Q. I want to turn now to your coming to Albuquerque,  
22 to Southwest Women's Options. How did it come about that  
23 you came to Southwest Women's Options?

24 A. Dr. Curtis Boyd, who has a clinic here in  
25 Albuquerque, felt that it was important to continue offering

1 third trimester abortions. So he invited my colleague in  
2 Wichita, Dr. Susan Robinson and I, to start a third  
3 trimester practice. Up to then, he had been providing first  
4 and second trimester abortions.

5 Q. Is Southwest Women's Options, the clinic here in  
6 Albuquerque, is it a non-hospital-based facility?

7 A. Yes.

8 Q. Can you describe generally who works at Southwest  
9 Women's Options now?

10 A. So there's Dr. Robinson and I. We are -- we have  
11 just started training a third physician, Dr. Carmen Landau.  
12 There are RNs on staff, counselors, medical assistants and  
13 administrators and a clinic manager.

14 Q. Approximately how many staff are there counting  
15 everybody at Southwest Women's Options?

16 A. About 15.

17 Q. Does Dr. Boyd regularly provide abortion services  
18 now at Southwest?

19 A. Not at this point.

20 Q. So was it -- at least when you and Dr. Robinson  
21 came, who were the doctors who were providing abortion  
22 services?

23 A. Before we came or --

24 Q. No, at the time -- at the time -- at the time you  
25 and Dr. Robinson came?

1 A. It's the two of us.

2 Q. And presently today as an example --

3 A. Yes.

4 Q. -- is it you -- are you and Dr. Robinson -- well,  
5 you are here, but are you and Dr. Robinson providing  
6 abortion services?

7 A. Yes.

8 Q. Is there a third doctor who's coming on board?

9 A. Yes, Dr. Landau.

10 Q. In preparation for your testimony in this hearing  
11 did we prepare a video to provide a visual representation of  
12 the Southwest Clinic?

13 A. Yes.

14 Q. And do you know who made that video?

15 A. That was a videographer.

16 Q. And were you there when the video was being made?

17 A. Yes.

18 Q. And there's a voice on the video; is that correct?

19 A. Yes.

20 Q. Is that your voice?

21 A. No.

22 Q. Who's voice is it?

23 A. That is Joan Garbagni, the clinic manager.

24 Q. And have you reviewed the video?

25 A. Yes.

1 Q. And you were there when it was taken?

2 A. Yes.

3 Q. Is the video an accurate depiction of the  
4 facility?

5 A. Yes.

6 Q. And was it an accurate -- is it an accurate  
7 depiction of the facility as it existed when MI. was there?

8 A. Yes.

9 Q. Is it an accurate depiction of the facility now?

10 A. Yes.

11 MR. GOLDBERG: We're going to move the admission,  
12 and we're going to play the video, Mr. Hearing Officer, and  
13 we have copies, and we're going to move the admission of the  
14 video.

15 MR. RUBIN: No objection.

16 MR. GOLDBERG: Great. So let's watch the video.  
17 It's a short video. It's four minutes or so.

18 MR. THOMPSON: What exhibit is the video?

19 MR. GOLDBERG: The video is Number 2.

20 MR. THOMPSON: Okay.

21 (Note: Playing Exhibit 2.)

22 MR. GOLDBERG: That's the video.

23 MR. THOMPSON: Exhibit 2 is admitted.

24 Q. I'm pretty sure I asked this, but I'm going to do  
25 it again to make the record clear, the protocols



1 specifically with reference to third trimester abortion  
2 services, protocols that you learned and employed in  
3 Wichita, are they similar to the protocols that you employed  
4 here at Southwest?

5 A. Yes.

6 Q. And are they consistent with the protocols that  
7 you employed -- excuse me, with respect to the services  
8 provided to patient ML?

9 A. Yes.

10 Q. Do you have some water?

11 A. I can use some more.

12 Q. You were here yesterday when Dr. Darney testified?

13 A. Yes.

14 Q. Do you recall him testifying about where third  
15 trimester abortions are presently provided in the United  
16 States?

17 A. Yes.

18 Q. Do you recall him testifying that about 90 percent  
19 of third trimester abortions were provided in four clinics  
20 around the country?

21 A. Yes.

22 Q. About ten percent in hospitals?

23 A. Yes.

24 Q. Is that consistent with your experience?

25 A. Yes.

1 Q. Can you identify the sites and the doctors  
2 associated with the four clinics?

3 A. Warren Hern in Boulder Colorado, Josepha Seletz in  
4 Los Angeles, Lee Carhart in Maryland.

5 Q. And the fourth site is?

6 A. Southwest Women's Options.

7 Q. Here in Albuquerque?

8 A. Yes.

9 Q. Let me. On that list can you look at Exhibits 5,  
10 6 and 7? Can you identify those documents?

11 A. Yes.

12 Q. And, again, in preparation for this hearing did  
13 you talk to Dr. Hern, Dr. Seletz and Dr. Carhart about  
14 providing testimony by affidavit about the protocols that  
15 they employed in their clinics?

16 A. Yes.

17 Q. And are these exhibits a result of those  
18 conversations?

19 A. Yes.

20 MR. GOLDBERG: We move the admission, Mr. Hearing  
21 Officer, of Exhibits 5, 6 and 7.

22 MR. RUBIN: Mr. Hearing Officer, mindful of the  
23 fact that you are liberal and you will -- and I think it is  
24 a good thing that you tend to error on the side of inclusion  
25 with exhibits, but I -- when I make objections to exhibits,

1 I like to think I'm the same way. To start with, I know  
2 that we have a Uniform Licensing Act, and it requires that  
3 all parties are entitled to have advanced notice of exhibits  
4 and witnesses. What we have here -- I don't know if  
5 Mr. Goldberg wants to characterize it as exhibits or as  
6 witnesses, because what they're doing is they are  
7 testifying, and I can't cross-examine them at all.

8 The witness list, which was provided many, many weeks  
9 after I sent my request, demand for discovery which you have  
10 in the record there -- in fact, I received the witness list  
11 I believe about a week and a half ago.

12 MS. NOWARA: That's not right.

13 MR. RUBIN: Let me check. Let's just make a  
14 record, because I don't want to say -- I have a witness list  
15 from -- I received from Molly Schmidt Nowara, November 12th  
16 is the date of her witness list; lists Dr. Shelly Sella,  
17 Dr. Philip Darney, Dr. Susan Robinson, Susan Douda, Deborah  
18 Tope, Dr. Stephanie Headstrom. Doesn't list these three  
19 folks.

20 The exhibit list which I received after that doesn't --  
21 you know, listed these, but I don't -- I didn't have the  
22 actual affidavits when they listed it. Let me -- I sent on  
23 September 14th my demand for discovery, and so I'm a bit put  
24 off by -- and it should be on the record that prehearing  
25 procedures, I was in contact with the Ms. Schmidt Nowara,

1 and we had a certain amount of informal understandings  
2 involving what we could and couldn't do, but I think this is  
3 beyond the pale, so to speak, to try to present these  
4 witnesses, doctors, and I realize that you could argue that  
5 it's purely factual. However, I think the import is that  
6 what these doctors are saying reflects the standard of care,  
7 and that's their opinion.

8 And, of course, Mr. Thompson, this is hearsay. These  
9 are out-of-court statements taken to prove the truth of the  
10 matter asserted. They have not satisfied the requirements  
11 to show that these declarants are unavailable. That's one  
12 exception to hearsay. So I know we're not in district court  
13 either, but if the ULA says no and the rules of evidence say  
14 no -- and I think Mr. Goldberg yesterday was very clear that  
15 he doesn't like having a moving target. I know he's talked  
16 to me, he doesn't like -- he feels that there shouldn't be  
17 any ambush, and this is way too close. If I had gotten  
18 these a month or two ago, I could have accounted for them,  
19 but we're not in a criminal proceeding, and I am entitled to  
20 a certain amount of due process as well, and so I object.  
21 Thank you.

22 MR. GOLDBERG: I'm going to start the response,  
23 but I'm going to ask the Hearing Officer to indulge me if  
24 you will also to allow Ms. Schmidt Nowara to explain the  
25 communications that she had since she's here, and she's the



1 one that did the communications.

2 MR. THOMPSON: Okay.

3 MR. GOLDBERG: But I'm going -- I'm going to start  
4 out by saying we have no quarrel with the conduct of  
5 Mr. Rubin prehearing. He has been fulsome. He has provided  
6 us information as I would have expected any professional  
7 lawyer to do, and we have no quarrel whatsoever with respect  
8 to that.

9 As you have -- Mr. Hearing Officer, I'm sure you can  
10 tell, I was not immediately involved in the day-to-day  
11 communications between Ms. Schmidt Nowara and Mr. Rubin,  
12 although I will take full and ultimate responsibility for  
13 them, but I do want Ms. Schmidt Nowara to explain since  
14 she's much more knowledgeable about the communications, and  
15 then I will explain to you why I believe these documents are  
16 relevant and should be admitted under the Act.

17 MS. NOWARA: Thank you, Mr. Hearing Officer. So  
18 we -- and I agree with Mr. Goldberg. Obviously we've had --  
19 and with what Mr. Rubin said, that we have been in close  
20 contact about this case. We had a conversation. We  
21 received the -- the initial discovery production in -- at  
22 the -- in the sort of middle, like the third week of  
23 September. This is a case that's been pending in front of  
24 the Medical Board for a year. And so this was the first  
25 time that we had seen the Medical Board's discovery. We had

1 It was -- it involved coordinating speaking with them,  
2 making sure that things were accurate and ultimately getting  
3 them to review them and to sign them and to get them back to  
4 us, and I do apologize for -- to the extent that they are  
5 late in that sense, but it was always our understanding, I  
6 believe, at least my understanding, that there was  
7 flexibility in this case with the delivery of evidence,  
8 because again, we were -- we're talking about a two-month  
9 window that we've had essentially since we've had the  
10 Board's discovery versus the Board's entire year to  
11 investigate this case. So we did produce these exhibits  
12 this week.

13 I had talked to Mr. Rubin about -- we spoke on -- about  
14 the fact that we were going to be producing affidavits, and  
15 so that's an explanation of our understanding and our  
16 obligations, and ultimately if -- and if the Hearing Officer  
17 determines that it's untimely, I don't believe that it's  
18 untimely under our understanding. I think these are  
19 important exhibits that should be admitted, but this was a  
20 reflection of really the timing of the case.

21 MR. GOLDBERG: As to the merits, the statute  
22 clearly and correctly recognizes the -- given the nature and  
23 purpose of these hearings that reliable hearsay information  
24 can and should be admitted. That's number one.

25 Number two, these doctors are unavailable. They're not

1 seen -- this is the first time we'd seen Dr. Bullock's  
2 report, and Mr. Rubin and I had a conversation about the  
3 fact that it would be impossible for us to comply with the  
4 -- that ten-day request for information, because this was --  
5 essentially, we were starting from, if not scratch, nearly  
6 from scratch to answer these specific allegations that were  
7 made in Dr. Bullock's report.

8 MR. THOMPSON: When did you take Dr. Bullock's  
9 deposition?

10 MS. NOWARA: In -- I think it was October 18th.

11 MR. RUBIN: October 18th.

12 MR. THOMPSON: 2012?

13 MS. NOWARA: 2012, yes.

14 MR. THOMPSON: And when did you get his expert --  
15 or that draft report, Exhibit 12, that I've admitted?

16 MS. NOWARA: I believe it was September 20th.

17 MR. THOMPSON: Of?

18 MS. NOWARA: 2012.

19 MR. GOLDBERG: 2012.

20 MS. NOWARA: Yes, these are -- I will say that  
21 these affidavits were -- ultimately were produced this week,  
22 and that is later than I would have wanted, but what I can  
23 represent to the Hearing Officer is that I've done  
24 everything in my power to herd these physicians to get us  
25 these affidavits, signed affidavits as quickly as possible.

1 subject to the subpoena power here. They are all over the  
2 country, and everybody around this table, every lawyer  
3 around this table and every doctor around this table knows  
4 about the difficulties of getting doctors, busy doctors to  
5 come to a hearing like this. I can -- I can tell you as an  
6 officer of the law, the difficulties in this case of just  
7 getting doctors here in the City of Albuquerque to deal with  
8 us with respect to this case.

9 The information that is provided is clearly pertinent.  
10 The Hearing Officer has to determine what the standard of  
11 care is, but the Hearing Officer clearly is going to be  
12 assisted in that by knowing first -- from firsthand  
13 declarations what the protocols are of the three only other  
14 providers of third trimester abortion services in the entire  
15 country and how those protocols that they employ are  
16 consistent with the protocols that are employed at Southwest  
17 and were employed on ML.

18 So to me -- to me this is precisely the type of hearsay  
19 -- and I agree. This is hearsay information. We're  
20 introducing this, we're asserting this for the truth of  
21 those assertions, but it's reliable. These people have no  
22 reason not to provide you with reliable information.

23 MR. THOMPSON: I think it's reliable. I think the  
24 problem I see is that -- I mean, affidavit was done the 28th  
25 of November after deposition of the expert, after the



1 report. I mean, I guess I'd like you to address or my view,  
 2 if not Mr. Rubin's, the prejudice that there's no real  
 3 ability to rebut -- I think it's reliable, I suppose, but as  
 4 to the ultimate issue of fact, there's -- there's no ability  
 5 to either cross this individual on this. There's no -- no  
 6 ability for the State's expert to rebut this. The other  
 7 thing is I think the doc -- the Respondent has or could  
 8 testify that, "The protocols I employed, if this is, in  
 9 fact, true are consistent with those I have of other  
 10 colleagues that I've discussed," based on her personal  
 11 knowledge.

12 MR. GOLDBERG: She has. As to Dr. -- I have  
 13 already elicited that from her. She has, as I elicited from  
 14 Dr. Darney and without objection, without objection.

15 MR. THOMPSON: Well, and I think that's proper  
 16 evidence. My question is, is it at that point just  
 17 duplicative? And now, I'm allowing hearsay unrebutted --

18 MR. RUBIN: Duplicative.

19 MR. THOMPSON: Possibly duplicative. So that's my  
 20 concern.

21 MR. GOLDBERG: Well, you'll excuse me for being a  
 22 lawyer, but it cannot be, Mr. Hearing Officer, that any  
 23 lawyer, with all due respect including a Hearing Officer,  
 24 wouldn't want in addition to Dr. Sella and even as  
 25 distinguished a person as Dr. Darney's testimony, yes, I

1 know that these procedures at Southwest are consistent with  
 2 the procedures at Warren Hern and Josepha Seletz and  
 3 Dr. Carhart apply in Maryland, Colorado and California,  
 4 that's great evidence, but it cannot be that I wouldn't want  
 5 and you wouldn't want to have those words also out of the  
 6 mouths of the individuals.

7 We can't have -- this is not a stretch. For all  
 8 practical purposes we couldn't possibly get them to come  
 9 down here. They -- they wouldn't do it, and we couldn't get  
 10 them to come down here. That's number one.

11 Number two, if there's any real prejudice here, I will  
 12 tell you -- this is on the record, but I will tell you, I  
 13 don't think there's any prejudice whatsoever with respect to  
 14 Dr. Bullock. Mr. Rubin has had this since yesterday. Dr.  
 15 Bullock has been here. He actually still is here. If he  
 16 wants to comment on that, he has the opportunity to comment  
 17 on that. Mr. Rubin has a rebuttal case. He has the  
 18 opportunity to comment on that. If Mr. Rubin believes that  
 19 there is some real prejudice from the timing of the  
 20 presentation of these affidavits, I certainly am open to --  
 21 to allowing some relief from that prejudice, to dealing with  
 22 that prejudice.

23 So as an example, if Mr. Rubin were to say, "I want to  
 24 have the opportunity to call up Dr. Carhart and see if he'll  
 25 give me a counter affidavit, and I want to be able to submit

1 that in the record," I would say, "Sure. Go ahead and do  
 2 that." Or if you want to get some counter affidavit from  
 3 somebody else, I would say, "Sure. Go ahead and do that."  
 4 But -- but I actually don't think there's any real prejudice  
 5 there.

6 I understand how the timing of all this might lead to a  
 7 perception of prejudice, but I will tell you with all due  
 8 respect to Dr. Bullock -- and I don't think there's any  
 9 doubt in the record or by anybody around this table about my  
 10 view about Dr. Bullock, but with all due respect to Dr.  
 11 Bullock, he -- there's no prejudice with respect to him. He  
 12 can respond to that if he has a response to it. If there's  
 13 any real prejudice to Mr. Rubin, we're prepared to  
 14 accommodate that.

15 MR. RUBIN: I think one point that needs to be  
 16 made -- well, first of all, Mr. Goldberg obviously,  
 17 strenuously wants this in the record. The fact that lawyers  
 18 want it isn't really a valid argument. Also, as he may be  
 19 well aware, there is the opportunity to have people appear  
 20 by telephone, and they seemed to have missed that.

21 MR. GOLDBERG: No.

22 MR. RUBIN: And third -- well, being outside the  
 23 subpoena power of the Board is not -- does not meet the  
 24 definition of -1104, declarant unavailable. I don't know  
 25 what else to tell you, except if you're going to let this

1 in, I don't know why I'm going to bother in the future. I  
 2 mean, it's -- there's like eight reasons that I've given you  
 3 why this is not appropriate, and Dr. Bullock is arriving  
 4 late this morning. He's compromised by -- I'm going to have  
 5 to show him these things, and he's going to have to come up  
 6 with something very quickly, and yeah, I didn't even have  
 7 the opportunity to telephone these people.

8 And we do need some closure on this hearing, and the  
 9 offer that I can somehow -- we continue this and I can call  
 10 these folks at some point, it took me long enough just to  
 11 arrange phone conversations, interviews with Dr. Sella and  
 12 Dr. Darney, which were not very effective in some respects.  
 13 Maybe that's partly my fault, but I -- my objection still  
 14 stands.

15 MR. THOMPSON: Okay.

16 MR. GOLDBERG: Can I add -- can I add one other  
 17 thing?

18 MR. THOMPSON: Yes.

19 MR. GOLDBERG: We did not ignore that we could  
 20 provide witness testimony by telephone. I'm telling you,  
 21 and it cannot be a surprise to you, I'm telling you that  
 22 these -- these doctors were not available. They weren't  
 23 going to come down here. They're not going to testify by  
 24 telephone.

25 MR. RUBIN: So I could tell the -- so I'll have a



1 chance to then --

2 MR. GOLDBERG: Pardon?

3 MR. RUBIN: Forget it. Enough said. Enough said.

4 I think we've talked enough.

5 MR. GOLDBERG: But again, I'm prepared -- I'm  
6 prepared to accommodate any real prejudice here if there's  
7 any real prejudice. I don't think there is, but I'm  
8 prepared to accommodate that.

9 MR. THOMPSON: Okay. Let's -- I'll look at the  
10 issue at the break. Other than admitting these, do you have  
11 another category of direct?

12 MR. GOLDBERG: Oh, sure. I'll proceed -- I'll  
13 proceed with direct. You don't -- you don't want me to cite  
14 to you the cases that apply the statute here as to why -- or  
15 do you want me to?

16 MR. THOMPSON: For the record, you may want to do  
17 that.

18 MR. GOLDBERG: Sure. Let me just do that. I'll  
19 cite to Young vs. Board of Pharmacy.

20 MR. THOMPSON: What's the citation?

21 MR. GOLDBERG: Oh, I'm sorry, 81 New Mexico, 5,  
22 Chief Judge Moise, 1969.

23 MR. THOMPSON: Take a break in about 15 minutes?

24 MR. GOLDBERG: Sure.

25 Q. (By Mr. Goldberg.) I think before I asked you to

1 identify Exhibits 5, 6 and 7, we had talked about whether  
2 the protocols that you employ here in Albuquerque and with  
3 ML were consistent with the protocols at Wichita. I believe  
4 I asked you whether they were consistent with the protocols  
5 of these other clinics, but I'll ask it again. In the  
6 protocols that you employed in Wichita and that you employ  
7 here in Albuquerque in the third trimester abortion  
8 procedures including the one that you applied with ML, are  
9 they consistent with the protocols as you understand them  
10 that are employed by Dr. Seletz, Dr. Hern, Dr. Carhart, the  
11 other three abortion -- late third trimester abortion  
12 providers in this country?

13 A. Yes.

14 Q. In your testimony yesterday, you described in some  
15 great detail a procedure that you employed and that you  
16 employed with ML; is that correct?

17 A. Yes.

18 Q. And I don't want to repeat that, but I do have  
19 some follow-up areas, a couple of follow-up areas that I  
20 want to cover with you about that. First, I'd like to  
21 address further the intake procedure for third trimester  
22 abortion procedures. Are there criteria that the Southwest  
23 employs for accepting women for third trimester abortions?

24 A. Yes.

25 Q. And what are those criteria?

1 A. They are maternal indications, fetal indications  
2 and whether we think that we can complete the procedure.

3 Q. And what do you mean when you say, "Whether we  
4 think we can complete the procedure?"

5 A. It means, do I think that this -- the third  
6 trimester abortion will safely be performed in the clinic  
7 without needing to transfer the patient. If I think there's  
8 a high likelihood that the patient would need to be  
9 transferred, then I would not accept her to start with.

10 Q. Can you estimate for the Hearing Officer  
11 approximately how many applicants who meet one or both of  
12 the other two criteria, that is a fetal indicated or a  
13 maternal indicated abortion, are turned down because of this  
14 third criteria, and that is, that you don't feel like you  
15 can safely complete the process?

16 A. I would say about 15 percent.

17 Q. And can you give one or two examples, specific  
18 examples without revealing the details, but specific  
19 examples of the type of people that are turned down and why?

20 A. For example, there was a patient who was a  
21 maternal indications patient who was morbidly obese, had  
22 uncontrolled diabetes and uncontrolled hypertension, and I  
23 thought that there were too many medical risks to do this  
24 procedure in an outpatient clinic. There was another  
25 patient who had had a traumatic brain injury, and in talking

1 with her, the phone intake, it seemed that she just could  
2 not -- we would not be able to take care of her safely in  
3 the procedure. She was angry, argumentative and unstable,  
4 and that did not seem safe for her or for the staff, and we  
5 could not serve her in the outpatient setting either.

6 Q. I wanted to follow up now on a second area, and  
7 that is, you described yesterday in your testimony and I  
8 believe Dr. Darney also described the process, the procedure  
9 at Southwest if something goes wrong. I'll call it the  
10 "transfer procedure". What happens when something goes  
11 wrong and the patient needs to be transferred to another  
12 setting, a hospital? Does Southwest have an established  
13 procedure for that?

14 A. Yes.

15 Q. What is it, and what does it involve?

16 A. If a patient needs to be transferred, we contact  
17 our backup physicians. Those are Dr. Tony Ogburn, Dr. Eve  
18 Espey and Dr. Rameet Singh at UNM.

19 Q. And are there established relationships with these  
20 doctors? They know that they are what you call backup  
21 physicians, that you're going to be calling them for these  
22 purposes?

23 A. Yes, it's a well-established relationship.

24 Q. And how long has that relationship been  
25 established?



- 1 A. Before I was there. I don't know how many years,  
2 well -- well-established.
- 3 Q. Let me follow up a little bit. Dr. Espey, Dr. Eve  
4 Espey is she on the faculty at the Medical School at UNM?
- 5 A. Yes.
- 6 Q. What does she do -- what are her clinical  
7 responsibilities at UNM Hospital?
- 8 A. Well, in addition to teaching and being an OB/GYN,  
9 she also, with Dr. -- with the other two physicians run the  
10 abortion clinic, the outpatient clinic associated with the  
11 hospital as well as the fellowship program as far as I  
12 understand.
- 13 Q. That's a family --
- 14 A. Planning.
- 15 Q. -- planning fellowship program?
- 16 A. Yes.
- 17 Q. Let me ask a couple questions about the UNM  
18 abortion clinic.
- 19 A. Uh-huh.
- 20 Q. Is that a hospital-based clinic?
- 21 A. It's outpatient.
- 22 Q. It's outpatient. But it's not physically in a  
23 hospital?
- 24 A. No.
- 25 Q. And it's not -- and it's not part of the hospital

- 1 facility as such? It's different from the abortions that  
2 are provided by Dr. Darney and his colleagues at San  
3 Francisco Hospital?
- 4 A. Yes.
- 5 Q. All right. Where is the clinic physically  
6 located?
- 7 A. It's off site, and I don't know the exact address.
- 8 Q. And do you know why the university of New Mexico  
9 Health Sciences Center, which runs one of the largest  
10 hospitals in the State of New Mexico, in fact, the only  
11 trauma center and tertiary -- you know, biggest tertiary  
12 care center, why they don't have a hospital-based abortion  
13 service?
- 14 A. I don't know.
- 15 Q. You had described that you then call the three  
16 consulting doctors?
- 17 A. Yes.
- 18 Q. Do you call the consulting doctors before or after  
19 you call somebody to transport the patient?
- 20 A. Well, we call 911, and we call them.
- 21 Q. That's first?
- 22 A. Yes, of course.
- 23 Q. Let me ask a question. Why -- why do you call 911  
24 as opposed to calling the private ambulance service in  
25 Albuquerque, the Presbyterian Ambulance Service?

- 1 A. It's fastest.
- 2 Q. Are there downsides to calling 911?
- 3 A. Yes.
- 4 Q. What's the chief downside?
- 5 A. The downside is that -- that this is public  
6 record, and so Operation Rescue can get a hold of the  
7 records of 911 calls as they do around the whole country and  
8 then lodge complaints to Medical Boards here and around the  
9 country based on the 911 calls.
- 10 Q. So now we've covered that you call 911, and  
11 typically how long a period of time is it before you get a  
12 response by the Albuquerque Fire Department EMTs?
- 13 A. Until they arrive at the clinic, moments.
- 14 Q. By "moments", are you talking minutes or hours?
- 15 A. Minutes, minutes.
- 16 Q. And then you call Dr. Espey or one of her  
17 colleagues, one of -- you call the consulting or backup  
18 physicians?
- 19 A. Yes.
- 20 Q. And what is the purpose of that call?
- 21 A. To -- to let them know that we have a  
22 complication. We're sending someone, so that they can take  
23 care of the patient, period.
- 24 Q. So they can be ready for the patient to come?
- 25 A. Yes.

- 1 Q. And then is there any other aspects of the  
2 protocol? After you call 911, you call the consulting  
3 physician, do you -- do you call the referring physician?
- 4 A. I always call the referring physician. I may not  
5 call right in the moment, but always within that time period  
6 I do call the referring physician.
- 7 Q. Why?
- 8 A. I think that's an important relationship to have.  
9 It's -- part of the care of the patient is communication  
10 with the referring physician. Often that's someone who I've  
11 talked to before, and I always talk to routinely afterwards.  
12 It's important that they know what is going on with the  
13 patient. They are concerned, and I'm concerned.
- 14 Q. Again, the care that has been -- that was provided  
15 to ML was gone -- has been gone over in pretty great detail,  
16 so I'm not going to cover everything, but I do want to cover  
17 certain aspects of that care to follow up. So I'm going to  
18 do that. First, I want to focus on why ML presented herself  
19 to the clinic? What were -- what was your understanding of  
20 the problems that caused her to seek an abortion?
- 21 A. Well, her baby had severe brain abnormalities.
- 22 Q. What were those -- well, first, when were -- to  
23 your understanding when were those abnormal -- and by the  
24 way, you can make reference to Exhibit 8, which are the  
25 medical records. When were those abnormalities first



1 detected?

2 A. At 33 weeks.

3 Q. And when was it -- what was the gestational age  
4 when she presented at Southwest?

5 A. Thirty-five.

6 Q. And what were the abnormalities that were  
7 detected?

8 A. There was macrocrania, which means the head was  
9 large. Megalencephaly --

10 Q. And what is megalencephaly?

11 A. It's a large head with usually an abnormal  
12 functioning brain. There was polymicrogyria, and what that  
13 is, is you know, in the brain there's enfolding of the  
14 brain. Brain kind of looks like a walnut, and those are  
15 gyri. Microgyri is that that -- those enfoldings are  
16 smaller than usual. Polymicrogyria means that there's a lot  
17 of these very small enfoldings. The polymicrogyria can be  
18 focal; in other words, it can be just in one area, or it  
19 could be generalized with the prognosis worse if it's  
20 generalized, and that's what this baby had.

21 Q. And how was these -- how were these -- how were  
22 these abnormalities detected?

23 A. The initial abnormality was the large head size on  
24 ultrasound, but subsequent to that, she had an MRI, which  
25 was able to give much more detailed information, and then

1 she had another ultrasound, which was not -- which is -- not  
2 was but ultrasound is not as precise as MRI for detecting  
3 these abnormalities.

4 Q. Was --

5 A. Can I --

6 Q. Sure. Please go ahead.

7 MR. THOMPSON: Wasn't that same ultrasound -- kind  
8 of look -- just point me to the one that's in the record.

9 MR. GOLDBERG: If you look at --

10 MR. THOMPSON: With all the measurements in it.

11 MR. GOLDBERG: I think it's -- sure, it's 11 --

12 MR. RUBIN: Eight and ten.

13 MR. GOLDBERG: Ten and eleven.

14 MR. RUBIN: Am I right? Is it on 10?

15 MS. NOWARA: I think it's on 9.

16 MR. RUBIN: It's 9 and 10.

17 THE WITNESS: Yes, 10. Well, the ultrasound  
18 begins on 9, but the MRI report -- yes, the MRI and echo is  
19 on 10. It's in the common section, "Subsequent fetal MRI  
20 and echo."

21 MR. THOMPSON: Can I ask one other question. Just  
22 occurred to me, so if the head is large and there's these  
23 calculations on 9 --

24 THE WITNESS: Yes.

25 MR. THOMPSON: -- which the weight is an aggregate

1 of these calculations --

2 THE WITNESS: Correct.

3 MR. THOMPSON: -- would that -- does that distort  
4 the weight of the baby?

5 THE WITNESS: Yes. Yes.

6 MR. THOMPSON: Of the fetus.

7 Q. (By Mr. Goldberg.) So without -- without the  
8 enlarged head, you would expect a somewhat smaller weight;  
9 is that correct?

10 A. Yes.

11 Q. So but as you interpret on page 9 of Exhibit 8, as  
12 you interpret the estimated fetal weight, which is at the  
13 bottom of the biometry at five pounds, seven ounces that  
14 includes the enlarged head?

15 A. Yes.

16 Q. And so if there were no enlarged head, you would  
17 expect that estimated weight to be smaller?

18 A. Yes.

19 Q. And, in fact, the estimation shows that it's at a  
20 high -- one of the high percentiles; right?

21 A. Correct.

22 Q. It's at the 80th percentile?

23 A. Correct.

24 Q. But let's turn to -- so there was an ultrasound  
25 done, and that was only going to show basically an enlarged

1 head?

2 A. The initial ultrasound.

3 Q. Right. And so the MRI -- first for the record  
4 describe where the MRI report is reported?

5 A. In the Comments Section of the Obstetrics Report  
6 on Bates -10.

7 Q. Would you read the comment starting at,  
8 "Subsequent fetal MRI?"

9 A. Okay. "Subsequent fetal MRI and echo were done on  
10 April 28th, 2011 at Hudson Valley Radiology and reported by  
11 Dr. Daniel Cohen. Reported findings include macrocephaly  
12 with megalencephaly, generalized polymicrogyria, sagittal  
13 craniostenosis, frontal bossing and a normal corpus  
14 collosum."

15 Q. Taking those as a whole, what -- from your  
16 experience, what would be the portent for the fetus if born  
17 alive?

18 A. You never know 100 percent, but based on these  
19 findings, this fetus had a very poor prognosis.

20 Q. Did -- did ML on the advice of her MFM, her  
21 maternal fetal medicine doctor in Long Island, did she do  
22 further consultation after the MRI was performed?

23 A. Yes.

24 Q. Describe to the Hearing Officer what was  
25 recommended and what she did?



- 1 A. She went to consult with a pediatric  
2 neuroradiologist.  
3 Q. Is that Dr. Timor?  
4 A. Dr. Ilan Timor.  
5 Q. Do you have an understanding as to whether  
6 Dr. Timor enjoys a reputation of excellence in this  
7 subspecialty in the area?  
8 A. Absolutely he's an expert in this.  
9 Q. Go ahead.  
10 A. And he confirmed a poor prognosis.  
11 Q. And was it on the basis of this information that  
12 you made -- did you review this information, you and Dr. --  
13 actually Dr. Robinson was the doctor on intake at that time  
14 when she -- when the first call was made, correct?  
15 A. Yes.  
16 Q. But you reviewed it with Dr. Robinson, right?  
17 A. Absolutely.  
18 Q. Did you review this information?  
19 A. Yes.  
20 Q. And did you concur?  
21 A. Yes.  
22 Q. Now, I want to ask -- I want to run through the  
23 three days and ask you specific questions about the three  
24 days, just so we have a clear record with respect to that.  
25 On day one after the intake, after your medical examination,

- 1 brief medical examination you did of her and the ultrasound,  
2 what was the first procedure?  
3 A. The first procedure was to get samples that had  
4 been requested, amniocentesis.  
5 Q. That would be the samples requested by Dr.  
6 Rosenberg?  
7 A. By her referring physician, Dr. Rosenberg,  
8 amniocentesis and fetal blood draw. This was followed by  
9 Degoxin to effect demise, Laminaria and then vaginal  
10 Misoprostol.  
11 Q. How many -- how many insertions of Laminaria  
12 occurred on the first day?  
13 A. On the first day one, one insertion.  
14 Q. How many applications of Misoprostol occurred on  
15 the first day?  
16 A. One.  
17 Q. What was the dosage of Misoprostol on the first  
18 day?  
19 A. A hundred micrograms.  
20 Q. And what was the means of delivery of the  
21 Misoprostol medicine on the first day?  
22 A. That was inserted vaginally.  
23 Q. And who did that?  
24 A. I did.  
25 Q. Was Pitocin provided on the first day?

- 1 A. No.  
2 Q. Was ML provided with Misoprostol to take home with  
3 her and take herself buccally on the first day?  
4 A. No.  
5 Q. Did you see ML any further on that first day?  
6 A. No.  
7 Q. Let's turn to the second day. How many times did  
8 ML present at the clinic on that second day?  
9 A. Twice.  
10 Q. How many times -- how many times did you plan on  
11 ML presenting at the clinic on the second day?  
12 A. On the -- on the morning of the first day, I  
13 planned just to see her that day rather -- not just that day  
14 but just in the morning, but based on my findings, I decided  
15 to see her again in the afternoon.  
16 Q. Did you see ML a third time on that second day?  
17 A. Very late at night when she came in in spontaneous  
18 labor.  
19 Q. So the initial plan was that ML was going to  
20 present only once in the morning on the second day?  
21 A. Yes.  
22 Q. What was it about your examination of ML that  
23 caused you to change the plan and have her come in a second  
24 time in the afternoon on the second day?  
25 A. Well, there were -- there were two things. One

- 1 was that she was fairly anxious, and I wanted to check in  
2 with her just to see how she was doing, and the other was I  
3 wanted to have as much dilatation as possible when I started  
4 to induce on the fourth day, and I thought it would be  
5 helpful to see her again.  
6 Q. So let's take that first morning visit on the  
7 second day.  
8 A. Uh-huh.  
9 Q. Describe to me the procedures that you applied to  
10 ML in the morning?  
11 A. First I checked with an ultrasound, and that  
12 confirmed the demise, I removed the Laminaria, and I checked  
13 her cervix. I put in more laminaria, and I put in another  
14 Misoprostol.  
15 Q. And what was the dosage of Misoprostol?  
16 A. A hundred micrograms.  
17 Q. Did you administered any Pitocin to ML on the  
18 morning of the second day?  
19 A. No.  
20 Q. And did you perform any further procedures on her  
21 in the morning of the second day?  
22 A. No.  
23 Q. When did she return to the clinic the second time  
24 on the second day?  
25 A. She came in at about 5:00.