

UNITED STATES DISTRICT COURT
SOUTHERN DISTRICT OF FLORIDA
MIAMI DIVISION

99 JAN 29 PM 12:26

CLERK'S OFFICE
S.D. OF FLA.-MIAMI

A CHOICE FOR WOMEN, et al.,

Case 98-0774-Civ-DLG

Plaintiffs,

vs.

MIAMI, FLORIDA
AUGUST 14, 1998

ROBERT A. BUTTERWORTH, et al.,

Defendants.

**TRANSCRIPT OF PROCEEDINGS
BEFORE THE HONORABLE DONALD L. GRAHAM,
UNITED STATES DISTRICT JUDGE**

APPEARANCES:

FOR THE PLAINTIFF:

BEBE J. ANDERSON, ESQ.

*The Center for Reproductive Law &
Policy*
120 Wall Street, 18th Floor
New York, NY 10005 - 212/514-5534

MARSHALL J. OSOFSKY, ESQ.

LEWIS VEGOSEN ROSENBAACH & SILBER
500 So. Australian Avenue, 10th Floor
West Palm Beach, FL 33402 -
516/659-3300

EVE GARTNER, ESQ.

*Planned Parenthood Federation of
America*
810 Seventh Avenue
New York, NY 10019 - 212/541-7800

1
2 FOR THE DEFENDANT:

3 **KATHERINE M. BURGNER and**
4 **STEVEN GROSS, ASSISTANT ATTORNEYS**
5 **GENERAL**

6 *Office of the Attorney General*
7 *Republic Tower, 10th Floor*
8 *110 Southeast 6th Street*
9 *Ft. Lauderdale, FL 33301*

10 REPORTED BY:

11 **BARBARA MEDINA, RPR-CP**
12 *Official Federal Court Reporter*
13 *Federal Justice Building, Ste. 1067*
14 *99 Northeast 4th Street*
15 *Miami, FL 33132 - 305/358-4642*
16
17
18
19
20
21
22
23
24
25

INDEX TO WITNESSES

| <u>Witnesses:</u> | <u>Direct</u> | <u>Cross</u> | <u>Redirect</u> | <u>Recross</u> |
|-------------------------------|---------------|--------------|-----------------|----------------|
| Dr. Michael J. Benjamin | 4 | 40 | 65 | |
| Dr. Wayne Di Giacomo | 80 | | | |
| Dr. Wayne P. Di Giacomo | 116 | | 143 | |
| Reporter's Certificate | | | | 149 |

INDEX TO EXHIBITS

| <u>Exhibits</u> | <u>Marked for Identification</u> | | <u>Received in Evidence</u> | |
|---------------------------|----------------------------------|-------------|-----------------------------|-------------|
| <u>Description</u> | <u>Page</u> | <u>Line</u> | <u>Page</u> | <u>Line</u> |
| Defense Exhibit 2 | 81 | 18 | 82 | 5 |
| Plaintiff Exhibit 6 | 4 | 21 | 6 | 22 |
| Plaintiff Exhibit 7 | 142 | 12 | | |

CITATION TABLE

| <u>Citation</u> | <u>Page</u> | <u>Line</u> |
|-----------------|-------------|-------------|
|-----------------|-------------|-------------|

1 **THE COURT:** Be seated please. Good morning
2 ladies and gentlemen. We are now ready to continue.

3 **MS. ANDERSON:** Thank you, Your Honor.

4 Plaintiffs call Dr. Michael Benjamin to the
5 stand.

6 **DR. MICHAEL J. BENJAMIN, PLAINTIFFS' WITNESS, SWORN.**

7 **DIRECT EXAMINATION**

8 **BY MS. ANDERSON:**

9 Q. Dr. Benjamin, could you, please, state your full name
10 for the record?

11 A. Michael Benjamin.

12 Q. What is your profession?

13 A. I am a physician, specializing in obstetrics and
14 gynecology.

15 Q. Are you licensed to practice medicine?

16 A. Yes.

17 Q. In what state?

18 A. State of Florida.

19 Q. Let me hand you what has been premarked as Plaintiff's
20 Exhibit 6.

21 [Plaintiff Exhibit 6 marked for identification].

22 A. I have a problem with my eyes. I may not be able to
23 read much of anything. I will try.

24 Q. Thank you.

25 Can you tell whether this appears to be a correct

1 copy of your current CV?

2 A. Yes. I can see this all right. It is a correct copy.

3 Q. Does it accurately state your medical school training
4 and residency?

5 A. Yes, it does.

6 Q. It states professional societies in which you belong?

7 A. Yes.

8 Q. Are you currently board certified?

9 A. Yes.

10 Q. What specialty?

11 A. Obstetrics and gynecology.

12 Q. Could you briefly describe your current medical
13 practice?

14 A. I provide a full range of obstetric and gynecological
15 services, including obstetrical care, high risk obstetrical
16 care, gynecological surgery, all of the usual gynecological
17 procedures. Office gynecology, including contraceptive,
18 family planning.

19 In addition to that, I provide a full range of
20 abortion services through twenty-four weeks gestation and
21 medical abortion with methotrexate.

22 Q. Do you currently deliver live babies as well?

23 A. Yes.

24 Q. Do you practice in a clinic setting, private practice
25 or both?

1 A. I practice in both.

2 Q. Which clinic do you practice in?

3 A. Presidential Women's Clinic, West Palm Beach.

4 Q. Where is your private practice?

5 A. Tamarac, Florida.

6 Q. How long have you been performing abortion procedures?

7 A. Estimate, thirty years.

8 Q. Can you estimate, approximately, how many abortion
9 procedures you have performed in the first trimester of
10 pregnancy?

11 A. In excess of 10,000.

12 Q. How many in the second trimester?

13 A. In excess of 10,000.

14 Q. How early in the first trimester do you perform
15 abortions?

16 A. As you early as I can confirm pregnancy on ultrasound,
17 which is approximately four and a half menstrual weeks.

18 MS. ANDERSON: I ask the Court -- by the way, was
19 there any objection to the introduction of Exhibit 6?

20 MS. BURGNER: No objection, Your Honor.

21 THE COURT: Received as marked.

22 [Plaintiff Exhibit 6 received in evidence].

23 MS. ANDERSON: We would like to have the Court
24 recognize Dr. Benjamin as an expert in the area of
25 obstetrics and gynecology, including abortion procedures.

1 **MS. BURGNER:** No objection.

2 **THE COURT:** Your request is granted.

3 **MS. ANDERSON:** Thank you, Your Honor.

4 **BY MS. ANDERSON:**

5 Q. Dr. Benjamin, in your experience, are abortions from
6 maternal indications more prevalent in the second or first
7 trimester?

8 A. Far more prevalent in the second trimester.

9 Q. Why is that?

10 A. The diagnose generally can't be made until the second
11 trimester.

12 Q. In your experience, are abortions for fetal indication
13 more prevalent in the first or second trimester?

14 A. Second.

15 Q. Why is that?

16 A. The procedure is not done until the diagnosis is made
17 and the diagnosis cannot be defined until second trimester
18 procedures are done.

19 Specifically, amniocenteses or high resolution
20 ultrasonography. There was a nine week procedure, which is,
21 occasionally would be the diagnosis in the first trimester
22 that is seldom done.

23 Q. Most of the diagnoses are made in the second trimester?

24 A. Yes.

25 Q. Currently, what abortion procedures do you use in your

1 practice?

2 A. Essentially, I do two procedures and variations
3 thereof.

4 Aspiration of suction curettage through
5 approximately fourteen and a half weeks gestation, menstrual
6 dates, and from that time through twenty-four weeks, which
7 is the latest procedures I do, I use D&E or variations
8 thereof.

9 Q. And do you also refer to suction curettage procedures
10 as a D&A at times?

11 A. Yes. They are synonymous.

12 Q. What does D&A stand for?

13 A. Dilatation and aspiration.

14 Q. Yesterday other witnesses described procedures that
15 they used when they use a suction curettage.

16 When you do that procedure, do you use a cannula?

17 A. Yes.

18 Q. Do you use something called a curette?

19 A. Yes.

20 Q. Is that the same as a cannula?

21 A. A cannula is a vacuum curette.

22 Q. When you perform a suction curettage procedure, what is
23 your purpose in performing the procedure?

24 A. Terminating the pregnancy.

25 Q. How do you achieve termination of the pregnancy?

1 A. In the first trimester, using suction curettage, the
2 cervix is opened or dilated. The suction instrument is
3 introduced and at that point everyone's technique varies.

4 My technique is basically using it as a dissection
5 tool to separate the contents of the uterus from the wall of
6 the uterus, at which point the vacuum will draw the contents
7 of the uterus through the instrument and downward through
8 the tubing it's connected to.

9 Q. How do you get the cannula from outside the woman's
10 body to the woman's uterus?

11 A. It's inserted through the vagina, through the cervix,
12 into the uterus.

13 Q. And do you consider a fetus with a heartbeat to be a
14 living fetus?

15 A. Yes.

16 Q. When you have performed the suction curettage
17 procedure, has it ever occurred in your experience part of
18 the living fetus is in the uterus and part in the vagina?

19 A. It undoubtedly is the case in infrequent amount of
20 cases.

21 The fetus is very small. It's traveling at high
22 speed through a suction instrument. You know, it goes from
23 the uterus into the collecting system in the operating room,
24 but you can't tell how and when it has come through, whether
25 it has come through intact, whether it has come through in

1 part, at which point in the procedure life ceases based on
2 my parameter of life.

3 My suspicion, most of the time the fetus dies
4 sometime after its removed from the uterus?

5 Q. Why do you have that suspicion?

6 A. When we do examinations of tissues after they have been
7 removed from the uterus, more often than not, up to, say,
8 eleven or twelve weeks, the fetus comes through intact and
9 if it comes through intact, the chances are that the actual
10 demise occurs as a hypoxic death from the lack of oxygen.

11 Q. At the end of the procedure, are you able to determine
12 whether the fetus came out intact or in pieces?

13 A. Yes.

14 Q. When you perform a suction curettage procedure, do you
15 know if the fetus is living at the beginning of the
16 procedure?

17 A. In my case, I do. I do routine ultrasound before a
18 procedure, and I can visualize a heartbeat.

19 Q. Do you know if the fetus is dead at the end of the
20 procedure?

21 A. It's always dead at the end of the procedure.

22 Q. Do you do the procedure in the exact same way each time
23 you perform it?

24 A. My technique is the same, but the way it proceeds
25 varies with an infinite variety.

1 Q. What are the reasons for some of that variation?

2 A. It's, basically, a blind procedure, so there is no
3 control, no precise control of what is happening at the tip
4 of the instrument.

5 It's difficult, if not impossible, to know what
6 anatomic structure the tip of the curette is in contact with
7 in terms of pregnancy tissues.

8 When you insert the curette, it may be against the
9 placenta sac, fetal structures. It may be against lining
10 membrane of the uterus.

11 The only thing you can ascertain is the
12 instrument is safely within the cavity, but not what it is
13 doing to fetal tissue at any given point in time.

14 Q. Is "partial birth abortion," to your knowledge, a
15 medical term?

16 A. I don't believe it's a medical term.

17 Q. Is "vaginal delivery" a term used by obstetricians and
18 gynecologists?

19 A. Yes.

20 Q. In the practice of obstetrics, is there other types of
21 delivery other than vaginal delivery?

22 A. Vaginal delivery is juxtaposed to abdominal delivery,
23 or cesarean section. It just means a baby coming out
24 through the vagina.

25 Q. In your practice, in relationship to performance of

1 abortions, do you typically use the term "vaginal delivery"
2 or "abdominal delivery" in the abortion practice?

3 A. No.

4 Q. If you were to apply those terms, "vaginal delivery"
5 and "abdominal delivery," to abortion methods you are aware
6 of, which ones would you consider to be vaginal deliveries
7 and which ones abdominal deliveries?

8 A. The only ones I would consider abdominal would be
9 hysterotomy and hysterectomy. The remainder of abortion
10 procedures, one form or another --

11 Q. Would you consider the suction curettage procedure to
12 be a vaginal delivery?

13 A. Yes.

14 Q. Is that true, even though the fetus is enclosed within
15 the cannula during that procedure?

16 A. In the sense it passes through the vagina, yes.

17 Q. Do you have an understanding of what the term
18 "partially vaginally delivered" means?

19 A. I think you could place a variety of meanings upon it.
20 I have my interpretation, yes.

21 Q. Is that a term generally used by obstetricians in
22 reference to live births, "partially vaginally delivered"?

23 A. I have never seen it.

24 Q. What would be your interpretation of "partially
25 vaginally delivered"?

1 A. Some of the fetus coming through the entrance to the
2 vagina, exiting the entrance to the vagina.

3 Q. And do you have an understanding as to how much of the
4 fetus would need to exit the entrance to the vagina in order
5 to be a partial vaginal delivery?

6 A. No, I don't.

7 Q. What would it mean to complete the delivery in the
8 abortion context?

9 A. We don't use the term "delivery" in an abortion
10 context, so I don't know what it would mean.

11 Q. When do you consider an abortion procedure to be
12 completed?

13 A. When the uterus has been fully evacuated, there are no
14 pregnancy contents, which would include the fetus, placenta,
15 membranes within the uterus.

16 Q. You mentioned you also performed dilatation and
17 evacuation procedures.

18 A. Yes.

19 Q. You mentioned you start using that, typically, at 14.5
20 gestational weeks.

21 Is that correct?

22 A. Yes.

23 Q. Why do you use that procedure at that gestational age,
24 rather than using the suction curettage procedure?

25 A. The fetal structure has become too dense and compact to

1 pass through a reasonable size cannula at around that stage,
2 and the procedure becomes difficult, if not impossible, much
3 beyond sixteen weeks.

4 Q. And when you perform the dilatation and evacuation
5 procedure, do you always perform it in the exact same
6 manner?

7 A. No.

8 Like any surgery, I go by what the -- I go with
9 the flow, I think is the best way to phrase it. Everything
10 tends to vary a little bit in surgery.

11 Anyone who does everything the same way every time
12 is falling into an inflexibility that is dangerous. You
13 have to react to the situation at hand. The situation at
14 hand will dictate that things be done slightly differently
15 almost with each and every case.

16 Q. Typically, when you perform a dilatation and evacuation
17 procedure, do you remove the fetus in parts?

18 A. Typically, yes.

19 Q. And are there times when you remove it and it's not in
20 pieces?

21 A. Yes.

22 Q. And at that point, is it intact?

23 A. Yes.

24 Q. How often, roughly, do you perform a dilatation and
25 evacuation procedure and you remove a fetus and it's intact?

1 A. I would say it comes out intact in approximately five
2 percent of the cases we do.

3 Q. Why does it sometimes come out in pieces and sometimes
4 intact?

5 A. The fetus doesn't vary from stage to stage. What
6 varies is the cervix, and when the cervix or neck of the
7 uterus or neck of the woman is excessively dilated or soft
8 there is minimal resistance.

9 That's really the ideal situation. You would like
10 to get the fetus out with as few moves as possible. You
11 grasp as much of the fetus as you can with the instrument
12 that is used to extract it. With a soft cervix, it will
13 tend to come out intact because the resistance of the cervix
14 is what causes the dismemberment.

15 Q. When you perform the D&E procedure, do you insert any
16 instrument into or onto the cervix?

17 A. Yes, the cervix is held in place.

18 Q. With a tenaculum? What is the tenaculum made out of?

19 A. Metal.

20 Q. Does the tenaculum affect the contents of the cervix in
21 the context you are describing?

22 A. No.

23 Prior to all of this, I have inserted devices
24 called dilapan or laminaria into the cervix. These soften,
25 ripen and dilate the cervix, and the extent of that varies

1 from patient.

2 Q. What does dilapan do?

3 A. It is an osmotic dilator. It is something that absorbs
4 moisture and in the process expands in the same way a sponge
5 does.

6 When it expands inside the cervix, it stretches or
7 dilates it over a span of twelve hours or so.

8 Q. When you have performed dilatation and evacuation
9 procedures, has it ever happened the fetus was partially
10 expelled after you removed the dilator, but before you have
11 inserted the forceps into the women's uterus?

12 A. It is a frequent event.

13 Q. What do you do?

14 A. Depending on the extent it has been expelled, I may
15 remove it from the vagina where it may be sitting. It may
16 be protruding from the entrance of the vagina. It may be
17 partially trapped inside the cervix.

18 I may have to do the remainder of the fetus as a
19 D&E would be done. I may compress the head and extract it
20 similar to what is done with the D&X.

21 Q. What is a D&X?

22 A. D&X is the same as intact D&E. It's a procedure which
23 attempts to extract the fetus in a fashion similar to a
24 breech delivery at term.

25 Q. Now -- do you perform D&X procedures?

1 A. Yes, I do.

2 Q. When I asked you about the procedures you performed,
3 you didn't mention that one specifically.

4 Why was that?

5 A. It's a variety of D&E.

6 Q. At what gestational ages have you performed the variety
7 of D&E that you call a D&X?

8 A. At twenty-four weeks, between the 23rd and 24th week,
9 but I have done them, in effect, as early as seventeen
10 weeks, eighteen weeks, when the fetus is accommodating, so
11 to speak.

12 Q. Would you explain what the difference is between the
13 D&X procedure and the other D&Es that you have performed?

14 A. The difference is the result more than the intent.

15 The intent of both procedures is using instruments
16 to extract the fetus.

17 If the cervix is not well-dilated, the fetus
18 tends to come out in fragments because the cervix will not
19 allow larger portions to pass intact.

20 However, if the cervix is ripe enough, the fetus
21 will tend to come out intact. If it will, I prefer it that
22 way, because the less fragmentation, the less trauma to the
23 cervix and uterus.

24 Q. I would like to show you what has been marked as
25 Defendant's Exhibit 1, which is the ACOG statement of policy

1 on intact dilatation and extraction.

2 Have you seen this document before?

3 A. Yes, I have.

4 Q. In the middle of the page -- I don't know how your
5 eyesight is doing.

6 A. I am making it.

7 Q. -- there are four points ACOG lists as being contained
8 within what they define as the intact dilatation and
9 extraction procedure.

10 When you do what you consider to be a D&X
11 procedure, does that always involve deliberate dilatation
12 of the cervix, usually over a sequence of days?

13 A. Yes.

14 Q. Does it also involve instrumental conversion of the
15 fetus to a foot breech

16 A. No. It's not essential.

17 Q. What are the other variations on that in terms of the
18 D&X you perform?

19 A. The conversion to a breech isn't done if it's already a
20 breech, and frequently it is. I am not sure why ACOG lists
21 that.

22 Q. When you perform what you consider to be a D&X, is the
23 fetus always in a breech position or you convert it to a
24 breech position?

25 A. Yes, but conversion isn't always necessary.

1 Q. You say the fetus often is already in a breech
2 position. Approximately how often do you encounter that in
3 that twenty-three to twenty-four gestational age?

4 A. Probably a third of the time. Something less than
5 half.

6 Q. When you perform what you consider to be a D&X, do you
7 always do a breech extraction of the body excepting the
8 head?

9 A. That's my goal, but it may not work out that way.

10 Q. Have you done procedures you would consider to be D&X
11 where that third step doesn't occur?

12 A. Yes.

13 Q. What are the variations?

14 A. Well, the attempt, the intent of the procedure is to do
15 pretty much what is described here, but things don't always
16 work the way you want them to work.

17 It is not a completely controllable situation, and
18 with procedures that begin as a D&X, you may end up with
19 something that looks very much like the earlier procedures
20 with the fetus coming out in fragmentary form.

21 Q. Is there a particular point --

22 A. Or sometimes if things go real well, it's not necessary
23 to evacuate the contents of the skull. It passes through
24 without evacuation.

25 Q. In terms of the fourth step here, the partial

1 evacuation of the intracranial contents of a living fetus to
2 effect vaginal delivery of a dead but otherwise intact
3 fetus.

4 Is that sometimes the case?

5 A. Usually the case.

6 Q. Why do you, when you do a D&X, perform that fourth
7 step, partial evacuation of intracranial contents?

8 A. The head is the largest portion of the fetus. At that
9 stage, it generally does not fit through the cervix. It is,
10 generally, too wide for the amount of dilatation you have
11 accomplished. It becomes much narrower if the cranial
12 contents is evacuated.

13 Q. Is it your purpose when you evacuate the intracranial
14 contents, is it the purpose of that procedure to cause fetal
15 demise?

16 A. To accomplish evacuation of the fetus.

17 Q. When you do the D&X procedure, you say sometimes you
18 actually start out, sometimes you start the procedure
19 intending to do what you consider to be a D&X?

20 A. Yes.

21 Q. Why do you start the procedure with that idea in mind?

22 A. It's the least traumatic way of emptying the uterus at
23 that stage.

24 You would like to have bones intact. They can
25 lacerate, perforate, traumatize the uterus. The brain

1 contents is felt by some to be a source of a very serious
2 complication called pulmonary embolus or amniotic fluid
3 embolus with toxic and lethal results.

4 Any fetal tissue can be drawn up into maternal
5 circulation through the lungs and cause a very serious and
6 lethal complication.

7 Intact prevents trauma locally and dispersion of
8 tissues into the maternal situation.

9 Q. At the twenty-three, twenty-four week gestational age,
10 do you consider it to be safer to do an intact D&E?

11 A. It's always safer, but specifically at twenty-four
12 weeks.

13 Q. Typically, at, say, the sixteen to twenty gestational
14 week range, in most of the D&Es you perform, are you
15 bringing out the fetus intact at that stage?

16 A. Usually not.

17 Q. Why not?

18 A. The amount of dilatation that we can achieve in the
19 space of twenty-four hours is, generally, inadequate to
20 remove the fetus intact, but sometimes done.

21 Q. And for the twenty-three to twenty-four week stage, do
22 you dilate it for twenty-four hours?

23 A. No. I use serial dilatation, generally, over the space
24 of forty-eight hours.

25 Q. Why don't you, at the sixteen to twenty week

1 gestational age do another day of dilatation to have a wider
2 cervix?

3 A. It's really done based on a risk benefit calculation.

4 Most of us who do these procedures have the
5 benefit to doing it in one stage. The mother doesn't have
6 the procedure protracted. It does reduce cost. There is
7 less time and less materials involved.

8 On the negative side, the D&E that is done other
9 than intact has the hazards I mentioned previously of
10 injury to the uterus and the cervix and fetal material
11 entering the maternal circulation.

12 If it were an ideal world where maternal emotions
13 were not a consideration and costs were not a consideration
14 and my time was not a consideration, I would do them all as
15 intact or D&X as a safer procedure.

16 Q. Does the risk posed by the fetus breaking into parts
17 change over the gestational age?

18 A. Yes, I think it increases week-by-week.

19 Q. When you do -- strike that.

20 Do you sometimes, when you perform a D&E
21 procedure, when you reach into the uterus, do you sometimes
22 grasp the umbilical cord?

23 A. Frequently.

24 Q. When you grasp the umbilical cord, what do you do next?

25 A. I apply traction to it and disrupt it.

1 Q. Why do you do that?

2 A. If I don't, I will grab the umbilical cord again and
3 again. It doesn't serve any useful purpose. You want to
4 grasp fetal tissue not the umbilical cord.

5 Q. Does compressing the umbilical cord cause fetal demise?

6 A. Ultimately.

7 Q. How long does that take to cause fetal demise?

8 A. Probably eight minutes.

9 Q. When you perform D&E procedures, do you wait eight
10 minutes? The times when you have grasped the umbilical
11 cord, do you wait eight minutes before proceeding?

12 A. No.

13 Q. Why not?

14 A. From the time the procedure starts, a number of risks
15 begin, including plug loss, including embolization of
16 amniotic fluid or other tissues, and, more important than
17 that, the uterus begins to contract once membranes are
18 ruptured.

19 When membranes are ruptured the volume of the
20 uterus is decreased, and the uterus' response is to contract
21 or close down.

22 Closing down the uterus precludes inserting
23 additional instruments and manipulating them with safety.
24 It's much easier to work within the uterus before it's
25 contracted.

1 If I waited eight minutes, frequently I would be
2 dealing with a treacherous situation where there was no room
3 to manipulate my instrument.

4 Q. When you start a D&E procedure, do you use suction at
5 the start of the procedure?

6 A. No longer. I did at one time.

7 Q. And when you did use suction at the beginning of a
8 procedure, what was the purpose of that?

9 A. To evacuate amniotic fluid.

10 Q. Why do you no longer do that?

11 A. I think it increases the risk of amniotic embolus. I
12 think draining under normal pressure using a curette,
13 without vacurette, without suction. I use it as a drain.

14 Q. How do you manage to drain the amniotic fluid using the
15 vacurette without suction?

16 A. The pressure inside the uterus tends to be greater than
17 outside. There is a natural tendency to flow.

18 I use it as a conduit to allow natural pressure to
19 evacuate the fluid.

20 Q. What is a vacurette?

21 A. The suction instrument that is used in first trimester
22 abortions.

23 Q. When you perform a D&E procedure, do you sometimes have
24 the first part of the fetus you grasp be a leg?

25 A. Frequently.

1 Q. Typically, what do you then do with the leg?

2 A. I apply traction through the cervical canal.

3 Q. Does the leg sometimes disarticulate from the fetus?

4 A. Frequently.

5 Q. Does it always happen that way?

6 A. No.

7 Q. And when the leg does disarticulate from the fetus, at
8 that point is the top of the leg where it connects to the
9 trunk always located within the woman's uterus?

10 A. No.

11 Q. Where else is it located?

12 A. Well, when I have disarticulated, yes.

13 Q. Do you know if a disarticulated leg causes fetal
14 demise?

15 A. It probably does ultimately, but not instantaneously.

16 Q. When you perform a D&E, do you know at what point fetal
17 demise does occur?

18 A. Generally, not.

19 Q. Sometimes do you?

20 A. Yes.

21 Q. How so?

22 A. Well, a variety of indicators.

23 If I have extracted a chest wall, obviously, there
24 is a demise. If I crushed a head with forceps, obviously,
25 there is a demise. If a head is removed, obviously, there

1 is a demise.

2 There are some things that are clearly lethal.

3 Q. If you drain the intracranial contents of the fetus'
4 head, does that cause demise?

5 A. Yes.

6 Q. When you perform a D&E, are you trying to achieve fetal
7 demise at a particular point in the procedure?

8 A. No.

9 Q. If you are trying to perform an abortion procedure in
10 the second trimester with a woman who has a fetus with a
11 hydroencephalic head, what, typically, happens in that
12 situation?

13 A. Hydroencephalic heads are much larger stage per stage
14 than normal heads and they cannot be removed reasonably
15 without evacuating the contents of the head.

16 Q. That would cause fetal demise?

17 A. Yes.

18 Q. Using ultrasound, can you tell ahead of time whether
19 there is a hydroencephalic head?

20 A. Yes.

21 Q. If you see in an ultrasound there is a hydroencephalic
22 head, could you, alternatively, perform a hysterotomy in
23 order to evacuate the contents of the uterus?

24 A. Yes.

25 Q. Why don't you do that, or do you ever deliberately

1 choose a hysterotomy to evacuate a hydrocephalic fetus
2 rather than a D&E?

3 A. I don't do that any longer.

4 Q. Why not?

5 A. It has greater morbidity and mortality than doing D&Es.
6 That's well-established in the literature.

7 Q. Are you aware of other doctors in the Southern Florida
8 or the Fort Lauderdale/Tamarac area who provide abortions up
9 to twenty-four weeks, as late as twenty-three and
10 twenty-four weeks?

11 A. I don't believe so.

12 Q. Do you see patients for abortion procedures only that
13 are your own patients or the patients at the Presidential
14 Women's Clinic?

15 A. No, I see many referrals, particularly for anomalous
16 fetuses.

17 Q. Why is that?

18 A. It's generally known in the community I am a good
19 source for surgical referral for that procedure.

20 Q. Have you done many of the procedures in which there is
21 an anomalous fetus?

22 A. Yes.

23 Q. Once you start performing an abortion procedure, if you
24 encounter an unexpected situation, are you able to safely
25 stop performing the procedure?

1 A. There is a risk to stopping once you have begun.

2 Q. Typically, once you begin, do you continue or do you
3 stop?

4 A. Typically, I continue until the uterus is evacuated.

5 Q. What are the most common risks from the D&E in which
6 the fetus is removed in parts?

7 You mentioned already the risk of puncturing
8 because of fetal bone matter, and you mentioned the risk of
9 pulmonary embolism.

10 Are those the most common risks?

11 A. Well, no.

12 The most common risk is, number one, hemorrhage.
13 That's true across the board for anything obstetrical.

14 Infection across the board is the second commonest
15 risk. With a D&Es, injury to the uterus and surrounding
16 organs is placed third. That's unique to the D&E procedure.

17 Q. The last one?

18 A. Yes.

19 Q. And how great is the risk of hemorrhage in performing a
20 second trimester D&E procedure?

21 A. Hemorrhage requiring hospitalization, transfusion some
22 sort of therapy is relatively uncommon. Less than one
23 percent.

24 Q. What about the risk of infection from a second
25 trimester D&E?

1 A. Clinically significant infection is less than one
2 percent.

3 Q. What about the uterus, the problems you mentioned
4 specific to the D&E procedure? How often do those occur?

5 A. They really vary dramatically depending upon
6 institution. Experience is a major factor in that
7 complication.

8 In inexperienced hands, it may be in the range of
9 one in 100, 500. With experienced hands, it's a rare event.

10 Q. In your own practice, how common is it?

11 A. I have had about three in twenty-five years.

12 Q. Are you aware of risk associated induction procedures?

13 A. Yes.

14 Q. And in your understanding, how do the risks of
15 induction procedures compare with risks of D&E procedures?

16 By "D&E," I am including the intact D&E procedure.

17 Which has greater -- first, let's take the D&E in
18 which the fetus is removed in parts. How do the risks of
19 that procedure compare to the risks of the induction
20 procedures performed in the second trimester?

21 A. The studies that I am aware of indicate a greater risk
22 with induction procedures than with D&E procedures.

23 Q. What about the risks of intact D&X procedures compared
24 to induction procedures?

25 A. They are extremely low.

1 Q. Are you aware of any of statistical studies dealing
2 with the risks associated with the intact D&E procedure?

3 A. I don't think anyone has done a study. I don't think
4 there are enough numbers to do statistically significant
5 study.

6 The feelings of safety are more informal
7 communications between physicians who have done these
8 procedures and, really --

9 Q. I'm sorry. What was the end of that?

10 A. Those of us who do them have not had significant
11 problems with them.

12 I don't think you will find anything in the
13 literature that confirms that, though.

14 Q. Approximately how many intact D&X procedures do you
15 believe that you have performed or intact D&E procedures?

16 A. I have been doing them for intentional D&X procedures
17 for about three years now. I would say I average one per
18 week, so I guess that comes to one hundred fifty, maybe two
19 hundred.

20 Q. Out of that number, how many situations have had in
21 which the woman has had serious complications from the
22 procedure?

23 A. I haven't had any.

24 Q. Have you ever been the target of anti-abortion
25 activity?

1 **MS. BURGNER:** Again, I object on the basis of
2 relevancy and cumulative.

3 **MS. ANDERSON:** I haven't asked this witness
4 before.

5 **THE COURT:** Well, not this witness.
6 Can we get into this area quickly?

7 **MS. ANDERSON:** Absolutely.

8 **THE COURT:** Overruled.

9 **BY MS. ANDERSON:**

10 Q. Would you answer the question, "Yes" or "No"?

11 A. Yes.

12 Q. And if you could just very briefly explain the nature
13 of the activity that you have experienced?

14 **MS. BURGNER:** Again, Your Honor, same objection
15 as to relevancy and cumulative from the first witness, and,
16 Your Honor, I believe it's relevant.

17 **THE COURT:** Overruled.

18 **BY MS. ANDERSON:**

19 Q. Well, briefly explain.

20 A. I have protesters in front of my office two or three
21 times a week from the local Catholic church.

22 Q. Have you read the Florida Statute that is at issue in
23 this case?

24 A. Yes.

25 Q. I am going to put in front of you a copy of the statute

1 that has been marked as an exhibit.

2 A. This is like the bottom line of the eye chart.

3 Q. This is smaller.

4 A. I can't see it.

5 Q. You have read the Florida Statute that bans partial
6 birth abortions?

7 A. Yes, I have.

8 Q. And do you believe that this partial birth abortion
9 ban, if allowed to go into effect, would prohibit some of
10 the first trimester procedures that you perform?

11 A. Yes.

12 Q. And which procedures do you think it would prohibit?

13 A. I think it impacts on all the procedures in the first
14 trimester.

15 Q. Why is that?

16 A. Because the definitions are unclear to me and what I do
17 is very similar to what is described there in the statute.

18 Q. I will read it to you, since it's difficult for you to
19 read it.

20 Do you, in the first trimester, perform
21 termination of pregnancy procedures in which you partially
22 vaginally deliver a fetus?

23 A. There is a point during the procedure in every
24 procedure where the fetus is partially vaginally delivered.

25 If it comes from the inside and goes to the

1 outside, there is a point in transit where it's partially in
2 and partially out.

3 Q. And do you perform, in the first trimester, termination
4 of pregnancy procedures in which a living fetus is partially
5 vaginally delivered?

6 A. I am sure I do.

7 Q. That's based on what you have already testified to?

8 A. It is inherent in the procedure, yes.

9 Q. Do you perform, in the first trimester, abortion
10 procedures in which you kill a living fetus after it has
11 been partially vaginally delivered?

12 A. I am sure this occurs with fair frequency.

13 Q. Do you perform procedures in which, after the fetus is
14 killed, the abortion procedure is completed?

15 A. Yes.

16 Q. And in the second trimester, do you perform procedures
17 that you believe would be banned by this act?

18 A. Yes.

19 Q. And which ones?

20 A. All the procedures that I do would be banned by this
21 act.

22 Q. Does that include the D&E procedures in which you
23 remove the fetus in parts?

24 A. I believe so.

25 Q. It would include the D&E procedures in which you would

1 perform what you call the intact D&E procedure?

2 A. Yes.

3 Q. Why do you believe those would be banned by the act?

4 A. They are identical to the description of termination
5 that the act describes.

6 Q. In those situations also do you partially vaginally
7 deliver a fetus?

8 A. Yes.

9 Q. Do you in those situations -- in every situation in
10 which you do a D&E, do you partially vaginally deliver the
11 fetus?

12 A. Yes.

13 Q. And in every situation in which you do a D&E, is the
14 fetus still living after part of it has been moved from the
15 uterus into the vagina?

16 A. Yes.

17 Q. And when you perform D&E procedure, is the fetus no
18 longer living at the end of the procedure?

19 A. Almost always.

20 Q. And if the statute goes into effect, do you believe it
21 might be used with reference to other abortion procedures?
22 Besides the D&E procedures and suction curettage procedures,
23 it might be applied to other types of procedures?

24 A. Yes, I am concerned it would be.

25 Q. Which other procedures?

1 A. Virtually any abortion procedure.

2 Q. Why is that?

3 A. Because the essence of all abortions is removing the
4 fetus, and at one point it's partially in and partially out,
5 and, ultimately, the fetus is dead at the end of the
6 procedure, and the point at which death occurs and the
7 mechanism by which it occurs is really difficult to define.

8 I don't know. I certainly could not argue that I
9 didn't do exactly what was done as described in the law.

10 Q. Do you believe that hysterotomies would be banned by
11 the act?

12 A. I am concerned charges could be made based on the act.

13 Q. Is a hysterotomy an abdominal procedure?

14 A. Yes.

15 Q. Why do you believe charges could be made with reference
16 to hysterotomy?

17 A. From a functional standpoint, what you are doing is
18 exactly the same. The only difference being the exit of the
19 fetus. Otherwise, the procedure is done exactly the same
20 way.

21 Up to and including evacuation of skull contents,
22 a hysterotomy.

23 Q. Do you believe that --

24 A. I don't honestly think that charge would be sustained,
25 but I am concerned, very concerned it would be raised in

1 this situation.

2 Q. Why do you think it would not be sustained?

3 A. Because of the technical difference between abdominal
4 and vaginal delivery, but in obstetrics and gynecology
5 "delivery" is "delivery," and the route is not that germane.

6 Q. Do you believe cesarean sections done prior to -- is
7 there a type of abortion procedure which is comparable to a
8 cesarean section?

9 A. Hysterotomy is very similar.

10 Q. Do you also believe hysterectomies would be banned by
11 the act?

12 A. I think that charges could be made that it violated the
13 act, yes.

14 Q. Is that a vaginal delivery?

15 A. No, it isn't.

16 Q. What --

17 A. Although vaginal hysterectomy is a vaginal delivery.

18 Q. Are most hysterectomies abdominal deliveries?

19 A. In a pregnant uterus, yes.

20 Q. In a pregnant uterus when a hysterectomy is performed,
21 do you believe those would be successfully prosecuted if
22 someone brought charges under this act?

23 A. Well, no, but I am concerned about misuse of the act as
24 a putative measure.

25 Q. What do you understand the penalties could be under the

1 act?

2 A. I understand it's felonious. That means imprisonment
3 and other fines associated with it.

4 Q. Is it your understanding it's only a criminal statute?

5 A. That's my understanding.

6 I know there are civil penalties. I am not clear
7 on the difference between civil and criminal in that act.

8 Q. In terms of reading the act, let me -- are there
9 techniques that a physician can use to ensure fetal demise?

10 A. Yes.

11 Q. We have already had testimony about various techniques,
12 one of which is injection of digoxin

13 Do you believe there are risks associated with
14 that?

15 A. There are established risks.

16 Q. Do you believe any maternal benefit derives from the
17 injection of digoxin to ensure fetal demise?

18 A. No.

19 Q. With reference to other injections that could be
20 potentially used to ensure fetal demise, do you believe such
21 injections serve a benefit to the mother's health?

22 A. No, I don't.

23 Q. Well, is it your understanding there are risks with
24 each of those procedures?

25 A. Yes, there are risks.

1 Q. Are you aware of laws in Florida which govern the
2 performance of abortion if the fetus is viable?

3 A. Yes.

4 Q. Do you abide by those laws?

5 A. Yes, I do.

6 Q. Are you aware of laws in Florida which govern the
7 performance of abortion during the third trimester?

8 A. I am not familiar with them, since I don't address that
9 issue.

10 Q. Do you only perform procedures in the first and second
11 trimester?

12 A. Yes.

13 Q. If the statute takes effect, in your opinion, what
14 effect would that have on your practice?

15 A. Oh, I might cease to practice if that law take place.

16 Q. Why would that be?

17 A. One of the only things that keeps me going in practice,
18 I feel I am doing something useful that others cannot do.

19 I am at a point in my career, if I am not being
20 useful, I don't need to continue working.

21 Q. Do you feel if the act goes in effect, you would no
22 longer be able to be useful in your practice?

23 A. I feel it would exclude D&Es, which is where my special
24 expertise exists, and that's my raison d'être and first
25 trimester abortions probably would be done under severe

1 chill, which I don't think I would want to deal with.

2 Q. Do you think there would be any harm to your patients
3 if this statute goes into effect?

4 A. To be immodest, yes, I think there would be severe
5 harm.

6 Q. Why is that?

7 A. My care would not be available to them.

8 Q. What effect --

9 A. It would drive them in a different direction which
10 would be markedly more hazardous for their health and,
11 perhaps, the terminations would not be available at all.

12 Q. In your opinion, if all doctors are required to perform
13 abortions in one particular way, will that have an effect on
14 maternal health?

15 A. Yes.

16 Q. What would that effect be?

17 A. If they are driven in the direction of inductions,
18 inductions are a more hazardous, more expensive procedure,
19 and between the two, either you are making it unaffordable
20 or increasing morbidity to an otherwise safe procedure.

21 Q. Are there some women for whom inductions are not a
22 feasible option?

23 A. Sometimes they just don't work.

24 Q. There are, there some women who have contraindications
25 to the materials that are used in induction?

1 A. The materials that are used are, all have
2 contraindications in certain circumstances.

3 Q. Are you aware of any problem with maternal health of
4 women receiving abortions in Florida which might be improved
5 as a result of this ban, if it goes into effect?

6 A. No, I am not.

7 Q. And in your opinion, if enforcement of the partial
8 birth abortion -- would the enforcement of the partial birth
9 enforcement ban result in any benefit to women in Florida?

10 A. No.

11 MS. ANDERSON: I have no further questions.

12 THE COURT: Cross examination.

13 CROSS EXAMINATION

14 BY MS. BURGNER:

15 Q. Good morning, Dr. Benjamin.

16 A. Good morning.

17 Q. Dr. Benjamin, is the suction cannula a conduit between
18 the uterus and a jar receptacle awaiting outside the body in
19 a suction curettage procedure?

20 A. It is a portion of the conduit.

21 Q. The suction cannula is connected to a set of tubing,
22 connected to a suction generator, connected to the waiting
23 receptacle, is it not?

24 A. No.

25 Q. Are there other pieces and parts of that conduit system

1 besides the suction cannula, the tubing apparatus, the
2 generator and the receptacle?

3 A. The cannula is attached to a tubing, which is attached
4 to a receptacle, which is attached to a source of suction.

5 Q. And earlier you said during the suction curettage, it's
6 a high speed travel through a suction apparatus.

7 Was that suction apparatus the suction conduit you
8 just described, the cannula to the tubing to the receptacle
9 connected to a suction source?

10 A. Yes.

11 Q. You indicated during the suction curettage there is
12 usually a hypoxic demise to the fetus resulting from a loss
13 of oxygen?

14 A. Yes.

15 Q. When the fetus is in the jar, they are not getting any
16 oxygen, correct?

17 A. Yes.

18 Q. Is it fair to say that in most of the suction curettage
19 procedures that you perform from the four and a half week
20 mark to the fourteen and a half week mark, you get the
21 transport of the intrauterine contents through that suction
22 apparatus into the awaiting receptacle?

23 A. Yes, that's always true.

24 Q. It's always true?

25 A. Yes.

1 Q. Thank you.

2 Were you aware there were some physicians that
3 perform the D&E procedure from week thirteen to week 16,
4 using suction at the beginning to draw out some fetal
5 tissues.

6 A. Yes, I did that in my early days of practice.

7 Q. And in those early days of practice when you performed
8 the D&E weeks thirteen to sixteen to draw out some of that
9 fetal tissue using the suction apparatus, did you end up
10 with something in the jar receptacle at the end?

11 A. With something? Yes.

12 Q. And other than the suction curettage procedure, was the
13 only other procedure that you used during the first
14 trimester hysterectomy, if it was necessary?

15 A. I, personally, have not used any other procedure in the
16 first trimester.

17 Q. Okay.

18 There are certain risks associated with the
19 suction curettage procedure, correct?

20 A. Yes.

21 Q. And those include a wide range of things, including
22 hemorrhage, infection, injury to internal organs, laceration
23 of the cervix, perforation of the uterus, pulmonary embolus,
24 shock, death, cardiac arrest, shock, bronchial asthma, et
25 cetera.

1 A. Correct.

2 Q. Have you ever seen a definition as to what a D&E
3 procedure is in the medical literature?

4 A. I am sure I have. I can't recall specifically.

5 Q. Is there a difference of opinion among OB/GYNs as to
6 what constitutes a D&E?

7 A. Yes.

8 Q. Is it your understanding of a D&E that it's a forceps
9 evacuation of the uterus following dilatation of the cervix?

10 A. Yes.

11 Q. And is there any procedure referred to in the medical
12 literature to describe an aspiration procedure being used?

13 A. I imagine there is.

14 Q. And is the phrase, "D&E," a medical term that is
15 described in the literature?

16 A. I believe so. I can't say with certainty. I am sure
17 it's there somewhere.

18 Q. Are you using the expression, "D&E," as a synonym for
19 suction curettage?

20 A. No.

21 Q. How are you differentiating the procedure known as D&E
22 from suction curettage?

23 A. D&E generally refers to a procedure that is --

24 Q. I'm sorry. Wait a minute. I think I asked -- my
25 question was how do you distinguish D&A from suction

1 curettage.

2 A. I don't. They are identical.

3 Q. I'm sorry. I misspoke.

4 Would it be fair to say that in the use of a D&E
5 procedure that the fetus comes apart pretty easily up to
6 about eighteen weeks.

7 A. Yes.

8 Q. Do you believe that there is no agreed-upon definition
9 of "viability" amongst OB/GYNs?

10 A. Yes.

11 Q. What is your understanding as to what the South Florida
12 community of OB/GYNs believes the period of viability to be?

13 A. I think, generally, the consensus falls around
14 twenty-four weeks, based on the last menstrual period.

15 Q. Do you have an understanding as to the range of time
16 that OB/GYNs believe that viability occurs?

17 A. Yes.

18 Q. Would that range run from about twenty-one weeks to
19 twenty-eight weeks?

20 A. Yes.

21 Q. Earlier you said that in the D&E procedure the
22 resistance of the cervix causes dismemberment.

23 Is the resistance of the cervix accomplished by
24 attaching the tenaculum to the lip of the cervix?

25 A. No, it's not.

1 Q. How is that resistance of the cervix obtained?

2 A. The cervix is open to varying degrees and the amount of
3 resistance is determined by how open the cervix is, as
4 opposed to how large the fetus or fetal parts are.

5 If the opening is greater than the size of the
6 fetus, there is minimal or no resistance. If it's smaller,
7 the smaller it is, the greater the resistance.

8 Q. Performing the D&E procedure, would you agree it's
9 better for the woman to try to avoid over-dilation of the
10 cervix?

11 A. In some respects.

12 Over-dilation? Yes.

13 Q. Okay.

14 A. Yes.

15 Q. All right.

16 So, in other words, just to clarify for the
17 record, when you are doing a D&E procedure, you don't want
18 to over-dilate the cervix.

19 A. Correct.

20 Q. Do you know what a traditional D&E is?

21 A. I am not clear on what that means.

22 Q. Is "Intact D&E" a medical term in the medical
23 literature?

24 A. Yes.

25 Q. Is it true that in '95 percent of the D&E procedures

1 performed in the second trimester that you are removing
2 fetal parts rather than an intact fetus?

3 A. In my experience, yes.

4 Q. When I took your deposition on August 5th, 1998, you
5 indicated that the week earlier you had performed a D&X
6 procedure for a fetus at twenty-four weeks gestation.

7 Is that correct?

8 A. Yes.

9 Q. And in 1998 your estimate of performing D&X procedures
10 was between twenty and thirty. Correct?

11 A. Yes.

12 Q. And today, here in court, you are estimating that you
13 have done D&X procedures over a course of three years at
14 about fifty a year coming up with a range of one hundred
15 fifty to two hundred D&X procedures.

16 Is that right?

17 A. Yes.

18 Q. Now, is it fair to say that on direct examination you
19 are stating for the record that you have intentionally
20 performed intact D&X procedures in the State of Florida over
21 the last three years in the amount of one hundred fifty to
22 two hundred procedures?

23 A. Yes.

24 Q. Would you agree, doctor, if you are comparing the
25 suction curettage procedure with the D&E procedure in which

1 you are removing fetal parts with the D&X procedure that you
2 described on direct examination, that of those three, the
3 safest procedure is suction curettage?

4 A. Yes.

5 Q. Is it true that about sixty percent of the abortions
6 that you perform are performed in the first trimester, based
7 on your medical practice?

8 A. My overall practice, including clinic, that's about
9 right.

10 Q. The remaining forty percent of the abortions that you
11 perform are performed in the second trimester, correct?

12 A. Yes.

13 Q. Doctor, you don't perform any induction procedures, do
14 you?

15 A. Not for termination of pregnancy.

16 Q. And, doctor, for termination of pregnancy procedures
17 from thirty-six weeks to forty-two weeks, you utilize the
18 injection method, correct?

19 A. I'm sorry. Say that again.

20 Q. For termination of pregnancy procedures that you
21 perform between thirty-six weeks and forty-two weeks for
22 fetal anomalies or --

23 A. I don't perform procedures. I don't do terminations of
24 pregnancy at that stage.

25 Q. You don't perform any termination of pregnancies beyond

1 twenty-four weeks?

2 A. Not for purposes of terminating fetal life, no.

3 Q. Do you perform terminations of pregnancies beyond
4 twenty-four weeks for any purpose?

5 A. To the extent that a delivery is a termination of
6 pregnancy, yes.

7 Q. You have never done any termination of pregnancy to
8 result in a dead fetus, intentionally result in a dead
9 fetus, after twenty-four weeks?

10 A. No.

11 Q. Are you familiar with the procedures utilized by
12 physicians in the State of Florida to terminate pregnancies
13 between the thirty-six week and forty-two week mark for
14 purposes of fetal anomalies or the health or life of the
15 mother?

16 A. Am I specifically, in general?

17 Q. Do you have any personal knowledge about that?

18 A. No, I don't.

19 Q. Doctor, besides your experience with D&X procedures
20 that you have described in this courtroom today, are you
21 aware of any other physicians in the State of Florida who
22 perform D&X procedures?

23 A. Not personally, no.

24 Q. Doctor, do you believe that the one hundred fifty to
25 two hundred intention D&X procedures that you have performed

1 in the State of Florida over the last three years would be a
2 procedure that is banned by the Florida Statute banning
3 partial birth abortions?

4 A. Yes.

5 Q. Did you begin doing intentional D&X procedures in the
6 State of Florida back in 1995?

7 A. Approximately.

8 Q. And is it your testimony that in 1995 you intentionally
9 performed fifty D&X procedures that you believe would be
10 banned by the Florida Statute banning partial birth
11 abortions?

12 A. No. I think we are confusing a couple of numbers.

13 I estimated that I have done one hundred fifty or
14 two hundred D&X procedures, but some of those were not
15 intentional.

16 Q. Without putting a number on it then, doctor, is it your
17 testimony in 1995 you intentionally performed D&X procedures
18 which would be banned by the enforcement of Florida Statute?

19 A. Yes.

20 Q. Well, is it your testimony that in 1996 you
21 intentionally performed D&X procedures in the State of
22 Florida that would be banned by Florida's act?

23 A. Yes.

24 Q. Is it your testimony that in 1997 you intentionally
25 performed D&X procedures in the State of Florida that would

1 be banned by this act?

2 A. Yes.

3 Q. And in 1998 is it your testimony that you have
4 intentionally performed D&X procedures which would be banned
5 by this act?

6 A. Yes.

7 Q. When you gave your deposition, you believed the
8 hysterotomy procedure would be banned by the act, correct?

9 A. Yes.

10 Q. And that was even though you do not consider a
11 hysterotomy to be a vaginal delivery?

12 A. Yes.

13 Q. Doctor, can you point me to any language in the statute
14 which says you would be criminally prosecuted for doing an
15 abdominal delivery?

16 A. No, I can't, but I am concerned about how it might be
17 interpreted by malicious individuals.

18 I don't think it would float, but I am concerned I
19 could be assaulted on that basis.

20 Q. Doctor, do you believe delivering a baby at term with
21 the intent of having a live, born fetus is a procedure that
22 would be banned by the act?

23 A. No.

24 Q. Doctor, do you remember giving your deposition in this
25 case on August 5th?

1 A. Yes, I do.

2 Q. Let me refer --

3 MS. BURGNER: This is, for counsel, at page 80,
4 line 10.

5 BY MS. BURGNER:

6 Q. Do you recall being asked the following series of
7 questions and you giving the following answers:

8 "Question: Are there any other procedures besides
9 the hysterotomy, the D&E of fetal parts, the intact D&E
10 or D&X and the D&A suction curettage procedure that you
11 think are banned by this act?

12 "Answer: Yes.

13 "Question: What other procedures?

14 "Answer: Term vaginal delivery.

15 "Question: What do you mean by term vaginal
16 delivery?

17 "Answer: Delivering a baby at term with the
18 intent of having a live born fetus."

19 Do you recall giving that series of answers?

20 MS. ANDERSON: Your Honor, I would ask counsel
21 continue reading.

22 THE COURT: I'm sorry. I can't hear you.

23 MS. ANDERSON: I would ask counsel continue
24 reading so it's not taken out of context.

25 BY MS. BURGNER:

1 Q. "Question: And you think that is banned by the act?

2 "Answer: It can create a conflict with the act,
3 yes.

4 "Question: How so?

5 "Answer: On occasion fetuses get stuck
6 inadvertently. With breech delivery, in particular,
7 there is something called an after-coming head, which
8 is, basically, a head that is delivered last, and, in
9 particular, premature labors which are inadvertently
10 presented as breech deliveries, the head may become
11 stuck and if the physician may inadvertently or
12 unintentionally kill the fetus in the process of trying
13 to deliver a live born. It has happened".

14 "Question: In a term vaginal delivery procedure,
15 the intent of the physician is to try to bring about a
16 live birth. Is that right?

17 "Answer: Yes."

18 Is it your testimony today that a live, that a
19 term vaginal delivery of a live fetus is banned by this
20 act?

21 A. If it comes out live, no.

22 Q. Pardon me?

23 A. If it comes out live, no. I can't guaranty that.
24 That's where I have the problem.

25 Q. With regard to the termination of pregnancy procedures

1 you perform at the Presidential Women's Center, is it true
2 about seventy-five percent of those terminations occur in
3 the first trimester at the Presidential Women's Center?

4 A. Roughly.

5 Q. The remaining twenty-five percent at the Presidential
6 Women's Second would be second trimester abortions?

7 A. Yes.

8 Q. At the Presidential Women's Center, for those
9 seventy-five percent of termination of pregnancy procedures,
10 the procedure utilized is suction curettage, correct?

11 A. Yes.

12 Q. With the second trimester terminations of pregnancy
13 procedures which account for twenty-five percent of the
14 clinic's practice, those are performed using D&E, correct?

15 A. After fourteen and a half weeks, yes.

16 Q. Is it fair to say with respect to the second trimester
17 abortions at the Presidential Women's Center using the D&E
18 process, approximately ninety-seven percent of those
19 procedures involve the removal of fetal parts versus intact
20 fetuses?

21 A. Yes.

22 Q. Likewise, the majority of D&Es performed between twelve
23 and fourteen and a half weeks would also involve the removal
24 of fetal parts versus an intact fetus, correct?

25 Q. If the D&X procedure proceeds as planned, the fetus is

1 removed intact followed by the suction of the skull
2 contents, and there would be no suction to remove fetal
3 parts, correct?

4 A. Yes.

5 Q. Doctor, do you believe that the full term vaginal
6 delivery of a stillborn fetus could be prosecuted as a
7 partial birth abortion?

8 A. If the fetus were alive at the onset of delivery, yes.

9 Q. When a physician sets about to deliver a baby and
10 intends to bring about a live delivery versus extracting a
11 dead fetus, do you think that that procedure would fall
12 within the definition of an abortion or termination of
13 pregnancy in the State of Florida?

14 A. I don't think so, no.

15 Q. Doctor, in the intentional D&X procedures that you have
16 indicated that you have performed in the State of Florida
17 over the last three years, did you at the commencement of
18 those intentional D&X procedures sever the umbilical cord
19 and wait a certain number of minutes for the fetus to suffer
20 its demise in utero before you commenced the procedure?

21 A. Whenever possible, I will sever the cord early in the
22 procedure, yes.

23 Q. Is it your testimony that during the last three years
24 in the State of Florida there have been occasions when you
25 did not sever the umbilical cord of the fetus and wait for

1 it to suffer its demise in utero before doing the D&X
2 procedure?

3 A. Yes.

4 Q. And it is in those scenarios where you believe you
5 performed a procedure intentionally that would be banned by
6 the enforcement of Florida's statute?

7 A. Severing the cord doesn't cause instantaneous death.

8 I sever the cord whenever possible, but I am not
9 monitoring the fetus. Therefore, I really don't know how
10 often it dies before the delivery is accomplished and how
11 often it doesn't.

12 Q. Why would you sever the umbilical cord of the fetus
13 before intentionally beginning the D&X procedure?

14 A. Primarily, because it tends to be the first structure
15 grasped. Because it's so prevalent in the uterine cavity,
16 it gets in the way.

17 Secondary reason, it gives me a certain
18 satisfaction to feel there is additional anesthesia
19 provided to the fetus in the off chance it needs it.

20 Q. Doctor, do you believe the intact D&X procedures that
21 you claim to have done over the last three years in the
22 State of Florida would be considered a partial birth
23 abortion under Florida Statute?

24 A. Yes.

25 Q. Doctor, did you give any statement to the Florida

1 Senate Health Care Services Committee about this act before
2 it was enacted?

3 A. I spoke to the committee.

4 Q. Do you recall what you said to the Senate Health Care
5 Committee about this proposed legislation?

6 A. In general. Not in specifics.

7 Q. Let me see if I can refresh your recollection, and
8 since your eyes are bothering you today, I will just read
9 it.

10 Do you recall stating to the Senate Health Care
11 Services Committee on April 14th, 1997, "What I do on the
12 occasions when I do intact D&E -- and it's not a
13 partial birth abortion -- is to sever the umbilical
14 cord as the first step in delivering the fetus, and I
15 count eight minutes.

16 "After eight minutes, the fetus is dead. Hypoxia,
17 the lack of oxygen for eight minutes in a fetus, is
18 lethal. In four minutes, there is no cerebral
19 function.

20 "Now, I have my philosophies about what a fetus
21 is and what it can and can't feel, and,
22 intellectually, I don't think they feel very much,
23 but I am a human being and I have my emotional side,
24 so just in case I am wrong, I can do this."

25 Do you recall making that statement to the Senate

1 Health Care Committee?

2 A. I don't recall the specifics, but I probably did.

3 Q. Did you at any time before indicate to the Senate
4 Health Care Committee that you were performing procedures in
5 the State of Florida that you believed were partial birth
6 abortions in the sense that you were actually, intentionally
7 performing intact D&X procedures?

8 A. I don't believe so.

9 Q. Dr. Benjamin, do you recall making the following
10 statement to the Senate Health Care Committee: "The same
11 thing --

12 MS. ANDERSON: Your Honor, could I ask what
13 counsel is quoting from?

14 MS. BURGNER: The transcript of the Senate
15 Health Care Committee, April 14th, 1997.

16 Ms. ANDERSON: Is that a sworn --

17 MS. BURGNER: We had it transcribed by a court
18 reporter, yes.

19 MS. ANDERSON: Was the witness sworn at the time
20 he was testifying?

21 BY MS. BURGNER:

22 Q. Do you recall whether you were sworn at the time,
23 Dr. Benjamin?

24 A. I don't believe I was.

25 MS. ANDERSON: I assume the transcript would

1 indicate if he was sworn at the time.

2 MS. BURGNER: I don't have the full transcript.
3 I have the excerpt of his --

4 MS. ANDERSON: You don't know if it was sworn
5 testimony?

6 MS. BURGNER: I --

7 THE COURT: Excuse me. If you ladies want to
8 confer, you may.

9 Is there any objection on the floor?

10 MS. ANDERSON: Yes. I am objecting to her using
11 this hearsay document until I find out --

12 THE COURT: Overruled.

13 If the witness acknowledges that he made a
14 statement, whether it is sworn or not, would be a fact you
15 would wanted to elicit.

16 MS. BURGNER: I am asking to refresh his
17 recollection, because he was not clear on specifics.

18 THE COURT: Refreshing recollection involves
19 showing him the document.

20 MS. BURGNER: He has a problem with his eyes.

21 THE COURT: You may proceed.

22 MS. BURGNER: I would have tried it.

23 During our conversation, he was putting the drops
24 in his eyes.

25 THE, COURT: You may continue as you have.

1 **MS. ANDERSON:** Could I ask counsel provide a copy
2 so we can check her reading of the document?

3 **MS. BURGNER:** Okay. Sure. Page 10, line 7.

4 **BY MS. BURGNER:**

5 Q. Let's start page 10, line 2.

6 Do you recall, Dr. Benjamin, Senator Jones
7 stating to you, "As I understand the bill, the D&E
8 procedure is still permitted. The D&X procedure is
9 the one."

10 Then you responding, Dr. Benjamin is up: "Yes."

11 "Which is what makes hit ludicrous, Senator. The
12 same thing, a D&E procedure, involves dismembering
13 the fetus with forceps. Is this nicer than an intact
14 D&E?

15 "By the way, I sever the cord in those, too."

16 Do you recall having that conversation with the
17 Senator?

18 A. I can't say I can recall specifically, but it certainly
19 sounds like me.

20 Q. Doctor, do you recall making a statement to the
21 Senators on April 14th, 1997 in which you said, "I know that
22 there are certain situations where the intact D&E is
23 delivering the fetus intact, as opposed to
24 dismembering it, which is what the so-called partial
25 birth abortion is. I think this is peculiar to a

1 woman who has the need to bond with their loss, and I
2 know it's a safer procedure than dismembering a
3 fetus, which is infinitely more traumatic to the
4 uterus and the cervix."

5 Do you recall making that statement to the
6 Senators?

7 A. Again, I don't doubt I said it, but I don't
8 specifically recall it.

9 Q. Dr. Benjamin, when you presented your views to the
10 Senate Health Care Committee on April 14th, 1997, was it
11 your understanding it was the intact D&E or intact D&X
12 procedure which was the proposed subject of the partial
13 birth abortion statute?

14 A. At that time I probably did, but that was my first
15 contact with the proposed legislation.

16 I have had quite a time to think about it and view
17 the wording of the statute since then. My opinion of that
18 is changed.

19 Q. At no time before the Senate did you ever indicate a
20 hysterotomy or hysterectomy or full term vaginal delivery or
21 suction curettage or D&E involving dismembered fetal parts
22 would be covered by a ban on partial birth abortions?

23 A. I don't think that subject was raised.

24 Q. Doctor, could you equate a suction curettage procedure
25 to be the same thing as an intact --

1 A. No.

2 Q. Doctor do you agree a physician could commence a
3 termination of pregnancy procedure with no intent of
4 removing an intact fetus and something could happen where it
5 results in an intact fetus being removed by accident?

6 A. It's common.

7 Q. When you performed the intentional D&X procedure a week
8 before you gave your August 5th, 1998 deposition in this
9 case, did you sever the umbilical cord and wait for the
10 fetus to suffer its demise in utero before you did the
11 procedure?

12 A. I can't recall.

13 Q. Doctor, do you have the equipment or instrumentation
14 available in both your office and the Presidential Women's
15 Center to do traction of the umbilical cord to ensure the
16 demise before you do a D&X procedure?

17 A. Yes.

18 Q. Dr. Benjamin, are you okay?

19 A. Yes.

20 Q. Dr. Benjamin, can you tell me what the survival rates
21 are for fetuses that are delivered at twenty to twenty-two
22 weeks gestational age?

23 A. I would guess it is somewhere less than five percent.
24 I really don't intimately study that area.

25 Q. Is it your testimony you don't have any personal

1 knowledge of what the survival rate of fetuses are at
2 various gestational ages?

3 A. I have seen something.

4 Q. Is your answer the survival rate for the fetuses --

5 A. It's based on the most recent statistics I have seen,
6 which are probably several years old.

7 Q. To your knowledge, you think the survival rate for the
8 twenty to twenty-two week LMP fetus would be five percent?

9 A. Less than five percent.

10 Q. What about a twenty-two to twenty-four week LMP fetus?
11 What would be the survival rate?

12 A. Somewhere in the range of five percent.

13 Q. Do you know what the survival rate would be for a fetus
14 twenty-five to thirty weeks LMP?

15 A. Probably getting up into the fifty to seventy percent
16 range. It increases dramatically as you get closer to
17 twenty-eight weeks.

18 Q. What would you think the survival rate, or do you know
19 what the survival rate would be for a fetus twenty-five to
20 twenty-seven weeks LMP?

21 A. Probably fifty to sixty percent.

22 Q. In the vast majority of the suction curettage
23 procedures, would fetal demise occur at the instant that the
24 fetus enters the tip of the cannula within the uterus?

25 A. I don't think there is any basis for knowing with any

1 certainty. It's all speculative, since no one can observe
2 or at least has observed it.

3 Q. Doctor, it's fair to say, isn't it, that the cannula
4 tip is actually activated by the high speed suction
5 apparatus?

6 A. High pressure suction, yes.

7 Q. It's a high pressure suction, like you are going to
8 vacuum, actually, correct? It's a vacuuming suctioning
9 instrument?

10 A. Negative pressure is attached.

11 Q. Is it similar -- you are actually drawing it in like a
12 vacuum would?

13 A. Yes, aha.

14 Q. Doctor, would you agree the narrow diameter of the
15 cannula would destroy the fetus as soon as it enters the tip
16 of the cannula?

17 A. Not at all stages, no.

18 Q. In the vast majority of suction curettage procedures,
19 isn't it fair to say the drawing of the fetus --

20 A. No.

21 Q. Let me finish my question.

22 -- the drawing of the fetus into the narrow tip of
23 the cannula is going to result in its demise?

24 A. No.

25 Q. Doctor, do you believe that the intense suction of the

1 vacuum apparatus, combined with the narrow cannula, would
2 cause fetal demise within the uterus?

3 A. Sometimes. Not by that mechanism, though.

4 It would be more blunt trauma by the tip of the
5 instrument than the vacuum.

6 Q. You believe a fetus can sustain demise in utero because
7 of the blunt force of the cannula?

8 A. I am sure it occurs that way from time to time.

9 Q. Now, doctor what is the range of minutes that it takes
10 for a fetus to suffer its demise in the uterus after the
11 severance of the umbilical cord?

12 A. Clinical death would be, roughly, eight minutes.

13 Q. Is it fair to say that the D&X procedure lasts
14 approximately thirty minutes?

15 A. That would be on the long side.

16 Q. What is the range of time that it takes you to perform
17 an intentional intact D&X procedure?

18 A. Average would be about ten minutes.

19 Q. Would that be ten minutes after waiting the eight
20 minutes for the fetal demise?

21 A. No. I would estimate ten minutes from the time I begin
22 surgery. The initial step would be inserting the speculum.

23 I would estimate ten minutes from that time.
24 Thirty minutes is not realistic.

25 Q. It's your testimony, from the moment you insert the

1 tenaculum, before you cut the cord, to the time you complete
2 the intact D&X procedure, it takes ten minutes?

3 A. Yes.

4 Q. In the intentional intact D&X procedures that you say
5 you have performed in the State of Florida over the last
6 three years, did the fetus suffer its demise before you
7 aspirated the contents of the skull?

8 A. I can't say with certainty. I expect that that's
9 frequently the case. That's after I partially vaginally
10 delivered it.

11 Q. Do you have any personal knowledge or statistics as to
12 the percentage of times in which the fetus suffered its
13 demise in utero during the D&X procedures you performed?

14 A. No.

15 MS. BURGNER: Nothing further.

16 REDIRECT EXAMINATION

17 BY MS. ANDERSON:

18 Q. Dr. Benjamin, I have a few more questions: Do you
19 remember whether or not you were sworn when you testified or
20 when you gave the statement in front of the Senate Health
21 Care Committee on April 14th?

22 A. I feel certain I wasn't.

23 Q. Do you remember this particular exchange taking
24 place --

25 MS. ANDERSON: I am on page 2.

1 MS. BURGNER: Wait a minute.

2 BY MS. ANDERSON:

3 Q. "THE CHAIRMAN: Thank you, doctor.

4 Next we have Michael Benjamin. It's Dr. Benjamin,
5 correct?

6 "DR. BENJAMIN: Yes, madam chairman, and members
7 of the committee."

8 Does that sound familiar?

9 A. Not really.

10 Q. And at the time you testified and gave your oral
11 statement in front of the Senate Health Care Committee, had
12 you read the version of the bill that was in front of the
13 Legislature?

14 A. I read a version of the bill. I am not sure what the
15 stage of its development was at that time.

16 Q. When did you read a version of the bill?

17 A. The morning of my testimony.

18 Q. Is that while you were waiting to testify?

19 A. It was in my hotel prior to going over to the Senate.

20 Q. Was that the first time you had read the language of
21 the bill?

22 A. Yes.

23 Q. At that point, at the time you gave a statement in
24 front of the committee, had you read the bill on more than
25 one occasion?

1 **MS. BURGNER:** Again, Your Honor, I would object
2 to the leading nature of the question.

3 **MS. ANDERSON:** I will retract the question, Your
4 Honor.

5 **BY MS. ANDERSON:**

6 Q. Dr, benjamin have you read the final enacted version of
7 the partial birth abortion ban since the time you testified?

8 A. Yes.

9 Q. Have you read it more than once since you testified or
10 gave a statement in front of the Health Care Committee?

11 A. Yes.

12 Q. And have you thought about what effect, since the time
13 that the Legislature as a whole enacted the partial birth
14 abortion ban, have you thought about what effect that ban
15 would have on your practice?

16 A. Yes, I have.

17 Q. Dr. Benjamin, I want to refer to another portion of the
18 transcript and ask you do you remember this exchange taking
19 place --

20 **MS. BURGNER:** Page?

21 **MS. ANDERSON:** Page 12, line 5.

22 **BY MS. ANDERSON:**

23 Q. "SENATOR JONES: I just wanted to ask one question
24 because the bill deals with D&X and not D&E. Why the
25 emphasis on D&E?

1 **"DR. BENJAMIN:** I'm sorry. I don't understand.

2 **"SENATOR JONES:** You spoke at length about a
3 procedure that is not being discussed here today.

4 **"DR. BENJAMIN:** I'm sorry that I didn't make
5 myself clear. You were discussing that procedure
6 today.

7 "A D&X or partial birth abortion is a variation
8 of D&E. D&E means dilatation and extraction of the
9 fetus. The variance, which is D&X or intact
10 dilatation and extraction, involves extracting a
11 fetus in one piece.

12 "In some fashion the fetus is going to be lost
13 during the procedure."

14 Do you remember that exchange taking place?

15 A. Sounds familiar.

16 Q. "Dr. Benjamin, when you perform what you consider to be
17 an intact D&E procedure" --

18 **MS. ANDERSON:** I have no further -- I do have a
19 few other questions -- with reference to the suction --

20 **MS. BURGNER:** Counsel, where she left off on the
21 last quote, she took it out of context. Can we have her go
22 back and finish reading that portion she just read or do
23 you want me to address it on recross?

24 **MS. ANDERSON:** I can read it.

25 **BY MS. ANDERSON:**

1 Q. "You stated the variance which is D&X or intact
2 dilatation and extraction involves extracting the
3 fetus in one piece. In some fashion, the fetus is
4 going to be lost during the procedure.

5 "I do it by severing the umbilical cord."

6 MS. BURGNER: The last two lines, three lines on
7 top.

8 BY MS. ANDERSON:

9 Q. "I think those who don't probably in their own
10 conscience feels the fetus feels no pain. I am not
11 sure, so I sever the cord. It is the same procedure,
12 a variation on the theme.

13 "If you were to pass this law tomorrow, I could
14 do everything I do right now, everything I see on
15 the chart, as long as if the fetus were stillborn,
16 as long as the act of draining the brain was done on
17 a dead fetus. I sever the cord. This is something
18 taught to me in London twenty years ago."

19 Dr. Benjamin, in reference to the ways in which
20 you do what you consider to be the intact D&E procedure, do
21 you currently wait until you are sure fetal demise has
22 occurred from cutting the cord?

23 A. I am not monitoring the fetus, so I am making
24 assumptions.

25 I know it takes me 10 minutes until I have

1 extracted the fetus. I am severing the cord thirty seconds
2 into the procedure, and I am assuming at the point, where I
3 evacuate the brain, the fetus is probably dead, or nearly
4 so, but I am not monitoring and I can't monitor and I can't
5 attest to that, nor would I want to be required to alter my
6 procedure to ensure that.

7 Q. Every time --

8 A. I hope it's the case, is what the answer is.

9 Q. Every time you perform an intact D&E, do you manage to
10 sever the cord?

11 A. At some point in the procedure.

12 Q. Do you always manage to sever the cord at the outset of
13 the procedure?

14 A. No.

15 Q. Do you sometimes sever the cord later in the procedure?

16 MS. BURGNER: Your Honor, I object to leading.

17 THE COURT: Sustained.

18 MS. ANDERSON: Thank you, Your Honor.

19 BY MS. ANDERSON:

20 Q. Dr. Benjamin, would you agree -- well, what are the
21 risks associated with doing, what are the most common risks
22 associated with doing a suction curettage procedure?

23 A. Bleeding and infection.

24 Q. How common are the risks of bleeding with a suction
25 curettage procedure?

1 A. General statistics are less than two percent.

2 Q. You mentioned in cross examination other risks. You
3 acknowledged other risks associated with the suction
4 curettage procedure.

5 How common are those risks?

6 A. They are rare.

7 Q. And you mentioned in cross examination that suction
8 curettage is safer than the D&E procedure.

9 Is suction curettage -- is the D&E procedure used
10 to terminate abortions during the first trimester of
11 pregnancy?

12 A. I'm sorry. D&E?

13 Q. D&E.

14 A. Generally, no.

15 Q. Generally, what is the procedure used to terminate?

16 A. What is referred to as aspiration or suction curettage.

17 Q. Generally, is it safer to terminate a pregnancy during
18 the first or second trimester?

19 MS. BURGNER: Your Honor, objection. Cumulative
20 of direct examination.

21 THE COURT: Sustained.

22 MS. ANDERSON: Okay. Just a moment.

23 Thank you, Dr. Benjamin. No further questions.

24 THE COURT: Step down. You are excused.

25 THE WITNESS: Thank you.

1 **[The witness was excused].**

2 **MS. ANDERSON:** Sorry, Your Honor. Plaintiffs
3 have no further witnesses. We would like to submit the
4 declaration of Phillip Stublefield into evidence.

5 **MS. BURGNER:** I move to strike the declaration
6 of Phillip Stublefield. None of the plaintiffs' witnesses
7 testified about Dr. Stubblefield's affidavit in any way
8 shape or norm. Nobody indicated they relied upon it.

9 He is not here, subject to cross examination. He
10 was not produced for deposition, and I move the deposition
11 be stricken in its entirety.

12 **THE COURT:** Response?

13 **MS. ANDERSON:** Your Honor, it's a sworn statement
14 submitted in support of the motion.

15 **THE COURT:** But this is an evidentiary hearing
16 and, obviously, we have rules of evidence which relate to
17 admissible evidence. It would mean that it would not be
18 appropriate to consider it.

19 **MS. ANDERSON:** Okay.

20 Thank you, Your Honor.

21 **THE COURT:** Are you resting your case?

22 **MS. ANDERSON:** Yes. Plaintiff rests, subject to
23 rebuttal testimony, Your Honor.

24 **MS. BURGNER:** Since plaintiffs rest, Your Honor,
25 defense moves for entry of an Order denying the plaintiffs'

1 motion for preliminary injunction based upon the plaintiffs'
2 failure to meet its prima facie case and to meet its burden
3 of proof and persuasion under the law regarding the relief
4 that is being sought in a sense of a motion for preliminary
5 injunction.

6 We rely upon our memo opposing their motion for
7 preliminary injunction to set forth the basis for that, and
8 in furtherance of that, Your Honor, based upon the
9 testimony that has been presented in this Court over the
10 last day and a half regarding the medical evidence and the
11 testimony from the plaintiffs' own experts concerning what
12 procedures are not banned by this statute.

13 We believe that the plaintiffs have failed to
14 meet their burden of proof and that a preliminary
15 injunction should not be entered at this point in time.

16 They failed to show any undue burden on the right
17 of a woman to choose to terminate her pregnancy. There are
18 safe alternative procedures available.

19 The evidence has brought forward the particular
20 procedure intended to be banned under this statute is
21 something that is very rarely, if ever, used and there has
22 only been one witness who talks about doing the procedure.
23 He even admits he severs the umbilical cord to result in a
24 dead fetus, and we have his statement, and he has not
25 refuted that, that he indicated in April of 1997 that he

1 took steps to sever the umbilical cord to cause the demise,
2 and he indicated in April of 1997 that if the Senate were
3 to pass the law tomorrow, which would have been April 15th,
4 1997, Dr. Benjamin indicated he could do everything that he
5 does right now.

6 What he does is he goes ahead and he severs the
7 umbilical cord, and that's how he gets the dead fetus.

8 Under the statute, vaginally partially delivering
9 a living fetus is what would be banned by this particular
10 law. None of the plaintiffs have come forward to indicate
11 that they performed the D&X procedure, other than
12 Dr. Benjamin, and Dr. Benjamin's testimony, based upon the
13 impeachment and cross examination, is insufficient to
14 sustain the plaintiffs' heavy burden of establishing that
15 this law is facially unconstitutional.

16 Your Honor, I would also like to rely upon the
17 case law that we have cited in opposition to their memo and
18 reserve further opportunity, should the event of further
19 closing arguments be necessary.

20 We would ask the Court to deny the plaintiffs'
21 motion for preliminary injunction at this juncture.

22 **THE COURT:** Response?

23 **MS. ANDERSON:** Thank you.

24 **THE COURT:** Briefly.

25 **MS. ANDERSON:** We would like to rely on our

1 motion in support of the, or memorandum in support of the
2 motion for preliminary injunction, and also our response to
3 the defendants' opposition.

4 Moreover, we would like to point out there has
5 not been testimony that establishes only one procedure is
6 banned by this act. All of plaintiffs' witnesses have
7 provided expert testimony. All of them have provided their
8 interpretations of what this act would ban. All of them
9 have provided the basis for those interpretations.

10 The variety of interpretations supports
11 plaintiffs' position, this act is unconstitutionally vague
12 and doesn't put doctors on sufficient notice as to exactly
13 what procedures it does prohibit.

14 Moreover, the language of the act itself shows
15 this act is unconstitutional. The act fails to contain a
16 health exception, as required by the Supreme Court, in the
17 Casey decision.

18 The act is not limited to post-viability
19 abortions. Therefore, to the extent it restricts
20 performance of any abortion procedures, it is not valid.

21 There is no basis for -- this act does not
22 satisfy either an interest in maternal health or an
23 interest in potential life.

24 There has been no evidence to support such
25 interests being promoted. In fact, the evidence has known

1 they are not promoted. Maternal health is not promoted by
2 requiring doctors perform one procedure over another to try
3 to ensure fetal demise.

4 The evidence shows doctors cannot control how
5 they can best and most safely and sufficiently perform the
6 procedure. They need flexibility to deal with a variety of
7 situations that present themselves.

8 At the outset of the procedure they need to be
9 concerned about exactly what will present itself because if
10 they do it in one way, it may subject them to criminal
11 prosecution. Yet, if done in another way, it would not --
12 that would not let them feel comfortable providing abortion
13 procedures to the extent they discontinue providing any
14 procedure in an attempt to avoid prosecution. Obviously,
15 it would be an undue burden on women seeking abortions.

16 We have presented testimony the most common and
17 safest abortion techniques used, namely suction curettage
18 and D&E, could be reasonably interpreted as falling within
19 the language of the act.

20 Moreover, when those procedures are performed, a
21 physician may unexpectedly encounter situations when the
22 physician takes actions intentionally to continue the
23 process and terminate the pregnancy and the physician
24 performing those particular situations, which may not have
25 been foreseen, they may end up performing situations in

1 contravention of the act.

2 I think in light of controlling Supreme Court
3 precedents, as well as the evidence today, the defendants'
4 motion should most certainly be denied. We have met the
5 burden of showing all four factors, Judge.

6 **THE COURT:** The defendants' motion is denied.
7 You may now continue with the defense case.

8 **MS. BURGNER:** Your Honor, are we going to have a
9 morning break at all?

10 **THE COURT:** All right. We will break at this
11 time.

12 How long do you expect your case in chief will
13 take, counsel?

14 **MS. BURGNER:** Well, Your Honor, I am going to
15 try to expedite the direct examination on both of our
16 witnesses. We have two witnesses for the defense and I
17 will try to move as swiftly as I can. I expect, probably,
18 like forty minutes on direct for each.

19 **MS. ANDERSON:** Your Honor, we anticipate the
20 cross examination of Dr. Di Giacomo will be maybe fifteen
21 minutes, approximately. However, we anticipate the cross
22 examination of Dr. Aultman will be at least an hour.

23 **THE COURT:** We may not finish today, counsel.
24 We'll have to see how we do. I doubt that we will finish
25 today, so we'll have to think about making alternative

1 arrangements.

2 **MS. BURGNER:** Your Honor, what I'm going to do
3 is Dr. Aultman is not from South Florida. She is from the
4 Jacksonville area. I will put her on first. In case we
5 need to bring somebody back, Dr. Di Giacomo is from
6 Hollywood.

7 **MS. ANDERSON:** If I could request I be allowed
8 sufficient time to cross examine Dr. Aultman, so, if
9 necessary, if we are not finished with our cross
10 examination, she would have to come back, based on their
11 estimate.

12 **THE COURT:** We'll have enough time to finish at
13 least one of the witnesses.

14 **MS. BURGNER:** Is our break just, like, five
15 minutes?

16 **THE COURT:** Let's break for ten minutes.

17 **[There was a short recess].**

18 **THE COURT:** Be seated.

19 Please call your witness.

20 **MS. BURGNER:** To move things along, what the
21 defense has done, with the stipulation of the plaintiff, we
22 are going to withdraw Dr. Kathi Aultman as an expert and
23 call as our only witness and expert in this case, Dr. Wayne
24 Di Giacomo. That way we will finish today, hopefully.

25 **THE COURT:** I don't want to rush you, counsel.

1 If there are witnesses you want to present, I don't want
2 you to withdraw because of time.

3 **MS. BURGNER:** I understand that.

4 We are trying to move forward and expedite things
5 because it's an important issue, and it's an important
6 statute. You know, we want to be able to conclude and get
7 it to the Court for consideration.

8 **THE COURT:** All right.

9 As long as you understand, and I would make
10 arrangements for you to return, perhaps, next week or very
11 soon. We can still talk about this. I am not going to
12 limit you to one witness, if you decide at some point in
13 time during the lunchbreak that you want to present
14 additional --

15 **MS. BURGNER:** Maybe I should clarify. I thought
16 the way the hearing was noticed today, we are concluding at
17 1:00.

18 Is that not correct?

19 **THE COURT:** I can give you a little more time.
20 We could probably remain in session until I do have a 2:00,
21 but I could fudge a bit and move it to, maybe, 2:15 or
22 2:20. We could take a short lunchbreak, maybe forty-five
23 minutes.

24 **MS. BURGNER:** Let me confer with my co-counsel
25 and the expert witness for a second, Your Honor.

1 **THE COURT:** All right.

2 Counsel, if it helps, we could remain in session
3 until 1:00, break for thirty to forty-five minutes and
4 remain in session until about 2:20.

5 **MS. BURGNER:** Your Honor, we would just like to
6 proceed with Dr. Di Giacomo. We believe he will be able to
7 give the opinion testimony we need to present on record and
8 avoid any cumulative testimony.

9 I would call Dr. Wayne Di Giacomo.

10 **THE COURT:** Please come forward.

11 **DR. WAYNE P. DI GIACOMO, DEFENDANTS' WITNESS, SWORN.**

12 **DIRECT EXAMINATION**

13 **BY MS. BURGNER:**

14 Q. Please state your name for the record, spelling your
15 last name.

16 A. Dr. Wayne Di Giacomo, D, as in Dante, i-G-i-a-c-o-m-o.

17 Q. Dr. Di Giacomo, what is your occupation?

18 A. Obstetrician and gynecologist.

19 Q. How long have you been licensed to practice in the
20 State of Florida?

21 A. Over twenty years.

22 Q. Are you board certified?

23 A. Yes.

24 Q. Where is your practice currently?

25 A. Hollywood, Florida.

1 Q. Can you give the Court a brief summary of your
2 educational and -- I'm sorry. Could you tilt the microphone
3 a little toward you?

4 A. I did my undergraduate studies at the University of
5 Miami. I did my medical school studies at the University of
6 Rome in Italy. Obstetric and gynecological specialization
7 at Jackson Memorial Hospital, University of Miami.

8 Q. Can you give the Court a summary of your medical work
9 experience?

10 A. I cover the entire field of women's reproductive
11 health, obstetrics and gynecology, the other incidental
12 things that include this field.

13 Q. Can you indicate for the Court what number of years you
14 have been in private practice?

15 A. Over twenty.

16 Q. Let me refer you to what has been marked as Defendants'
17 Exhibit 2. I would like to have you identify that document.

18 [Defense Exhibit 2 marked for identification].

19 A. That's my resumé.

20 Q. Is that a current resumé?

21 A. Yes, ma'am.

22 Q. Does that resumé accurately reflect your experience --

23 A. Yes.

24 Q. -- in the field?

25 MS. BURGNER: I would like to move this

1 curriculum vitae marked as Defendants' Exhibit 2 into
2 evidence.

3 **MS. ANDERSON:** No objection, Your Honor.

4 **THE COURT:** Received as marked.

5 [Defense Exhibit 2 received in evidence].

6 **BY MS. BURGNER:**

7 Q. Dr. Di Giacomo, are you a member of my associations?

8 A. Well, I have several leadership positions which include
9 the Board of Directors for Healthy Start.

10 I am on the advisory board for the Miami Dade
11 School of Midwifery. I was on the Advisory Committee for
12 the Chief of Health Services Tallahassee, Florida, the
13 medical director of Better Babies of Broward.

14 Q. Dr. Di Giacomo. Let me ask you to slow down a little
15 bit. I understand your referring to your CV. The court
16 reporter, however, is human and needs to keep up.

17 A. I apologize.

18 Q. Doctor, are you board certified?

19 A. Yes.

20 Q. What are you board certified in?

21 A. Obstetrics and gynecology.

22 Q. Are you a member of the American College of Obstetrics
23 and Gynecology?

24 A. Yes, I am a life fellow.

25 Q. Is that known ACOG?

1 A. Yes.

2 Q. Do you do any consulting work at all for the State of
3 Florida?

4 A. Yes.

5 Q. Would you, please, describe for the Court the type OF
6 consulting work you do for the State of Florida?

7 A. I am on -- I have been a credential consultant for the
8 State of Florida for review for the ACOG, A, B, C for health
9 care reform for the Department of Regulation physicians in
10 discipline.

11 Q. When you say "physicians in discipline," what are you
12 referring to?

13 A. Licensing.

14 Q. Is that under the Florida Board of Medicines?

15 A. I believe so.

16 Q. Do you review any cases for medical malpractice
17 purposes?

18 A. Yes.

19 Q. Can you please describe to the Court the types of cases
20 that you review?

21 A. I review a vast majority of cases from obstetrics to
22 gynecology to termination, just a vast number.

23 Q. How long have you been performing consulting services
24 for the State of Florida?

25 A. I have, probably, about three years. I would have to

1 refresh the original contract date. Maybe four years.

2 Q. Are you under contract with the state to perform expert
3 review of medical files for professionalism standards?

4 A. Yes.

5 Q. Doctor, have you performed termination of pregnancy
6 procedures?

7 A. Yes.

8 Q. What types of termination of pregnancy procedures have
9 you performed?

10 A. I have performed suction curettage, suction evacuation,
11 instillation procedures.

12 Q. During what phase of your practice or training did you
13 do termination of pregnancies?

14 A. I continue to do terminations of pregnancies, but there
15 is one requirement, and that's the fetus has to be dead.

16 Q. When was the last time you did a termination of
17 pregnancy?

18 A. On a live fetus -- termination of pregnancy? Yesterday
19 at 6:00.

20 Q. You were in court yesterday.

21 A. After court.

22 Q. When was the last time you performed the live birth of
23 a child?

24 A. Last night at 11:30.

25 Q. Do you affiliate with any other providers in the State

1 of Florida with regard to termination of pregnancy
2 procedures?

3 A. Would you describe affiliation? I am not sure what you
4 mean by that.

5 Q. Do you have any type of referral practice or coverage
6 arrangements with any other providers that happen to provide
7 termination of pregnancy procedures?

8 A. I provide full services obstetrics and gynecology.

9 When an individual presents with that option, it
10 is her choice. When she does choose, I give her the option,
11 abortion, adoption, maintaining the pregnancy.

12 I counsel her on the risk versus benefits of each
13 of those procedures, which are laid out in policy formats.

14 Q. Do you actually do the terminations of pregnancy
15 procedures?

16 A. For stillborns. I will not for live fetuses.

17 Q. When was the last time the patient presented to you
18 with a scenario that might trigger her option to choose to
19 terminate the pregnancy?

20 A. Last Wednesday.

21 Q. And what were the particular circumstances involved in
22 that scenario?

23 A. This was a young lady who was -- without mentioning her
24 name -- she's a thirty year old white female. First
25 pregnancy.

1 She has a pregnancy from an individual she doesn't
2 know that much about. Additionally, she have been on
3 several psychotropic drugs and medications for psychiatric
4 reasons. Some are contraindicated in pregnancy. Some not.

5 I advised she can continue the pregnancy; I will
6 need to change her drugs to those in a class B status of
7 pregnancy, or if she desires she can be referred to a
8 termination, and there is many termination clinics she can
9 attend.

10 Q. Do you hold any privileges at any South Florida
11 hospitals?

12 A. I hold privileges in six hospitals.

13 Q. What kinds of hospitals?

14 A. Well, Columbia Northwest Regional, Broward General
15 Medical Center, which is a tertiary facility. Hollywood
16 Medical Center, Memorial Health Care Systems, Columbia
17 Aventura, and Mt. Sinai.

18 Q. What counties in the State of Florida do those hospital
19 privileges extend to?

20 A. Broward and Dade.

21 Q. Is your current practice located in Broward County or
22 Dade County?

23 A. Broward County.

24 Q. Are you in sole practice at this time?

25 A. Yes.

1 Q. Prior to going into private practice, can you, please,
2 summarize for the Court your prior medical work experience?

3 A. I was in college, routine college education. I
4 obtained my Bachelor of Science in chemistry, minor in
5 languages.

6 I went on to medical school as a general
7 practitioner. After medical school, I was achieved and
8 applied to and accepted at the Jackson Memorial Hospital
9 residency program, which included a one-year internship in
10 OB/GYN, two other years, second year being associate chief
11 resident, the final year being chief resident in charge of
12 the department.

13 Q. Did you also serve as any type of medical executive
14 director for the department of corrections?

15 A. Yes. Specifically, women's services, the women's
16 health facility, the maximum security prison.

17 Q. Can you describe for the Court the types of medical
18 practice that you were involved in through that facility?

19 A. I was mainly a consultant at that facility. I was the
20 director. Mainly it was -- excuse me -- executive
21 administrative position.

22 I would make the decisions as to where to send the
23 client. We had several in-house physicians and
24 paraprofessionals performing those services. They would
25 consult with me. If I decided these individuals needed to

1 go outside the prison system for consultation, I would
2 approve that.

3 Q. In addition to your own clinical experience, have you
4 taken any steps to keep abreast of the developments in the
5 State of Florida with regard to termination of pregnancy
6 procedures?

7 A. I am constantly on the Internet.

8 Q. Can you describe for the Court the type of materials
9 that have been available and are available to you that you
10 have reviewed in connection with termination of pregnancies?

11 A. A multitude of databases. The North Broward Hospital
12 District, the South Broward Hospital, Tallahassee, District
13 10, ACOG and the AMA and Medline.

14 Q. The American college of OB/GYNs?

15 A. Yes, sir.

16 Q. Does ACOG have its own database within the Internet?

17 A. Yes.

18 **MS. BURGNER:** Your Honor, at this time I would
19 like to offer Dr. Di Giacomo as an expert in the field of
20 obstetrics and gynecology, including termination of
21 pregnancy procedures.

22 **THE COURT:** Any objection?

23 **MS. ANDERSON:** We object -- we have concerns
24 about the level of his expertise as to abortions, but we
25 are willing to ask our questions on cross and let it go to

1 the weight that Your Honor would place on his testimony.

2 **THE COURT:** All right.

3 The witness' testimony will be received as that
4 of an expert.

5 You may continue.

6 **BY MS. BURGNER:**

7 Q. Doctor, let me direct your attention to the Florida
8 Statute that is in front of you. I think it's marked as
9 Exhibit 3.

10 A. Yes, Exhibit 3.

11 Q. Have you reviewed that legislation?

12 A. On a multitude of occasions.

13 Q. Let me direct your attention to page 6 of that
14 particular bill, that law. Chapter 390.0111 would be line
15 26, subsection 7 on page 6.

16 A. Yes.

17 Q. What is your understanding in the State of Florida as
18 to what is considered third trimester under the law?

19 A. Under the law -- I would have to research the law, but
20 under medical terminology, the third trimester is carried
21 out at the 28th week of pregnancy.

22 Q. With regard to the statutory definitions, page 6,
23 beginning line 26, what is the definition that is given in
24 the statute concerning third trimester?

25 A. Well, third trimester means the weeks of pregnancy

1 after the fourth, after the 24th week of pregnancy.

2 Q. And, likewise, directing your attention to page 3 of
3 the bill, sub-section 4, under chapter 390.0111, sub-section
4 4, standard of medical care to be used during viability.

5 A. Yes.

6 Q. Are you aware of the standard of medical care that
7 OB/GYNs in South Florida would utilize during the period
8 when a fetus is viable?

9 A. We are required -- not required -- but it's a
10 commitment just as attorneys are to the Bar, to our board
11 and hospitals.

12 Q. And when a physician does a termination during the
13 period of viability, what particular interests under this
14 particular statute are they supposed to be considering
15 during that phase?

16 A. Specifically, the delivery of a live born infant
17 through the vaginal canal.

18 Q. When there is a termination of pregnancy procedure, as
19 addressed in the particular statute, is there any weight or
20 consideration given to the life and health of the mother at
21 that stage?

22 A. Yes.

23 Q. When there is any conflict between the fetus and the
24 mother with respect to health or life, in whose favor does
25 the treatment go?

1 A. The mother.

2 Q. When you evaluate, for malpractice purposes,
3 termination of pregnancy procedures, do you take into
4 consideration that standard of care that is required of the
5 OB/GYN?

6 A. Yes.

7 Q. Doctor, let me direct your attention page 2 of the
8 statute, line 1, on the termination in the third trimester,
9 sub-part A.

10 A. Shall I read it.

11 Q. No, not out loud.

12 With regard to that particular statute, is there a
13 standard in the State of Florida with regard to under what
14 circumstances a physician should proceed with the
15 termination of pregnancy in the third trimester using due
16 care?

17 A. Yes.

18 Q. What particular scenarios should they go forward with a
19 third trimester abortion?

20 A. Two physicians certify in writing to the fact, to a
21 reasonable degree of medical probability, the termination of
22 pregnancy is necessary to save the life or preserve the
23 health of the pregnant woman, or the physician certifies in
24 writing to the medical necessity or the legitimate emergency
25 medical procedures for termination of pregnancy in the third

1 trimester, and another physician is not available for
2 consultation. No termination of pregnancy shall be
3 performed at any time except by a physician as defined in
4 Florida Statutes.

5 Q. Thank you, doctor.

6 Now, with regard to the § (b), viability, and the
7 standard of care that is to be used in viability at which
8 the mother's health and life is considered, is that same
9 consideration to be utilized in the third trimester?

10 A. No. The mother's health is always of primary concern.

11 Q. So, when it's the third trimester, if a situation
12 arises where the life or health of the mother is at risk,
13 the termination can be performed at that point?

14 A. Yes.

15 Q. Doctor, what is your understanding as to when viability
16 occurs?

17 A. Viability is a legal definition. I would have to
18 research the statute, but, generally speaking, I believe
19 it's the presence of a cardiac heart rate and EEG studies,
20 electroencephalogram studies.

21 Q. Based upon your practice, can you tell the Court at
22 what gestational age in terms of weeks, LPN, a fetus would
23 fall within the range of viability?

24 A. We are becoming very technologically advanced. Some
25 have provided at twenty. From twenty-two to twenty-four

1 weeks, I believe the statistics are, survival rates.

2 Q. You were here to hear the testimony of Dr. Benjamin?

3 A. Yes.

4 Q. Do you disagree with his testimony that the survival
5 rate is only five percent for the weeks, gestation,
6 twenty-two to twenty-four?

7 A. Not in the current literature. That's old.

8 Q. What is the current survival rate recognized in the
9 current literature for gestational ages twenty-two to
10 twenty-four weeks?

11 A. In addition, with neonatal statistics at various
12 hospitals, in the area between twenty-two and twenty-four
13 weeks. I can't give the exact number, but it's around
14 twenty-four percent.

15 And increasing thereafter.

16 Q. Doctor, referring you to the statute on page 3,
17 subsection 5(a), beginning on line 25, what is your
18 understanding of the phrase "knowingly perform" in the
19 sentence, "No physician shall knowingly perform a partial
20 birth abortion"?

21 A. Of the intent.

22 Q. Doctor, in reviewing this particular statute, did you
23 look at the definition of partial birth abortion as found on
24 page 6, chapter 390.0111, subsection 5?

25 A. Yes.

1 Q. Did you read through that definition and come to any
2 understanding as to what types of procedures, termination of
3 pregnancy procedures in the State of Florida would be banned
4 by that act?

5 A. A D&X procedure, which is outlined by ACOG policy and
6 protocol, which means termination of pregnancy in which the
7 physician performing the pregnancy partially vaginally
8 delivers a living fetus before causing fetal demise and
9 completing the delivery.

10 Q. Doctor, let me direct your attention to Defendants'
11 Exhibit 1, which is in evidence. It's the ACOG policy
12 statement.

13 Can you identify that document for me?

14 A. This is the ACOG statement of policy issued by the
15 American College of Obstetrics and Gynecology Executive
16 Board.

17 Q. And does that statement of policy contain a description
18 of what an intact D&X procedure is?

19 A. Yes, it does.

20 Q. Doctor, in your review of the medical literature and
21 the Internet databases in the particular subject area of
22 termination of pregnancies, have you come across the term
23 "Intact D&X"?

24 A. Yes.

25 Q. Well, is there a description of intact D&X in the

1 materials that you have reviewed?

2 A. Yes.

3 Q. And, in fact, isn't there a description of the intact
4 D&X within ACOG's own statement of policy?

5 A. Yes.

6 Q. Doctor, in your review of the literature and the
7 Internet databases concerning terminations of pregnancy,
8 have you come across any description of partial birth
9 abortions?

10 A. Yes.

11 Q. And in what types of materials have you found
12 references to partial birth abortions?

13 A. Multiple. *Medline*, newspapers, American College of
14 OB/GYN, *Journal of Health and Reproductive Law*, numerous.

15 Q. Do you have any idea how long the phrase "partial birth
16 abortion" has been within this medical database, medical
17 literature field?

18 A. I would have to research that, but I am sure probably
19 the last several years.

20 Q. Now, doctor, you were here when the plaintiffs' expert,
21 Dr. deProsse, testified. Is that correct?

22 A. Yes.

23 Q. You heard the opinion that Dr. deProsse rendered in
24 this courtroom. Is that correct?

25 A. Yes.

1 Q. Doctor, can you tell me whether a first trimester
2 suction curettage procedure would be banned by the
3 enforcement of Florida Statute?

4 A. No.

5 Q. Why not, doctor?

6 A. In one hundred percent of the time, almost, but nothing
7 is one hundred percent, the fetus is usually in demise when
8 suction is performed.

9 Also, it passes through a cannula which passes
10 into a receptacle apparatus. The destruction of the fetus
11 usually happens upon application of the suction.

12 Q. Let me refer you to Plaintiffs' Exhibit 2, which should
13 be in front of you, a diagram of the woman's pelvic anatomy.

14 Do you have that?

15 A. Yes.

16 Q. Can you, just holding that up, describe for the Court,
17 just by pointing to it with your finger, how the suction
18 apparatus is lodged and connected to the uterus of the
19 woman?

20 A. A weighted speculum is placed in the posterior vaginal
21 vault. The anterior as a result is retracted. The anterior
22 surface is grasped. Follow that by aggressive dilatation
23 occurs.

24 A suction cannula is placed, which forms suction,
25 which is connected directly outside the body to a receiving

1 canister.

2 Q. Is the suction apparatus you described in the form of a
3 conduit from the uterus to the awaiting receptacle?

4 A. Yes.

5 Q. Can you, please, describe to the Court what the actual
6 suction mechanism is that is enacted to evacuate the
7 intrauterine contents?

8 A. It's a vacuum apparatus with negative pressure.

9 Q. Can you describe to the Court what the cannula is?

10 A. Plastic tubing. Variations in size flare from six
11 millimeters to fourteen or sixteen millimeters.

12 Q. What is it about the suction curettage procedure that
13 you believe makes it not a partial birth abortion as defined
14 in the act?

15 A. Ninety-nine percent of the time the fetus is going to
16 fit through that suction tubing, especially if it's twelve
17 weeks using a twelve millimeter catheter.

18 May I have some water?

19 Q. Yes, doctor.

20 Are there a variety of sizes of suction cannulas
21 to be used in the suction curettage process?

22 A. Yes.

23 Q. Now, did you also hear Dr. deProsse's testimony
24 regarding a certain scenario of the suction curettage
25 procedure in which he described the effect of an enlarged

1 after-coming head being entrapped?

2 A. That usually doesn't happen in the first trimester.

3 Q. With regard to the scenario that Dr. deProsse described
4 with an entrapped after-coming head, would that type of
5 scenario be something that is an intended consequence for a
6 physician who does a suction curettage procedure?

7 A. No. That would be a complication of the procedure, not
8 an intent.

9 Q. If a physician performing a suction curettage procedure
10 unexpectedly encounters an entrapped enlarged head somewhere
11 on the other side of the suction cannula, would that be a
12 scenario that would be banned by the enforcement of Florida
13 Statute?

14 A. No, that would be a complication, and handled
15 appropriately.

16 Q. Doctor, is a second trimester D&E procedure in which a
17 large bore cannula is utilized to evacuate the fetal parts
18 and intrauterine contents into a waiting receptacle, is that
19 a procedure banned by the Florida Statute?

20 A. No.

21 Q. Why not?

22 A. Once again, it's a similar reason. It passes to the
23 receptacle from the intrauterine contents. By the time that
24 happens, after you suck out the amniotic fluid and placenta,
25 nine times out of ten the fetus is going to be dead and

1 delivered as such.

2 Q. Now, doctor, did you hear the plaintiffs' expert,
3 Dr. deProsse, give testimony in this courtroom yesterday in
4 which he described the scenario where a physician performing
5 a D&E unexpectedly encountered an entrapped after-coming
6 head?

7 A. Yes.

8 Q. Would that particular scenario be something that would
9 be banned by the act?

10 A. No. Again, it's a complication.

11 Q. Doctor, is a second trimester D&E procedure where the
12 physician is able to successfully disarticulate the fetal
13 limbs and torso in order to remove disjointed fetal parts,
14 is that a procedure that would be banned by the act?

15 A. No.

16 Q. Doctor, why wouldn't the extraction of disjointed fetal
17 parts be banned by the law that bans partial birth abortion?

18 A. Because the actual disarticulation was part of the
19 fetal demise ascribed by Dr. Benjamin.

20 Q. Is there any language in the definition of partial
21 birth abortion on page 6 of the statute which indicates that
22 a physician would be criminally liable for delivering fetal
23 parts?

24 A. No.

25 Q. What is your understanding of the phrase "living

1 fetus"?

2 A. "Living fetus" would be a fetus that is viable.

3 Q. Would a living fetus have a heartbeat?

4 A. Yes.

5 Q. Would a living fetus refer to a fetus that has a
6 heartbeat even before viability?

7 A. That's really subjective. "Living," to me, would mean
8 compatible with life versus living. Yes, it is functioning.
9 It has its own innate control.

10 Q. Doctor, would an induction procedure be a procedure
11 that would be banned by the enactment of Florida's Statute?

12 A. No.

13 Q. Can you explain to the Court why an induction procedure
14 would not be a procedure that would be banned?

15 A. Firstly, there was no intent. Secondly, an induction
16 procedure, you instill normal saline when the gestation is
17 greater than eighteen weeks.

18 Also, you can mix normal saline with
19 prostaglandin, which in nine times out of ten, almost one
20 hundred percent of the time the fetus comes out in demised
21 state.

22 Q. When you performed induction procedures, did you have
23 materials available at that time to cause fetal demise?

24 A. Yes.

25 Q. Are there additional procedures that are available

1 today to do induction procedures?

2 A. Yes.

3 Q. Have you reviewed the literature and the computer
4 databases to ascertain the existence of these induction
5 procedures that are available today?

6 A. Yes.

7 Q. Is it fair to say that the level of toxicity in fetal
8 demise is quite high in those inductions procedures?

9 A. You are pickling an infant with normal salines. With
10 digoxin, you are causing immediate cardiac arrest, with
11 digoxins and potassium.

12 Q. You heard Dr. Pendergraft describe his use of amino
13 injections yes?

14 Are you familiar with the procedures he described
15 for the second trimester?

16 A. Yes.

17 Q. Is it fair to say there is a high level of fetal demise
18 in those procedures using methodologies Dr. Pendergraft
19 referred to?

20 A. Ninety-three percent die. Seven percent remain viable,
21 according to ACOG's statement, with the ones using the
22 prostaglandin alone. The prostaglandin, in normal
23 situations, is almost one hundred percent.

24 Q. Did you hear Dr. Pendergraft's testimony about
25 methotrexate up to the first eight weeks LMP?

1 A. It's an experimental thing, but, yes.

2 Q. Is such a procedure up to eight weeks with methotrexate
3 a procedure that would be banned by Florida Statute?

4 A. No.

5 Q. Why not?

6 A. Methotrexate would kill the fetus. It's a chemical
7 toxin.

8 Q. And when you say it's experimental, why do you say
9 that?

10 A. Methotrexate, it now is a neuro drug. We mainly use
11 the drug to treat trophoblastic disease of pregnancy, which
12 is a form of cancer. If it kills the cancer, it will kill
13 the live fetus.

14 The experimental studies today, according to the
15 recent literature, show it's used for atropic pregnancy, to
16 save hospital time and improve patient outcome.

17 Q. Doctor, did you hear Dr. deProsse's testimony in which
18 he described one scenario, using the induction or
19 instillation procedures in which a physician unexpectedly
20 encounters an entrapped after-coming head?

21 A. Yes.

22 Q. Would that procedure, that particular scenario as
23 described by Dr. Pendergraft -- by Dr. deProsse -- be a
24 procedure banned by Florida Statute?

25 A. No, that would be a complication.

1 Q. Now, doctor, if you used the word "complication," what
2 do you mean by that?

3 A. An unexpected event of a specific procedure that you
4 would have to deal with.

5 Q. Is that complication something that would be intended
6 by the physician at the outset of the procedure?

7 A. No.

8 Q. Doctor, you said you performed live births, correct?

9 A. Yes.

10 Q. When you commence the live birth process, do you intend
11 to bring about a live, fully delivered child?

12 A. Yes.

13 Q. Are there some times scenarios in which, unexpectedly,
14 the child comes out still born?

15 A. In today's technology, probably not. We use internal
16 electronic fetal monitoring.

17 Also, there several Florida Statues which govern
18 out of hospital births, which require fetal monitoring. If
19 there is a problem, there is an immediate problem, the woman
20 is taken to a tertiary or secondary center where the infant
21 is delivered by cesarean situation.

22 Q. If the doctor set about to a full term delivery of a
23 live child and something went awry and it was a stillborn
24 child, would that procedure be a procedure banned by
25 Florida's act?

1 A. No.

2 Q. Doctor, would a hysterotomy be a procedure banned by
3 Florida's act?

4 A. No.

5 Q. Why not?

6 A. Hysterotomy, there is no passage of the fetus through
7 the vagina.

8 Q. Would the process of a hysterectomy be something banned
9 by Florida Statute?

10 A. No.

11 Q. Why not?

12 A. You are killing the fetus in utero.

13 Q. Did you hear Dr. Benjamin's testimony concerning his
14 practice of severing the umbilical cord?

15 A. Yes.

16 Q. What is your experience with how long it takes to have
17 fetal demise following the severance of the umbilical cord?

18 A. Usually four to eight minutes.

19 Q. Now, doctor, how long does it take to do an intact D&X
20 procedure?

21 A. Approximately thirty minutes. Intact D&X procedure?

22 Q. Intact D&X procedure?

23 A. Is that -- well, first --

24 Q. As defined by ACOG.

25 A. As defined by ACOG?

1 Q. Yes.

2 A. Several days.

3 Q. Now, the ACOG procedure talks about the dilatation over
4 a period of several days, correct?

5 A. Correct.

6 Q. Assuming that the woman spent the one or two, or maybe
7 three days, to do the dilatation, once she presented for the
8 actual second, third and fourth steps of the procedure as
9 defined in the ACOG statement, how long would it take to do
10 those last three steps?

11 A. It would take anywhere from fifteen to over an hour,
12 and sometimes it will not work at all, and there are other
13 things you would have to perform.

14 Q. Doctor, in your experience doing consulting work for
15 the State of Florida and reviewing cases for medical
16 malpractice and rendering your opinions in the obstetric and
17 gynecological, obstetrics and gynecology field that you
18 have, have you ever come across any information that
19 physicians within the State of Florida intentionally perform
20 D&X procedures ascribed by the ACOG statement of policy?

21 A. D&X procedures are performed in accordance with the
22 ACOG Guidelines as illustrated. I am not sure I understand
23 the question.

24 Q. My question to you was do you have any information,
25 having worked in the field and having served in your

1 capacity as a consultant for the State of Florida, have you
2 come across any first-hand information that any physician in
3 the State of Florida actually intentionally performs D&X
4 procedures as described in the ACOG bulletin?

5 A. Dr. Benjamin.

6 Q. Is that just based upon his testimony here in the
7 courtroom this morning?

8 A. Yes.

9 Q. Dr. Di Giacomo, looking at Dr. Benjamin's scenario, if
10 Dr. Benjamin severed the umbilical cord of the fetus which
11 resulted in its fetal demise in utero before doing the D&X
12 procedure, would his subsequent performance of the D&X
13 procedure be something that would be banned by Florida
14 Statute on a dead fetus?

15 A. No.

16 Q. Why not?

17 A. It's a dead fetus.

18 Q. Doctor, do you have any understanding as to when D&X
19 procedures are performed?

20 A. D&X procedures are performed in the late second
21 trimester.

22 Q. Can you give an idea to the Court as to what weeks LMP
23 that would be?

24 A. Generally, twenty weeks in gestation on.

25 Q. Anything over twenty weeks?

1 A. Twenty, twenty-one, yes.

2 Q. Now, doctor, if a physician finds himself presented
3 with the entrapped after-coming, enlarged head, would the
4 presence of that head lodged in the mother pose a danger to
5 the life of the mother?

6 A. Yes.

7 Q. Tell the Court what, if anything, could be done at that
8 point to dislodge the entrapped head from the mother?

9 A. Depends on the circumstance, but the simple thing is
10 hanging Pitressin would work.

11 In a live fetus, that also does occur in a term of
12 pregnancy if you deliver a live breech presentation and you
13 get an entrapped head. There are several maneuvers and a
14 multitude of drugs to use, the debilitation of the cervix.

15 Q. Doctor, would there be alternative procedures available
16 besides the D&X procedure to terminate a pregnancy?

17 A. Yes.

18 Q. Can you tell the Court what those alternatives are?

19 A. Suction curettage, prostaglandin F2 Alpha, E2, and the
20 various prostaglandin families, saline abortions, urea.

21 Q. The latter ones you described, are those part of the
22 induction procedures?

23 A. Induction instillation, yes.

24 Q. Doctor, in the vast majority of the suction curettage
25 procedures, does the force of the suction cannula cause the

1 demise of the fetus?

2 A. I would say most likely so, yes.

3 Q. Can you just describe to the Court what kind of impact
4 or force of the cannula is used at that suction curettage
5 process?

6 A. Similar statistics, it's like taking a hand and
7 vacuuming it into the hose in a car wash.

8 Q. Is there any particular reason why a physician would
9 use a certain size suction cannula in the suction curettage
10 procedure in the first trimester and the second trimester?

11 A. Yes.

12 Q. What is that?

13 A. The cannulas are measured in millimeters. Generally,
14 each millimeter is the week's gestation. Ten millimeter
15 cannula is ten weeks' gestation.

16 Q. Can you describe for the Court what the difference in
17 size is for the fetus at the various gestational ages?

18 A. I have a slide rule. I would have to look at that. I
19 couldn't tell you.

20 Q. Do you have it with you?

21 A. Oh, yes.

22 Q. What is that device that you have? What is that device
23 that you have, doctor?

24 A. This is a slide rule. It is that we use in our
25 practice for obstetrics and gynecology.

1 Q. You have two of them?

2 A. They are different. They are two different companies.

3 They are plus or minus the same, yes.

4 Q. Now, doctor, when you say there are two different
5 companies that make those spin dials, are these based upon
6 some type of studies?

7 A. Yes. They give -- it has one number, but there is a
8 range, like five to ten. For space utilization, they only
9 put one number on it.

10 Q. Do you utilize that routinely in your practice?

11 A. Daily.

12 Q. Please describe then for the Court, what we are doing
13 with weights on that?

14 A. This particular --

15 Q. Or size.

16 A. This particular wheel, each one has a different thing.

17 This particular wheel describes the weeks
18 gestation, the biparietal diameter, the femoral length, the
19 weight, the weight in pounds and grams, and the length.

20 Q. When a physician commences a suction curettage
21 procedure, they can approximate the gestational size or
22 weight of the fetus, give or take?

23 A. Yes.

24 Q. Now, given that information, when a physician selects
25 the size of cannula, what are they trying to accomplish with

1 the choice of size?

2 A. They are trying to accomplish that the size of the
3 cannula is pretty much equal to the size of the fetal
4 contents to be evacuated.

5 Q. Is that to accommodate a swift and complete successful
6 evacuation from the uterus to the awaiting receptacle?

7 A. Yes.

8 Q. Does the same principle apply in the early second
9 trimester D&E procedures where large bore cannulas are
10 utilized?

11 A. Yes.

12 Q. Please, briefly indicate for the Court then what that
13 typical size would be for a large bore cannula in that
14 second trimester at weeks gestational age thirteen to
15 sixteen.

16 A. Thirteen weeks, the weight would be approximately
17 fourteen grams, the biparietal lamina millimeters-wise is
18 twenty-two, and you would use a fourteen to sixteen
19 catheter, which pretty much, after the high powered suction,
20 would suck it into the tube.

21 Q. Doctor, do you equate the suction of the intrauterine
22 contents through this tubing into a jar as the same thing as
23 a vaginal delivery as referenced in the statute?

24 A. No.

25 Q. Why not?

1 A. Vagina, normal vaginal delivery -- I'm sorry. Can you
2 repeat that?

3 Q. Do you he equate the suctioning mechanism of the
4 cannula through the tubing of the intrauterine into the
5 conduit into a jar to be the same thing as a vaginal
6 delivery?

7 A. No.

8 Q. Why not?

9 A. It passes through a closed system.

10 Q. Is that similar to a bypass?

11 A. Yes.

12 Q. Doctor, can you tell me what the risks are that are
13 associated with the intact D&X procedure and as identified
14 in the ACOG statement of policy?

15 I am talking about the risks that you are aware
16 of, based upon the definition of the intact D&X?

17 A. I am not sure I understand your question.

18 Q. Please describe for the Court the risks of a woman
19 undergoing an intact D&X procedure, the medical risks.

20 A. The medical risks are, of medical D&X, are hemorrhage,
21 perforation of the uterus, infection and bleeding.

22 Q. Is there any danger associated with the use of the
23 insertion of the blunt scissors into the fetus?

24 A. Yes.

25 Q. What are the risks that are associated with that?

1 A. It's a blind procedure done under feel. You can
2 puncture the wrong thing.

3 Q. What are some of the consequences to the mother's
4 health?

5 A. Puncturing the wrong thing can mean anything from
6 puncturing bladder, bowel, to the vaginal wall, causing
7 peritonitis, the cervix, the uterus.

8 Q. Is the D&E procedure involving removal of fetal parts
9 something that is done usually in earlier gestational ages
10 than the intact D&X procedure?

11 A. Yes.

12 Q. Is the quality of risks higher for the later
13 termination procedures done at greater gestational ages?

14 A. Yes.

15 Q. Doctor, do you find anything confusing about the
16 definition of the partial birth abortion in Florida's
17 Statute?

18 A. No.

19 Q. Do you believe that the language of the Florida Statute
20 banning partial birth abortions would prevent physicians in
21 the State of Florida from performing all abortion
22 procedures?

23 A. No.

24 Q. Do you recall the testimony from the plaintiffs and
25 their expert concerning the hydroencephalic child, the

1 fetus?

2 A. Yes.

3 Q. Have you ever encountered hydroencephalic fetus?

4 A. Yes.

5 Q. Are there any scenarios in which the hydroencephalic
6 fetus can be removed from the mother without crushing the
7 skull?

8 A. Yes.

9 Q. What are those procedures?

10 A. Cesarean section.

11 Q. Is there any procedure to draw off the excess fluid
12 without removing the other intracranial contents?

13 A. Yes.

14 **MS. ANDERSON:** Objection. Leading, Your Honor.

15 **THE COURT:** Overruled.

16 **BY MS. BURGNER:**

17 Q. Doctor, do you have an opinion as to whether or not the
18 enactment of Florida's Statute would cause harm to women in
19 the State of Florida?

20 A. No.

21 Q. What is your opinion?

22 A. That the enactment would cause harm.

23 Q. My question to you was do you have an opinion whether
24 enactment of this law would cause harm to women?

25 A. No, it will not cause harm to women.

1 Q. First I was going to ask you if you had an opinion, and
2 what was it?

3 A. No. My opinion is it would not cause harm to women.

4 Q. Why do you believe the enactment would not cause harm
5 to women?

6 A. For those seeking a termination of pregnancy, there is
7 a multitude of other methods available.

8 MS. BURGNER: One moment, Your Honor.

9 BY MS. BURGNER:

10 Q. Doctor, can you just demonstrate for the Court when you
11 are talking about the size of the fetus in terms of fingers,
12 you know, like in terms of inches, I suppose, how big is
13 that fetus when it's under twelve weeks?

14 A. The size of the head, under 12 weeks, it's less than
15 twenty-two millimeters.

16 Q. What does twenty-two millimeters equate to? Are we
17 talking something like the size of a baseball or much
18 smaller?

19 A. Standard measurements, very small.

20 Q. What about the thirteen to sixteen week size?

21 A. Thirteen weeks is twenty-five millimeters, twenty-nine
22 millimeters.

23 Q. Again, does that equate to a very large piece of paper
24 you are talking about of the fetus at that gestation?

25 A. No.

1 **MS. BURGNER:** Thank you.

2 No further questions.

3 **THE COURT:** It is 1:00 p.m. How long would you
4 like to break for lunch?

5 **MS. ANDERSON:** Your Honor, when we gave an
6 estimate of fifteen minutes, that was the assumption he
7 would come second.

8 I estimate it would be half hour for the cross.
9 I wanted to alert you to that.

10 **MS. BURGNER:** Your Honor, first, I know that
11 counsel and I had talked before about whether or not we
12 wanted to rely upon our written memos in brief in lieu of
13 an oral closing.

14 **MS. ANDERSON:** That would be fine with us.

15 **MS. BURGNER:** That would be fine with us.

16 That way we could spend the time doing the cross
17 examination. The Court would have the full evidentiary
18 record and the written memos.

19 **THE COURT:** How long would you like to break for
20 lunch?

21 **MS. ANDERSON:** Your Honor, it really is up to
22 you. Anything. A half-hour would be sufficient from our
23 standpoint. Longer is fine also.

24 I want to be sure I have enough time for the
25 cross. I know you have something else coming up.

1 THE COURT: We will be in recess for 30 minutes.

2 [There was a recess for the noon hour].

3 THE COURT: Have the witness return please.

4 CROSS EXAMINATION

5 BY MS. ANDERSON:

6 Q. Good afternoon, Dr. Di Giacomo.

7 A. Good afternoon.

8 Q. Dr. Di Giacomo, when was the last time you performed an
9 abortion?

10 A. Thursday night.

11 Q. An abortion, meaning to cause the termination of
12 pregnancy, not producing a live fetus with the intent not to
13 produce a live fetus?

14 A. Many years ago.

15 Q. How many years ago?

16 A. During residency.

17 Q. When did you complete your residency?

18 A. 1981.

19 Q. So, since 1981 to present, you have not performed an
20 abortion in order to terminate a pregnancy and not have a
21 result of a live birth?

22 A. I can't answer "Yes "or No" without clarification.

23 Q. Okay. Why don't you go ahead and clarify?

24 A. I perform abortions defined as evacuation of contents
25 of the uterine cavity. It does not define whether it's live

1 or not.

2 The last abortion I have performed was Thursday
3 evening at 6:00.

4 Q. Dr. Di Giacomo, do you still have the Florida Statute
5 in front of you?

6 A. Yes, I do.

7 Q. Referring you to page 6 of that statute. Do you see
8 the definition of "abortion" starting at line 7?

9 A. Page 6, line 7? On line 7. Yes.

10 Q. And do you understand that under Florida law "abortion"
11 means the termination of human pregnancy with an intention
12 other than to produce a live birth or remove a live fetus?

13 A. This is defined by this statute.

14 Q. Under that definition, the last time you performed an
15 abortion would be during your residency, correct?

16 A. Yes.

17 Q. During your residency, you performed approximately how
18 many abortions?

19 A. Fifty to one hundred.

20 Q. And the abortion techniques you used were, I believe,
21 suction curettage, suction evacuation and instillation.

22 Is that correct?

23 A. That's correct.

24 Q. Suction curettage, you have heard that term used in
25 this courtroom yesterday and today, correct?

1 A. Yes.

2 Q. The description of that process, is that the same as
3 the suction curettage procedure, essentially, that you
4 performed back in your residency?

5 A. Yes.

6 Q. The suction evacuation, what procedure was that?

7 A. I'm sorry?

8 Q. You say you also performed suction evacuation to
9 terminate.

10 A. Dilatation and evacuation.

11 Q. You performed dilatation and evacuation?

12 A. In residency.

13 Q. How many dilatation and evacuation procedures did you
14 perform?

15 A. I would have to check my records.

16 Q. Did you perform dilatation and evacuation procedures
17 during the second trimester?

18 A. Yes.

19 Q. Any of the first trimester?

20 A. First trimester suction dilatation and curettage.

21 Q. Is that the same as the procedure Dr. Pendergraft
22 described?

23 A. They can be used interchangeably.

24 Q. Were the majority of the abortions you performed during
25 your residency during the first trimester of pregnancy?

1 A. Yes.

2 Q. You also mentioned you performed instillation
3 procedures.

4 A. Yes.

5 Q. Is that the same as the induction procedures that are
6 done currently, or is that different?

7 A. Yes.

8 Q. What was the instillation you performed during your
9 residency?

10 A. Prostaglandin, normal saline and urea.

11 Q. Has the procedure by which inductions are performed in
12 order to achieve an abortion as defined by Florida law the
13 same now as they were in the early 1980s?

14 A. Please repeat.

15 Q. Are the induction procedures that are used now to
16 perform abortions identical to those that were used in the
17 early 1980s?

18 A. Technology is always changing. Nothing is identical.

19 Q. So, in fact, generally, abortion methods have continued
20 to evolve over time, correct?

21 A. Yes.

22 Q. In your current practice, isn't it correct you choose
23 not to perform any abortions as defined by Florida Statute?

24 A. Yes.

25 Q. And during -- in your practice, isn't it true that you

1 have not referred any of your patients for abortions?

2 A. No.

3 Q. No, that's not true?

4 A. Repeat -- I have referred.

5 Q. You have referred patients for abortions in your
6 current practice?

7 A. Yes.

8 Q. Dr. Di Giacomo, do you remember being deposed in this
9 action on August 5th?

10 A. Yes.

11 Q. Do you remember the following exchange --

12 MS. BURGNER: Page.

13 MS. ANDERSON: 7, line 2.

14 BY MS. ANDERSON:

15 Q. "Question: Okay. Have you ever have had occasion in
16 your practice over the past twenty years to refer any
17 of your patients for an abortion?

18 "Answer: No. If they want an abortion, I -- they
19 don't come to my office so, no.

20 "Question: So, in the twenty years that you have
21 been practicing, you have not had a circumstance
22 where a woman's pregnancy threatened her life or
23 health so that you needed to find someone to deal
24 with her situation other than yourself?

25 "Answer: No."

1 Do you remember that testimony?

2 A. Can I answer with clarification?

3 Q. Certainly.

4 A. Yes, I remember that testimony. The case I described
5 happened recently, after that deposition.

6 Q. Thereafter, the one you mentioned earlier?

7 A. Yes.

8 Q. In your twenty years of practice, you have that one
9 occasion to refer a woman to an abortion?

10 A. No, I have referred them, but I have not personally
11 done them.

12 Referring them -- my, my initial patient interview
13 consists of the following: I interview the patient. I find
14 out her emotional concerns, reasons. I counsel the patient,
15 give her various options available to her and according to
16 Florida Statute, which consists of normal spontaneous
17 vaginal delivery, abortion or adoption.

18 I counsel the woman for, probably, about an hour.
19 I send her to one of my nursing staff, who counsels --

20 **THE COURT:** Excuse me, doctor. Slow down just a
21 bit. We are having a little difficulty keeping up with
22 you.

23 **BY MS. ANDERSON:**

24 Q. Thank you, doctor.

25 Have any articles that you authored been

1 published in the field of obstetrics and gynecology?

2 A. No.

3 Q. I take it you have not had any publications relating to
4 abortion procedures, correct?

5 A. No.

6 Q. Did you know saline is now a highly disfavored method
7 of performing abortions?

8 A. Yes.

9 Q. Now, you testified earlier with reference to the ACOG
10 definition of the a D&X procedure. Do you still have the
11 ACOG statement of policy in front of you?

12 A. Yes.

13 Q. Referring you to the, to the middle of the first page
14 of that document. It gives four steps that ACOG says fall
15 within the definition of an intact D&X.

16 A. Yes.

17 Q. Is it your understanding the procedure specified in
18 that ACOG statement is the, is -- let me restate that.

19 Is it your understanding the Florida Statute
20 banning partial birth abortions bans this procedure as
21 described by ACOG?

22 A. Yes.

23 Q. To your knowledge, does -- in your opinion, does the
24 Florida Statute ban any other abortion procedure?

25 A. A D&X can be accomplished, is allowed to be performed

1 as illustrated in the ACOG statement of policy, so it's not
2 banned unless it meets these requirements.

3 Q. I want to be sure I understand your testimony.

4 The Florida partial birth abortion statute, in
5 your opinion, would, if it goes into effect, ban the
6 performance of abortion procedures in which the four steps
7 laid out by ACOG --

8 A. No, no. I apologize if I said that.

9 Q. What do you believe it does ban?

10 A. The ACOG statement, policy, speaks for itself.

11 Q. I'm sorry. Let me restate the question.

12 I am asking you about your opinion as to what
13 procedure is banned by the Florida Statute.

14 A. Delivery of a live fetus from the vaginal canal.

15 Q. Do you believe the description of the four steps laid
16 out in the ACOG statements, the four steps ACOG defines as
17 being an intact D&X, do you believe that procedure is banned
18 by the Florida Statute?

19 A. No.

20 Q. Do you believe that a procedure in which a physician
21 removes an intact fetus and its head becomes, the physician
22 is trying -- let me rephrase that.

23 When a physician is performing a D&E procedure
24 and the physician is bringing the fetus down from the
25 uterus into the vagina, and as the physician continues to

1 bring the fetus down, the fetus's head gets stuck so the
2 physician is not able to pull the intact fetus all the way
3 out of the uterus, in your opinion, is such a procedure
4 banned by the act?

5 A. That was a pretty wordy question. If you could cut it
6 down?

7 Q. Yes.

8 Let me refer you back to your testimony on direct.

9 You mentioned when an after-coming or an enlarged head
10 becomes stuck in the cervix in a D&E procedure, if the
11 physician then has to take some steps to remove the fetus,
12 to decompress the head in order to remove the fetus, you
13 would consider that a complication?

14 A. Correct.

15 Q. In that instance, you would not think that was banned
16 by the act?

17 A. Correct.

18 Q. The same is true with an induction procedure. If the
19 physician is performing an induction procedure, a fetus is
20 partially vaginally delivered, but still alive, and the head
21 becomes stuck, and the physician has to either drain the
22 skull contents or compress the skull in order to get the
23 fetus out the rest of the way, that would be a complication,
24 correct?

25 A. Correct.

1 Q. It's your understanding that would not be banned by the
2 act?

3 A. Correct.

4 Q. In a suction curettage situation in which the physician
5 is not able to remove -- in which the head of the fetus
6 becomes stuck in the cervix and the physician is then, has
7 to -- let me rephrase that.

8 In a suction curettage procedure in which the
9 physician trying to remove the fetus from the uterine
10 cavity finds that the head does not readily come out
11 through the suction, then the physician has to do something
12 to the head in order to affect the removal, that would be a
13 complication?

14 A. In most of the cases the head is destroyed on entrance
15 to the cannula.

16 Q. In the situations in which it is not, and the physician
17 has to take steps in order to remove, additional steps to
18 remove the head from the uterine cavity, that would not come
19 under the ban because that was not, the physician was not
20 intending for the head to get stuck?

21 A. Correct.

22 Q. It's your understanding that it's those situations in
23 which the physician is intending for the head to become
24 stuck and then takes action as a result is banned by the
25 statute?

1 A. Yes.

2 Q. On direct examination -- you currently do not perform
3 any -- let me ask you again.

4 On direct examination you were asked about the
5 law relating to third trimester abortion procedures in the
6 State of Florida.

7 Is that a law that you need to be familiar with
8 with your current practice?

9 A. Yes.

10 Q. With reference to the term "partial birth abortion," on
11 direct examination you testified you have seen discussion of
12 that term through your research on Medline?

13 A. I didn't say Medline. I said on the Internet.

14 Q. Was it medical publications you saw through your search
15 on the Internet that referred to partial birth abortion?

16 A. Yes.

17 Q. And you mentioned that in the last several years you
18 have seen some publications that appeared on the Internet
19 that relate to partial birth abortion, correct?

20 A. Yes.

21 Q. Are you aware in 1995 the Congress considered
22 legislation to ban what was termed partial birth abortion?

23 A. I would have to research. I don't recall.

24 Q. Prior to -- do you remember when you first heard about
25 legislation that was attempting to ban what were termed

1 partial birth abortions?

2 A. I don't recall.

3 Q. Several years ago?

4 A. I do not recall.

5 Q. Do you recall whether you ever saw any reference to
6 partial birth abortion in any medical literature prior to
7 when Congress first considered banning partial birth
8 abortions?

9 A. That data is available. If you pull it up on the
10 Internet, it gives you the time these articles were written.

11 I haven't paid attention. At this point, until I
12 review my reference as to what time --

13 Q. You are not sure what the date of the articles were,
14 sitting here now?

15 A. Off the top of my head, no.

16 Q. Thank you.

17 If I understand your testimony, you do not
18 consider a suction curettage abortion procedure to be, to
19 cause a vaginal delivery of the fetus, correct?

20 A. Correct.

21 Q. Do you consider a D&E to cause a vaginal delivery of
22 the fetus?

23 A. No.

24 Q. And do you consider an induction to cause a vaginal
25 delivery of the fetus?

1 A. Yes.

2 Q. Would you agree that some of the time when a D&E
3 procedure is performed, the fetus comes out intact?

4 A. Yes.

5 Q. You would agree some of the time when a D&E procedure
6 is performed the head becomes stuck in the cervix?

7 A. Yes.

8 Q. You would agree some of the time when an induction
9 procedure is performed the head becomes stuck in the cervix?

10 A. Yes.

11 Q. And you would agree some of the time when a D&E
12 procedure is performed a limb of the fetus could be in the
13 vagina while other parts of the fetus is still in the uterus
14 and the fetus is still living at that point?

15 A. Yes.

16 Q. And is it your belief in the D&E procedure, the
17 disarticulation of limbs causes fetal demise?

18 A. Yes.

19 Q. Do you have an understanding of how quickly that fetal
20 demise results?

21 A. Almost instantaneously. If someone took a blow to the
22 head, it would cause a fetal demise.

23 If someone were to grasp the calvarium with
24 forceps, it would cause demise.

25 Q. You think fetal demise would occur as rapidly if you

1 disarticulate a leg as if you give a blow to the head?

2 A. No.

3 Q. It would occur more quickly with the head?

4 A. Yes.

5 Q. If you disarticulate a limb it would occur not as
6 quickly than draining the head?

7 A. I believe draining the head is probably quicker.

8 On the other hand, disarticulating the fetal limb
9 would cause internal hemorrhage. And the fetus would die.

10 Q. You would agree induction procedures used, today such
11 as the prostaglandin, though they often cause fetal demise
12 of the fetus while it is still in the vagina, they do not --
13 I'm sorry -- while it's still in the uterus, they do not
14 always cause fetal demise while the fetus was still in the
15 uterus?

16 A. Run that by me.

17 Q. Induction procedures using prostaglandin, fetal demise
18 does not always occur while the fetus is still in the
19 uterus?

20 A. Ninety-three of the cases, it does.

21 Q. In another seven percent of the cases, fetal demise
22 could occur when the fetus is still part in the vagina?

23 A. Yes.

24 Q. You mentioned on direct your estimate of how long it
25 takes to perform a D&X procedure.

1 Have you ever performed a D&X procedure?

2 A. No.

3 Q. Have you ever witnessed the performance of a D&X
4 procedure?

5 A. Yes.

6 Q. Who did you see perform a D&X procedure?

7 A. In residency for fetal demise of a hydroencephalic
8 infant.

9 Q. In the early 1980s?

10 A. Yes.

11 Q. Have you ever at any time, other time, witnessed the
12 performance of a D&X procedure?

13 A. No.

14 Q. So, is your estimate of how long it takes to complete a
15 D&X procedure based on your personal experience witnessing
16 the procedure?

17 A. No. Medical literature.

18 Q. What literature have you read that told you exactly how
19 long the procedure takes?

20 A. ACOG protocols.

21 Q. What ACOG protocols did you read that state how long
22 procedure takes?

23 A. Technical bulletins.

24 Q. What technical bulletins?

25 A. 109.

1 Q. Excuse me?

2 A. 109. I believe that's the number.

3 Q. And to the best of your recollection, that actually
4 states how long the procedure takes?

5 A. Approximately.

6 Q. And you mentioned that to your knowledge the only
7 doctor, the only doctor you are aware of in Florida who
8 performs the intact D&E procedure is Dr. Benjamin. That was
9 based on his testimony in court?

10 A. Based on his testimony.

11 Q. Do you go to National Abortion Federation meetings?

12 A. No.

13 Q. Do you regularly meet with doctors who perform
14 abortions to discuss exactly what procedures they are
15 performing?

16 A. No.

17 Q. On direct you mentioned alternatives to the D&E
18 procedure are suction curettage and the use of
19 prostaglandin, saline or urea, those three, last three being
20 induction procedures, correct?

21 A. Correct.

22 Q. And, to your knowledge, how late in a pregnancy can
23 suction curettage be sufficient to terminate the pregnancy?

24 A. Well, less than thirteen weeks.

25 Q. How late into pregnancy, how far into pregnancy can

1 induction be used to terminate the pregnancy?

2 A. Usually, probably, around any time if you use
3 intracardiac digoxin.

4 Q. If you don't, how late can induction procedures serve
5 to terminate a pregnancy?

6 A. You can always.

7 Q. Induction can be used not only the second-trimester but
8 the third?

9 A. Yes.

10 Q. Can induction be used in the first trimester?

11 A. No.

12 Q. You mentioned -- you referred to your circular slide
13 rule for a reference tool in order to mention fetal weight
14 of a particular gestational age.

15 Do all fetuses weigh the same at a particular
16 gestational age.

17 A. Within a plus or minus deviation.

18 Q. Is there variation -- does every fetus have the exact
19 same shape at a particular gestational age? Are all fetus
20 shaped the same?

21 A. All fetuses differ within standard deviation.

22 Q. Do all fetuses lie within the cavity in the exact same
23 way?

24 A. No.

25 Q. You had mentioned risks associated with the intact D&E

1 procedure. Hemorrhage, perforation of the uterus, infection
2 and bleeding.

3 What is your basis for believing that?

4 A. ACOG publications.

5 Q. Have you seen peer review studies that analyze the
6 risks associated with intact D&E procedure?

7 A. Yes.

8 Q. What were -- who conducted those studies?

9 A. American College of OB/GYN.

10 Q. Referring you to the ACOG statement, do you have that
11 in front of you?

12 A. Yes.

13 Q. Is that one of the ACOG publications that you relied
14 upon for your understanding of the intact D&X or D&E
15 procedure?

16 A. Yes.

17 Q. Referring you to the first paragraph of that statement
18 where it says:

19 "The debate regarding legislation to prohibit a
20 method of abortion such as the legislation banning
21 'partial birth abortion' and 'brain sucking abortions'
22 has prompted questions regarding those procedures.

23 "It is difficult to respond to those questions
24 because the descriptions are vague and do not delineate
25 a specific procedure recognized in medical literature."

1 Do you agree with that second statement by ACOG.

2 Do you hold the same opinion?

3 A. Yes.

4 Q. And the next sentence reads:

5 "Moreover, the definitions could be interpreted to
6 include elements of many recognized abortion and
7 operative obstetric techniques."

8 Do you agree with that statement by ACOG?

9 A. Yes.

10 Q. Referring you to the second page of that statement,
11 meaning the document, the first sentence states "Terminating
12 a pregnancy is performed in some circumstances to
13 save the life or preserve the health of the mother,"
14 and the next sentence states "Intact D&X is one of the
15 methods available in some of those situations."

16 Do you agree with that statement?

17 A. Yes.

18 Q. Then it goes on to state a select panel convened by
19 ACOG could identify no circumstances under which this
20 procedure as defined above would be the only option to save
21 the life or preserve the health of a woman. An intact D&X,
22 however, may be the best or most appropriate procedure in a
23 particular circumstance to save the life or preserve the
24 health of a woman, and only the doctor in consultation with
25 the patient, based upon the woman's particular circumstances

1 can make this decision."

2 Do you hold the same opinion as that expressed by
3 ACOG in that last sentence?

4 A. Yes.

5 Q. It goes on to state "The potential exists that
6 legislation prohibiting specific medical practices
7 such as intact D&X may outlaw techniques critical to
8 the lives and health of American women."

9 Do you hold that same opinion?

10 A. Yes.

11 Q. Finally: "The intervention of legislative bodies into
12 medical decision-making is inappropriate, ill-advised
13 and dangerous."

14 Do you hold that same opinion?

15 A. It is not reality, but, yes, I hold that opinion.

16 Q. Referring you back to the statute, the definition of
17 partial birth abortion, page 6, starting at line 18, do you
18 see any mention of "intact" within that definition?

19 A. No.

20 Q. And do you see anything in this definition that limits
21 the application of this ban to procedures done in the third
22 trimester?

23 A. No.

24 Q. Do you see anything in the definition of partial birth
25 abortion which limits it to abortions performed after

1 viability of the fetus?

2 A. No.

3 Q. An do you see anything in this definition that relates
4 to the gestational age of the fetus at all?

5 A. No.

6 Q. Referring you to the exception to the application, page
7 3, starting with line 30, continuing to page 4, line 3.

8 If you could read that to yourself, please?

9 A. (Complied).

10 Q. Having read that, do you see anything in that exception
11 that permits a physician to use a banned partial birth
12 abortion in the interest of the woman's health?

13 A. Yes.

14 Q. Do you see anything in that language that allows a
15 physician to perform a banned, otherwise banned partial
16 birth abortion if the health risk is not life threatening?

17 A. Yes.

18 Q. What in there causes you to think there is a health
19 exception not restricted to it being a life-threatening
20 situation?

21 A. Do you want me to read it.

22 Q. Certainly.

23 A. Life-threatening and life-endangered, it depends upon.
24 Life-endangered by physical disorders or injury, is what it
25 states. It doesn't say life-threatening.

1 Q. Do you interpret live-endangered, any condition that
2 would impose health risk to the mother?

3 A. Yes.

4 Q. Any type of health risk would automatically be
5 life-threatening?

6 A. Could be.

7 Q. Would that include risks to the woman's mental health?
8 Would that many automatically be life-endangering?

9 A. Yes.

10 Q. Would you agree there is no medical benefit to the
11 pregnant woman from requiring, in the course of the
12 abortion, the fetus be caused to die while it's still within
13 the uterus, as opposed to while part is in the vagina?

14 A. Please repeat.

15 Q. You would agree, wouldn't you, that there is no medical
16 benefit to the pregnant woman for requiring during an
17 abortion the fetal demise be induced prior to any part of
18 the fetus being in the vagina?

19 A. Emotional, which is defined as medical.

20 Q. Other than emotional, do you see any medical benefit
21 for a woman for requiring that?

22 A. Safety.

23 Q. Why do you see it as a safety issue to cause the fetal
24 demise to occur while the fetus is still in the uterus,
25 rather than part of it in the vagina?

1 A. According to recent literature, the safety factor and
2 death of fetus ratio is five to one hundred thousand, ten to
3 one hundred thousand. Later on in hysterotomy is sixty to
4 one hundred.

5 Q. The later you go in gestational age, there is greater
6 risk, correct?

7 A. Yes.

8 Q. Your opinion is it's better to cause death of the fetus
9 in utero than while part of it is in the vagina is based on
10 the fact that there is increased --

11 A. You are mumbling. I'm sorry.

12 Q. It's your belief there is a medical benefit to the
13 woman of causing fetal demise while the fetus is still in
14 utero, rather than while part is in the vagina, because
15 abortions are safer if they are performed earlier?

16 A. Yes.

17 Q. It's your opinion, isn't it, physicians should not be
18 subject to -- it's your opinion, it is preferable for a
19 physician not to fear criminal prosecution for performing
20 abortions?

21 A. Yes.

22 Q. Would you agree if the -- in your opinion, if the
23 Legislature chooses to restrict medical practices, they
24 should try to be as specific as possible in their
25 restrictions so the physicians will be able to comply with

1 their restrictions?

2 A. Yes.

3 Q. Are you being paid today for your time in connection
4 with this case by the Florida Agency for Healthcare and
5 Administration?

6 A. I am not sure where the funds come from, but somewhere
7 in the State of Florida.

8 Q. Would you agree there are risks associated with causing
9 fetal demise by the injection of digoxin?

10 A. What type of risk, maternal or fetal?

11 Q. Maternal.

12 A. Very minimal.

13 Q. Would you agree there are risks to the mother resulting
14 from the injection of potassium chloride?

15 A. Minimal.

16 Q. Do you agree injecting potassium chloride requires
17 additional steps beyond the steps typically taken in a D&E?

18 A. Yes.

19 Q. Would you agree the injection of digoxin requires
20 additional steps beyond which are typically taken in a D&E?

21 A. Yes.

22 Q. Do you believe that for a procedure to fall within the
23 ban of the Florida Statute, it would be necessary for the
24 physician to insert scissors into the fetal head?

25 A. No.

1 Q. Do you believe for a procedure to fall within the
2 definition of partial birth abortion in the Florida Statute,
3 one necessary step would be for the physician to drain the
4 intracranial contents of the fetus' head?

5 A. If the fetus is still alive, and if the physician
6 inserts a needle into the intracranial contents, it's a
7 violation of the act.

8 Q. Would it be able to violate the act without draining
9 the contents of a fetal head?

10 A. If you deliberately killed the fetus in the vaginal
11 canal, yes.

12 Q. Let me try again: I understand that you believe the
13 statute would apply only in a case where there is a living
14 fetus and if a step was taken to kill the fetus.

15 A. Correct.

16 Q. I am trying to find out what are the steps that could
17 fall within the ban, what killing steps could fall within
18 the ban, in your opinion?

19 A. Delivery of a live infant through the vaginal canal
20 thereby subsequently killing the fetus by intracranial
21 injection or sucking out the brain.

22 Q. The ban only applies if killing is achieved by
23 intracranial injection or sucking the contents of the brain?

24 A. No. When you have a live fetus, killing the fetus,
25 regardless of the method you use.

1 Q. Any method to kill a live fetus that has been partially
2 vaginally delivered, that procedure would fall within the
3 ban, in your opinion?

4 A. Yes.

5 Q. I take it that was only if the procedure was taken with
6 necessary -- let me strike that.

7 To the extent that -- your understanding of the
8 wording of the ban, is it necessary the fetus be intact at
9 the time the step that killed the fetus is taken?

10 A. It's not stated in this statute.

11 Q. So, is it your opinion it would be required?

12 A. No.

13 THE COURT: Counsel --

14 MS. ANDERSON: Very little left.

15 THE COURT: You have been conducting your cross
16 examination for about forty-two minutes.

17 MS. ANDERSON: I have about three more minutes.

18 MS. BURGNER: Your Honor, I have a few minutes
19 of redirect.

20 BY MS. ANDERSON:

21 Q. Dr. Di Giacomo, are you aware that the AMA has stated
22 that "To minimize uterine or cervical perforation, either
23 from instruments used during the D&E or through
24 piercing by fetal parts, some physicians use a form
25 of D&E that has been referred to in the popular

1 context as intact dilatation and extraction"?

2 A. You would have to show me the literature.

3 MS. BURGNER: May I see it, please?

4 MS. ANDERSON: (Handing.)

5 THE WITNESS: Thank you.

6 MS. BURGNER: What page are you referring to?

7 MS. ANDERSON: Page 8.

8 BY MS. ANDERSON:

9 Q. I am showing you what has been marked as Plaintiffs'
10 Exhibit -- I believe it's 8.

11 A. 7.

12 [Plaintiff Exhibit 7 marked for identification].

13 MS. BURGNER: Your Honor, I object to this
14 document coming in as evidence because we don't have it
15 authenticated.

16 THE COURT: It hasn't been offered.

17 I am not sure what you are seeking to use it for.
18 Maybe it's to refresh recollection.

19 Proceed, please.

20 BY MS. ANDERSON:

21 Q. Dr. Di Giacomo, have you ever seen this document
22 before?

23 A. No.

24 Q. Are you familiar with am AMA publications -- well, as
25 part of your search for literature relating to partial birth

1 abortion bans, did you find any AMA publications?

2 A. I really didn't classify the organization etiology.

3 Q. Do you remember if you saw any publications by the AMA
4 as part of that search?

5 A. I do not recall.

6 Q. Let me ask you, would you agree that hysterectomy is a
7 more, there are more complications associated with
8 hysterectomy than with D&E?

9 A. Yes.

10 Q. And you would agree there are more complications
11 associated with hysterotomy than with D&E?

12 A. Yes.

13 Q. And you would agree there are more complications from
14 hysterectomies and hysterotomies than there are for suction
15 curettage procedures?

16 A. Yes.

17 MS. ANDERSON: I have no further questions.

18 Thank you, Your Honor.

19 Thank you, Dr. Di Giacomo.

20 **REDIRECT EXAMINATION**

21 **BY MS. BURGNER:**

22 Q. Dr. Di Giacomo, on cross examination you were asked a
23 few questions about the ACOG statement of policy marked as
24 Defendants' Exhibit 1 in evidence.

25 Can you refer to that please?

1 A. (Complied.)

2 Q. With regard to the description of the intact D&X
3 procedure identified in Defendants' Exhibit 1, do you have
4 an opinion as to whether or not a physician who knowingly
5 and intentionally goes forward with performing that
6 procedure violates Florida Statute?

7 A. Yes.

8 Q. Does he violate Florida Statute?

9 A. I'm sorry. I didn't understand the question.

10 Q. Does a physician who knowingly and intentionally
11 performs an intact D&X ascribed in the ACOG policy statement
12 violate Florida's statute banning the partial birth
13 abortions?

14 A. Yes.

15 Q. And were you present at the other witnesses' testimony
16 earlier yesterday and today about the differences of
17 opinions of what actually constitutes a D&X procedure?

18 A. Yes.

19 Q. Would you agree OB/GYNs that are members of ACOG
20 amongst themselves disagree as to what the specific elements
21 of the D&X procedure are?

22 A. Yes.

23 Q. Is it your position the phrase "partial birth abortion"
24 could encompass variations of a D&X procedure that might
25 differ from the ACOG definition?

1 A. Yes.

2 Q. And, likewise, are there alternative safe procedures
3 available to a woman to terminate her pregnancy in the
4 interest of her health or life besides the intact D&X
5 procedure described in the ACOG bulletin?

6 A. Yes.

7 Q. And, doctor, based upon your experience in the field of
8 obstetrics and gynecology, is it possible for a physician
9 who intends to do an intact D&X on a woman to take steps
10 such as severing the umbilical cord to assure the demise of
11 the fetus in the utero?

12 A. No, it would not violate the ban.

13 Q. Is it possible for them to do that?

14 A. Yes.

15 MS. BURGNER: Thank you, Your Honor.

16 Thank you, Dr. Di Giacomo. Thank you, Your
17 Honor.

18 THE COURT: You may step down, sir. You are
19 excused.

20 [The witness was excused].

21 THE COURT: Thank you very much for your
22 presentations, counsel. I appreciate your preparation and
23 efforts. You both represented your clients very well.

24 I would like for the parties to submit written
25 closing arguments, summarizing the testimony presented that

1 you think may be of assistance.

2 How much time would you request?

3 **MS. ANDERSON:** Your Honor, I really would like to
4 be able to have a transcript. I think that would be most
5 useful. Recitation of the evidence would be most accurate
6 and helpful to Your Honor.

7 It would be dependent on the court reporter's
8 ability to provide us with the transcript, and once we have
9 the transcript --

10 **THE COURT:** Is that really necessary? Usually
11 counsel have to present closing arguments with no
12 transcript at the conclusion of any proceeding.

13 **MS. ANDERSON:** We could do without a transcript.
14 I thought it would be more helpful.

15 **MS. BURGNER:** To that extent, I would agree with
16 the plaintiffs' counsel. Since it deals with such a
17 technical matter, we might be able to cite to page and line
18 specifically to Your Honor.

19 **THE COURT:** The transcript can be provided in two
20 weeks. Thereafter, how much time would you like to present
21 the written closing arguments?

22 **MR. GROSS:** Does Your Honor have a preference as
23 to page length?

24 **THE COURT:** Let's agree on a length. What are
25 you requesting?

1 **MS. ANDERSON:** I am checking the two weeks
2 because of my scheduled vacation.

3 **MS. BURGNER:** I know if we could get it -- I am
4 going into a couple of trials beginning September 14th. I
5 would have to have it done before then anyway. Maybe not.

6 The day after the Labor Day weekend. That's all
7 I would ask.

8 **MS. ANDERSON:** September 11th.

9 **MS. BURGNER:** That would give bus about seven
10 days.

11 **THE COURT:** The transcript may be available a
12 little earlier than two weeks, but September 11th for
13 closing arguments to be submitted in writing.

14 The Restraining Order will remain in effect until
15 such time as the Court resolves the issues relative to the
16 injunction.

17 **MS. BURGNER:** Thank you, Your Honor.

18 **MS. ANDERSON:** Do we want to agree on page length
19 at this time?

20 **MS. BURGNER:** Ten pages?

21 **MS. ANDERSON:** Twenty pages.

22 **MS. BURGNER:** Ten pages written closing.

23 **THE COURT:** Fifteen pages.

24 **MS. BURGNER:** Sounds good.


25 **THE COURT:** Thank you very much.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Have a good afternoon.

C E R T I F I C A T E

I hereby certify that the foregoing is an accurate transcription of proceedings in the above-entitled matter.

8/25/98
DATE
BARBARA MEDINA, RPR-CP

Official Federal Court Reporter

Federal Justice Building, Ste. 1067

99 Northeast 4th Street

Miami, FL 33132 - 305/358-4642